

0001

1 UNITED STATES SECURITIES AND EXCHANGE COMMISSION

2

3 In the Matter of:)

4) File No. SF-04030-A

5 THERANOS, INC.)

6

7 WITNESS: Ramesh "Sunny" Balwani

8 PAGES: 1 through 394

9 PLACE: Securities and Exchange Commission

10 44 Montgomery Street

11 Suite 2800

12 San Francisco, CA

13 DATE: Wednesday, August 9, 2017

14

15

16 The above-entitled matter came on for hearing,

17 pursuant to notice, at 9:03 a.m.

18

19

20

21

22

23

24 Diversified Reporting Services, Inc.

25 (202) 467-9200

0002

1 APPEARANCES:

2

3 On behalf of the Securities and Exchange Commission:

4 RAHUL KOLHATKAK, ESQ.

5 JESSICA CHAN, ESQ.

6 MONIQUE WINKLER

7 MICHAEL FOLEY

8 JASON HABERMEYER, ESQ.

9 Division of Enforcement

10 44 Montgomery Street, Suite 2800

11 San Francisco, CA 94104

12 (b)(6); (b)(7)(C) Fax (415) 705-2501

13 (b)(6); (b)(7)(C) @sec.gov

14 (b)(6); (b)(7)(C) @sec.gov

15

16 On behalf of the Witness:

17 JEFFREY B. COOPERSMITH, ESQ.

18 JOHN MCKAY, ESQ.

19 Davis Wright Tremaine LLP

20 1201 Third Avenue, Suite 2200

21 Seattle, WA 98101-3045

22 (206) 757-8020 fax (206) 757-7020

23 jeffcoopersmith@dwt.com

24 johnmckay@dwt.com

25

0003

1 APPEARANCES (CONT.)

2
3
4
5
6
7
8
9
10
11

JAMES E. TOPINKA, ESQ.
KELLY M. GORTON, ESQ.
Davis Wright Tremaine LLP
505 Montgomery Street, Suite 800
San Francisco, CA 94111-6533
(415) 276-6500 Fax (415) 276-6599
jet@dwt.com
kellygorton@dwt.com

12 Also Present:

13 (b)(6); (b)(7)(C) Videographer

14
15
16
17
18
19
20
21
22
23
24
25

0004

1 C O N T E N T S

2

3 WITNESS:	EXAMINATION
4 Ramesh "Sunny" Balwani	5
5	
6 EXHIBITS: DESCRIPTION	IDENTIFIED
7 233 Copy of SEC's Commission	
8 Form 1662	7
9 234 Subpoena	13
10 235 Response to questionnaire	
11 Bates SEC-PRM-E 6971	14
12 236 E-mails Bates Balwani-2870	67
13 237 E-mails Bates TH-PFM001829250	171
14 238 E-mails Bates TS-1072845	192
15 239 E-mail's attachment	192
16 240 E-mails Bates TH-PFM0000147224	195
17 241 E-mail's attachment	195
18 242 E-mails Bates TS-1031661	257
19 243 E-mails Bates TS-1044293	285
20 244 Timeline from Walgreens	361
21 245 E-mails Bates TS-1052342	377

22
23
24
25

0005

1 P R O C E E D I N G S

2 MR. KOLHATKAR: This is the testimony of

3 Ramesh Balwani. Going on the record in San Francisco,
4 California, at 9:03 a.m. on August 9, 2017.

5 Mr. Balwani, can you please raise your right
6 hand.

7 Whereupon,

8 RAMESH "SUNNY" BALWANI

9 was called as a witness and, having been first duly
10 sworn, was examined and testified as follows:

11 EXAMINATION

12 BY MR. KOLHATKAR:

13 Q Could you please state and spell your full
14 name for the record.

15 A First name is Ramesh, R-A-M-E-S-H; last name
16 is Balwani, B-A-L-W-A-N-I.

17 Q Do you also go by Sunny?

18 A Yeah. Mostly -- most people call me Sunny.

19 Q My name is Rahul Kolhatkar. With me are
20 Jessica Chan, Michael Foley, Jason Habermeyer, and
21 Monique Winkler. Ms. Chan and I are staff attorneys,
22 Mr. Foley is a staff accountant, Ms. Winkler is
23 assistant director, and Mr. Habermeyer is a trial
24 counsel in the San Francisco regional office of the
25 U.S. Securities & Exchange Commission. We're officers

0006

1 of the Commission for the purpose of this proceeding.

2 This is an investigation by the Securities &
3 Exchange Commission in the matter of Theranos, Inc.,
4 SF-4030 to determine whether there have been any
5 violations of certain provisions of the federal
6 securities laws. However, the facts developed in this
7 investigation might constitute violations of other
8 federal or state, civil, or criminal laws.

9 Prior to the opening of the record, you were
10 provided a -- with a copy of the formal order of the
11 investigation in the matter. It's this document
12 (indicating).

13 A Uh-huh.

14 THE REPORTER: Counsel, can you slow down,
15 please.

16 MR. KOLHATKAR: Sure.

17 BY MR. KOLHATKAR:

18 Q The formal order will be available for your
19 examination during the course of this proceeding.

20 Have you had an opportunity to review the
21 formal order?

22 A Yes.

23 Q In connection with your subpoena, you were
24 also provided with a copy of the Commission's Form
25 1662.

0007

1 MR. KOLHATKAR: I'm going to mark it as 233
2 (handing).

3 MR. COOPERSMITH: Thank you.

4 (SEC Exhibit No. 233 was

5 marked for identification.)

6 BY MR. KOLHATKAR:

7 Q So for the record, Exhibit 233 is a copy of
8 the SEC's Commission Form 1662.

9 Have you received the copy -- a copy of
10 Exhibit 233 before?

11 A I may have, but I don't recall reading this.

12 Q Have you had an opportunity to review it in
13 the past?

14 A I don't recall.

15 MR. KOLHATKAR: Why don't we go off the
16 record briefly at 9:05. And if you want to take the
17 opportunity to review it with your -- with your
18 attorney, you can.

19 MR. COOPERSMITH: Yeah. We can have a quick
20 conversation about that. We won't take too long.

21 MR. KOLHATKAR: Sure.

22 MR. COOPERSMITH: Yeah.

23 THE VIDEOGRAPHER: Okay. It's 9:05. We're
24 going off the record. Please don't forget about your
25 microphones.

0008

1 (A brief recess was taken.)

2 THE VIDEOGRAPHER: Rolling.

3 MR. KOLHATKAR: Back on the record at 9:07
4 a.m.

5 BY MR. KOLHATKAR:

6 Q Mr. Balwani, after each break, I'm going to
7 ask you a question which is just to ask if you had any
8 substantive conversations during -- during the break.

9 Did we have any substantive conversations
10 during the break?

11 A With the Commission?

12 Q With me.

13 A No, I did not.

14 MR. COOPERSMITH: Oh, by the way, before we
15 go, we should probably just introduce ourselves to --
16 for the record.

17 MR. KOLHATKAR: Yeah. That --

18 MR. COOPERSMITH: Are you going to get to
19 that?

20 MR. KOLHATKAR: -- that's my next question.

21 MR. COOPERSMITH: Fair enough.

22 BY MR. KOLHATKAR:

23 Q So -- so the question is: Have you had an
24 opportunity to review Exhibit 233?

25 A Yes, I have.

0009

1 Q Mr. Balwani, are you represented by counsel
2 today?

3 A Yes, I am.

4 MR. KOLHATKAR: Would counsel please
5 introduce themselves?

6 MR. COOPERSMITH: I'm Jeff Coopersmith with

7 Davis Wright Tremaine, representing Mr. Balwani, and
8 with me is John McKay, also representing Mr. Balwani,
9 Kelly Gorton, same, and Mr. Jim Topinka who is also
10 representing Mr. Balwani.

11 MR. KOLHATKAR: Mr. Coopersmith, this is a
12 bit antiquated. We also ask for a firm address and
13 phone number still.

14 MR. COOPERSMITH: Okay.

15 MR. KOLHATKAR: Yeah.

16 MR. COOPERSMITH: So this is the San
17 Francisco office. I'll let Kelly speak to that.

18 MS. GORTON: 505 Montgomery Street, Suite
19 800, San Francisco, California 94111.

20 MR. KOLHATKAR: And do you represent Mr.
21 Balwani in his personal capacity?

22 MR. COOPERSMITH: Yes. All of us do.

23 BY MR. KOLHATKAR:

24 Q Mr. Balwani, have you been deposed or given
25 testimony under oath before?

0010

1 A I believe I have done that once before.

2 Q When was that?

3 A I think it was either 2003 or 2004, and it
4 was right here in San Francisco.

5 Q So this is sort of similar to a deposition or
6 testimony under oath, but I just want to give you
7 some -- some sort of basic ground rules that -- that
8 I'm going to be operating off of and -- and I want you
9 to be aware of.

10 A Sure.

11 Q Does that sound okay?

12 A Yeah.

13 Q So first, the court reporter is going to be
14 recording and transcribing what we say today, so it's
15 important that we talk only one at a time. I'll --
16 I'll try and wait until you finish a question -- an
17 answer to my question before asking my next one, and I
18 ask that you please do the same for me.

19 A Okay.

20 Q Does that sound okay?

21 A Yes.

22 Q For the same reason, it's also important that
23 you answer audibly rather than responding with gestures
24 or nods.

25 Is that okay?

0011

1 A Yes.

2 Q Even though this is a somewhat less formal
3 setting than a deposition, the oath that you just made
4 has the same effect as if you were testifying in court
5 and carries with it the same penalty for perjury.

6 Do you understand that?

7 A I do.

8 Q It's also a crime to knowingly present false

9 information during the course of this investigation.

10 Do you understand that?

11 A I do.

12 Q If there's any -- if there's any question
13 that I ask that you don't understand, please let me
14 know so I can repeat or rephrase the question. If you
15 don't ask me or tell me that you don't understand, I'm
16 going to assume that you understand the question.

17 Is that okay?

18 A Yes.

19 Q If you need a break at any time, please let
20 me know and we'll try to accommodate you. Generally,
21 we'll ask that you answer any pending questions before
22 taking a break.

23 Is that okay?

24 A Yes.

25 Q Is there any reason why you can't give full,

0012

1 complete, and truthful testimony today?

2 A No.

3 Q So, Mr. Balwani, when was your last
4 communication with Elizabeth Holmes?

5 A I think earlier this year, I had run into her
6 when I was running -- I had gone for a jog, and I saw
7 her, we just said "Hi" to her, and we moved on. That's
8 it.

9 Q Earlier in 2017?

10 A Yes. Probably January or February timeframe.

11 Q And I guess other than -- and Ms. Holmes
12 hasn't given you any -- let me ask it in a more
13 open-ended way.

14 Has Ms. Holmes given you any instructions on
15 what your testimony should be today?

16 A No.

17 Q And I'm going to try not to inquire about any
18 conversations you've had with counsel, and that's
19 something that you should keep in mind kind of
20 throughout my questions today. But other than your
21 discussions with counsel, has anyone provided you with
22 comments on what the substance of your testimony should
23 be today?

24 A No.

25 Q I'm also going to hand you what's -- what

0013

1 I'll mark as Exhibit 234.

2 MR. KOLHATKAR: Sorry, I think the courtesy
3 copy is --

4 THE WITNESS: Yeah.

5 MR. COOPERSMITH: Sure.

6 MR. KOLHATKAR: Yeah.

7 THE WITNESS: The last page?

8 MR. COOPERSMITH: Yeah.

9 (SEC Exhibit No. 234 was
10 marked for identification.)

11 BY MR. KOLHATKAR:

12 Q Mr. Balwani, do you recognize Exhibit 234?

13 A I do.

14 Q Do you understand that it's a subpoena
15 compelling your testimony today?

16 A Yes.

17 Q And you understand that you're appearing
18 today pursuant to subpoena?

19 A Yes.

20 Q So I want to get just a little bit of
21 background information about you. I'm hoping the
22 easiest way to do that might be to refer to a document.

23 So I'm going to mark as Exhibit 235 a
24 multipage document. For the record, 235 is a -- is a
25 document Bates-stamped SEC-PRM-E 6971.

0014

1 (SEC Exhibit No. 235 was
2 marked for identification.)

3 BY MR. KOLHATKAR:

4 Q Mr. Balwani, you -- do you recognize Exhibit
5 235?

6 A I do.

7 Q What is it?

8 A This is a response to a questionnaire that we
9 had received -- I received from PFM's counsel as part
10 of a complaint they had filed against the company and
11 myself.

12 Q And did you provide information -- let -- let
13 me rephrase that.

14 Why don't we turn to Interrogatory Number 5,
15 which is -- starts at 6975 and it goes on to the next
16 page.

17 A Yes. Okay. I see that.

18 Q Actually, why don't we start with
19 Interrogatory Number 4 describing your educational and
20 professional background.

21 A Uh-huh. Yes.

22 Q And you see the description that begins on
23 Page 4 and goes on to Page 5 here?

24 A I do.

25 Q Is that a fair and accurate representation of
0015

1 your educational and professional background?

2 A Yes, it is.

3 Q Is there anything important in terms of your
4 educational and professional background that -- that
5 you would add to this list that appears here?

6 A I mean, just -- no, I -- I don't think so.

7 Q Have you employed since -- have you been
8 employed since you left Theranos?

9 A No, I have not.

10 Q The -- one of the items here is listed as
11 CommerceBid.com --

12 A Yes.

13 Q -- from 1999 to 2001?

14 A Right.

15 Q What was CommerceBid.com?

16 A CommerceBid.com was a startup that I had
17 cofounded in '99. And it was an e-commerce business --
18 a business -- an e-commerce startup. It's a software
19 company and -- that we sold I think later that year to
20 a company called Commerce One. And it was at that time
21 a very large e-commerce company. And we sold the
22 company in December or January of 2001. I forgot which
23 exact month. And then I stayed at Commerce One as part
24 of that transaction.

25 Q And I guess did -- were you a part owner of

0016

1 the -- of the startup that was sold to Commerce One?

2 A Yeah. I was a cofounder, so I was part
3 owner.

4 Q Okay. And so I guess how much -- what
5 proceeds did you receive from the -- the -- the sale to
6 Commerce One?

7 A I don't remember exactly what, but it was
8 tens of millions.

9 Q So I guess I've seen reference to CommerceBid
10 being sold for about \$350 million; is that -- is that
11 accurate?

12 A Yeah. I think the -- I don't remember the
13 exact number. This is about 15, 20 years ago. But the
14 total size of the transaction was about -- about that.

15 Q And was it a mix of cash and equity or --

16 A It was very little cash. It was, I think, 4
17 or \$5 million in cash. The rest was equity.

18 Q And after -- after you left -- after you left
19 Commerce One, did you retain equity in Commerce One
20 then?

21 A I think I had sold pretty much everything. I
22 doubt if -- if I retained anything. If I did, I
23 probably sold it right after I left, is my -- my
24 recollection.

25 Q Okay. So you -- I guess my main question is:

0017

1 You didn't continue to be involved in any way in
2 Commerce --

3 A No.

4 Q -- One after you left?

5 A I was not.

6 Q If you look at Interrogatory Number 6, which
7 is a couple of pages later in Exhibit 235 --

8 A Yes.

9 Q -- there's a question asking you to identify
10 all e-mail addresses and social media accounts.

11 Do you see that?

12 A Yes. That I've used since January 1st. Yes,
13 I see that.

14 Q Since January 1st, 2013; right?

15 A Correct.

16 Q And you see the answer that's provided on
17 Pages 6 and 7 of this document?

18 A Yes, I do.

19 Q Is this a complete list of the e-mail
20 addresses and social media accounts you've used since
21 January 30 -- January 1st, 2013?

22 A Correct. Yes, it is.

23 Q Any updates since you -- since you filed this
24 interrogatory response?

25 A I have a couple of old e-mail accounts

0018

1 that -- that I have not used since January 1, 2013, and
2 they just forward everything to [REDACTED] here.
3 So that's the only details.

4 BY MS. CHAN:

5 Q What are those other e-mail accounts?

6 A They are -- I think it's [REDACTED]
7 [REDACTED] and -- actually, it's listed here. Never
8 mind. That one is already here. It may be

9 [REDACTED] but that's linked to this
10 e-mail account.

11 BY MR. KOLHATKAR:

12 Q And -- yeah. What is [REDACTED]?

13 A It's just a domain name. I was -- I was
14 thinking about starting a company in education at some
15 point. And I had registered a domain, but I never did
16 anything more than that. But it's still [REDACTED]
17 [REDACTED] though.

18 Q Okay.

19 A It's hosted by [REDACTED]

20 Q The Interrogatory Number 7 references your
21 ownership stake in Theranos.

22 A Yes.

23 Q And you provided -- the answer provided here
24 is that you currently own [REDACTED] Class A
25 common stock as well as [REDACTED] as -- and as well as the

0019

1 fact that the company is committed to issue [REDACTED]
2 RSUs?

3 A Correct.

4 Q Is -- does that continue to be correct?

5 A It continues to be correct. The company has
6 not issued those yet. I have not asked for it yet.
7 But the information is complete.

8 Q In other words, you haven't done any
9 secondary transactions with respect to your Class A
10 Theranos shares?

11 A No, not -- not now, not ever.

12 Q The -- if -- if you turn to Interrogatory
13 Number 8, the -- there's a question concerning your
14 salary and compensation from -- from Theranos.

15 A Yes.

16 Q And it looks like your response lists a

17 salary and -- and the stock options that are -- that
18 are listed in response to Interrogatory 7.

19 Other than the -- sort of the salary, the
20 stock options, the healthcare benefits, and vacation
21 that are listed here, did you receive any other
22 compensation from Theranos?

23 A No, I did not.

24 Q And does this -- does your response to
25 Interrogatory Number 8 continue to be true today?

0020

1 A Yes, it does.

2 EXAMINATION

3 BY MS. CHAN:

4 Q Mr. Balwani, where do you live today?

5 A (b)(6); (b)(7)(C)

6 (b)(6); (b)(7)(C)

7 Q And what are -- what are some of the phone
8 numbers that you use?

9 A I only have one phone, and that's the cell
10 phone here -- listed here somewhere, I think. (b)(6); (b)(7)(C)

11 (b)(6); (b)(7)(C).

12 Q Okay.

13 A I used to have a landline that I disconnected
14 a few years back, probably four or five years ago.

15 Q What was that line?

16 A (b)(6); (b)(7)(C).

17 BY MR. KOLHATKAR:

18 Q You can put Exhibit 235 aside.

19 So I want to turn to the main reason why
20 we're here today, which is to talk about Theranos.

21 Can you just give us some background on when
22 you first met Elizabeth Holmes.

23 A Sure. I met Ms. Holmes (b)(6); (b)(7)(C). We
24 were both (b)(6); (b)(7)(C)

25 (b)(6); (b)(7)(C)

0021

1 So I had gone to (b)(6); (b)(7)(C). It seemed
2 like a good place. And Ms. Holmes was there (b)(6); (b)(7)(C)

3 (b)(6); (b)(7)(C). Well, she was (b)(6); (b)(7)(C)
4 there.

5 Q And I guess, can -- can you place that in
6 your -- in your -- I guess in your career mindset at
7 the time. You know, you've just sold this company for
8 a significant amount of money.

9 A Yeah.

10 Q What made you decide to go to business school
11 and to -- to study Mandarin at the time?

12 A Well, I mean, I was fortunate that, you know,
13 I had some success in business, but my passion has
14 always been, you know, to study more, education. I
15 wanted to do more and contribute more.

16 So I had started my MBA before I sold the
17 company, and then I dropped out to focus on my company.
18 But now I had more time, so I wanted to go and finish

19 my education. So I was doing my MBA when I decided
20 that at some point, I want to do more work in China,
21 potentially even live there for some time. And that's
22 why I got -- I went to Beijing and studied Chinese.

23 Q And I guess what can you recall from kind of
24 those first interactions? I mean, did -- was it -- was
25 it in a class setting or is it a social setting?

0022

1 A Well, we were living in the dormitory on
2 campus, and her room was, I think -- all the foreign
3 students from Berkeley -- I actually went there as part
4 of the Stanford program, Stanford summer program. So
5 all of the Stanford students were on the same floor,
6 and her room was somewhere on the same floor. So this
7 is how I met her.

8 Our interactions at that time were friendly,
9 you know. We had conversations. She was very famous
10 in the Stanford Chinese program because the entire
11 department knew about her Chinese, her skills. And so
12 that's how -- when I -- when I first met her, I'm like,
13 "Oh, you must be the Elizabeth Holmes."

14 And so that's how we formed the friendship
15 there.

16 Q And at that time, you know, when you were --
17 when you were in Beijing, were you -- were you
18 discussing the -- was she discussing anything about
19 Theranos or starting a technology company?

20 A Not in 2002. But even back then, I had
21 shared with her my background, that I had just finished
22 my startup and I'm, you know, doing Berkeley, but I was
23 going to do the same thing again because this is what I
24 want to do. And she told me that this is what also is
25 her passion, except that she wanted to do, I think,

0023

1 something in healthcare.

2 But nothing specific with Theranos at that
3 time. And I didn't pay much attention, to be honest,
4 also.

5 Q To the best of your recollection, was it
6 specific to -- to work with blood at the time or it was
7 just more generally healthcare was the focus?

8 A I think it was -- at that time my
9 recollection is generally healthcare.

10 Q So when did you first hear about her interest
11 in starting a healthcare company focused on blood
12 testing?

13 A I think it was later. In 2003, I think we
14 met again, and that's when she was doing some work in
15 Singapore at that time. I don't recall the details of
16 which lab and where, but she was thinking about lab
17 testing and blood tests at that time.

18 Q And were you in Singapore as well at the
19 time?

20 A No. I was actually in Asia. I was in

21 Thailand.

22 Q I guess when did you first hear kind of that
23 she wanted to start a company?

24 A I think the firm recollection is 2004. In
25 two thousand -- so I finished my MBA in 2003, and then
0024

1 right after that, I applied to Stanford for my computer
2 science degree. And so immediately after my graduation
3 from Berkeley, I started studying for my GRE so I can
4 apply to Stanford.

5 And I got into Stanford March of 2004, and
6 that's when I reached out to her, and I said, "Hey,
7 guess what? I'm also going to be joining Stanford."
8 And I believe she was thinking about dropping out at
9 that time, or she may have already dropped out. I
10 don't recall the exact details. And that's the first
11 time I heard about it.

12 Q At the time, had you expressed any interest
13 in joining the company when -- when she was first
14 starting to talk about the company?

15 A No. I -- I didn't express joining interest,
16 but I expressed a very strong interest in what she was
17 doing. I thought -- I mean, I had some exposure to
18 diagnostic testing. (b)(6); (b)(7)(C)

19 (b)(6); (b)(7)(C)

20
21
22
23
24
25

0025

1 (b)(6); (b)(7)(C)

2

3 So I -- I started, you know, digging into
4 this thing, saying, "Why is the system the way it is?"
5 But I didn't think I was going to be able to do
6 anything because it seemed like a complex problem to me
7 back then.

8 So when I met with her and she said she's
9 doing something in diagnostic, I thought this is a
10 great idea. She should do it, and if you have the
11 passion, continue.

12 Q And did she say what her goals were in the
13 diagnostic space?

14 A Yeah. I mean, she was very ambitious. (b)(6); (b)(7)(C)

15 (b)(6); (b)(7)(C)

16 (b)(6); (b)(7)(C)

17 (b)(6); (b)(7)(C) And we both discussed a lot

18 about how our entire healthcare system is focused on
19 disease care. We were focused on catching diseases
20 when it's too late and we cannot -- even though most of
21 these diseases can be caught if you just catch them
22 early, and you can do something about them.

23 So her vision was to try to see if she can
24 change the paradigm from catching the disease too late,
25 not being able to do anything, and shift it to early
0026

1 detection and prevention.

2 So even in 2004, she thought, you know, if we
3 can get the information to the patients, or the
4 physicians, or -- or both in time early on, we can
5 change the healthcare system from, you know, \$4
6 trillion and continuously going up to empower the
7 individual, empower the patient, give them information
8 so they can take control of their own health.

9 So that was her passion from -- from day one
10 early on.

11 Q And did you think that sort of -- that sort
12 of message from her remained consistent from the time
13 you first heard about the company until the time you
14 joined?

15 A Yes, very consistent.

16 Q And I guess -- you joined in 2009?

17 A September 1st, 2009.

18 Q Between that time you first heard about
19 Theranos and the time you joined, I guess what else did
20 you hear about the company?

21 A She used to share with me details about
22 diagnostics, small sample. And I was curious, so I was
23 obviously learning on my own. Anytime I would see a
24 news -- in the news about diagnostic testing, I would
25 share it with her, I would point it to her.

0027

1 But she used to -- you know, she was working
2 a lot of long hours, so, you know, we didn't really
3 have much conversations. But, you know, she did share
4 with me the impact a small sample was going to make and
5 how, you know, access, and low prices, and cost, and
6 transparency are just unheard of in healthcare. And
7 obviously, after firsthand experience, I knew that
8 already.

9 So it was more of a general conversation that
10 we were having about, you know, trying to solve this
11 problem about watching -- instead of watching people
12 die, maybe we should -- entrepreneurs in technology
13 should come to help and see if we can catch diseases
14 early and provide a better quality of life.

15 Q I guess did she ever invite you to join the
16 company before you -- before you initially joined in
17 2009?

18 A No, not -- I mean, she didn't exactly say
19 that. But we used to think about the tremendous impact
20 software was going to have on the world, and my
21 background is software. And I -- the more I looked at
22 this problem, maybe because, you know, when you have a
23 hammer, everything looks like a nail, to me, this
24 looked like a software problem, the healthcare. And

25 the more I dug into this, the more I was convinced this
0028

1 is a pure software problem. Of course, there's
2 diagnostics and -- and, you know, other things to that,
3 but software was going to be the key of how this is
4 going to grow.

5 And when I spoke with her -- and my
6 background is machine learning. And we talked about
7 how this data comes in. And why is it that there's so
8 much health data and nobody is doing any data mining on
9 it to see what are the patterns? Can you -- just like
10 Google Maps. You can see a car driving and we can
11 predict, you know, when you're going to get somewhere.
12 Why is that -- from lab results and health diagnostic
13 information, why is it we cannot predict people's
14 health, especially if the markers are available? We
15 talked about some cancer markers which are available in
16 the -- and research that predicted lung cancer 17 years
17 before the lung -- it showed up in the lungs.

18 And breast cancer, obviously, we know there
19 are markers there at least in research. And why is it
20 that we are not broadening it? Maybe, you know, we
21 haven't proven yet that -- conclusively that they can
22 predict something. But if we, you know, engage
23 individuals and they voluntarily said, "You know what?
24 I want to get more testing done because I want to know
25 what's going on with my body. My family history is

0029

1 XYZ," and they provide this data to a company and we
2 can do machine learning on it and start to predict
3 things, I believed then and I believe now it's
4 absolutely possible. Nobody is doing it. And as we
5 dug into this thing, I found out why nobody's doing it.
6 It's a very difficult problem to solve.

7 But that's -- as part of the conversation, I
8 started thinking more and more about how can I help
9 you? And I used to talk to her about, you know, think
10 about software this way, think about software this way.
11 And so I was advising her a lot on -- more and more
12 over the years.

13 And in 2009, there was a special project that
14 popped up that was close to my heart, and I thought,
15 yeah, maybe I can contribute more to the company now.
16 So that's when I joined.

17 Q What was that project?

18 A If you recall, in 2009, there was a big
19 outbreak of swine flu, H1N1, and it was killing people.
20 I mean, in Asia, it was a disaster. (b)(6); (b)(7)(C)

21 (b)(6); (b)(7)(C)

22

23

24 (b)(6); (b)(7)(C)

25 And people were saying swine flu is going to spread like Spanish flu.

0030

1 And Theranos at that time was working on a
2 diagnostic test for H1N1. And I thought how cool it
3 would be if you can put these devices near patients
4 where nobody wants to go -- people didn't want to go
5 and get samples from them, but if the device is there,
6 suddenly you can start saving lives, especially kids.
7 And, you know, in developing nations, it was a major
8 problem.

9 So I thought that and then using the data
10 coming in and be able to model it to see where the flu
11 is going to spread. It's possible. This is not, like,
12 Fantasy Land here. That you can actually look at the
13 data and say, "You know, here is a predictive model.
14 It shows that the way H1N1 is spreading, it's going to
15 attack that school next because that kid who came here
16 is going to go back to his family," and so on and so
17 forth.

18 So all of this data coming into software, the
19 combination of those two, I thought this is a great
20 opportunity for me to contribute. So that was the
21 project.

22 Q In the 2004 to 2009 time period, were you
23 getting updates on -- it sounds like your focus was
24 software, that's what we saw your main contribution
25 was. Was she describing the company's hardware and --
0031

1 and sort of micro sample capabilities at the time or
2 was it -- I guess was it more a general discussion at
3 that point?

4 A It was a broader discussion. I didn't have
5 the depth of the background to understand diagnostics
6 at that time, and so it was a general discussion. I
7 knew that they were doing finger sticks. I knew
8 because, you know, we had discussions on that. But I
9 didn't understand the hardware. I saw the hardware,
10 but I didn't understand the hardware. I clearly didn't
11 see the box inside out.

12 Q What do you mean by the "box"?

13 A Sorry, the TSPU. I'm sorry.

14 Q And by -- by "the TSPU," you mean, like,
15 the --

16 A At that time it was TSPU 3.0.

17 Q And does "TSPU" stand for Theranos Sample --

18 A -- Processing Unit, yes.

19 Q The -- did you sign an NDA with Theranos
20 before you joined the company?

21 A No, which is why we were not discussing the
22 specifics of the -- what was going on in the company.

23 Q When did you see the TSP unit?

24 A I don't recall the exact date, but I think it
25 was before the company -- before I joined the company.

0032

1 Q Like what did it -- what did it look like
2 back then?

3 A It was still a small device. It looked like
4 a microwave oven, like a small coffee machine. So it's
5 about -- actually, it was 14 inches tall, six inches
6 wide, and I think about 12 inches deep, approximately.

7 Q I guess those are pretty precise descriptions
8 of dimensions. Does that -- one thing I'm trying to
9 distinguish is just, you know, your memory at a certain
10 point in time versus what you've come to understand
11 based on --

12 A Yeah.

13 Q -- prior events.

14 Is that -- is that kind of what you've come
15 to understand that -- that machine --

16 A Yeah.

17 Q -- its size is like based on your work at
18 Theranos?

19 A Yes. Now, I know the size back because --
20 back then, I didn't take out the tape and measure it.

21 Q Yeah.

22 A But it looked about -- you know, I could see
23 that it looks like a microwave oven, or a small
24 microwave oven, I must say.

25 Q So you joined the -- the company in September
0033

1 2009?

2 A Yes.

3 Q What was your role when you joined the
4 company?

5 A Yeah. So what happened was: In the summer
6 of 2009 when we started talking about the H1N1 project,
7 I wanted to join. That was also the time when the
8 company was very low on cash. If you recall, August
9 2009 was also the Great Recession. It was -- turned
10 out to be the bottom of the recession, but at that time
11 things were still nose diving across the -- across the
12 world.

13 So the company was low on the cash, and --
14 and I knew this mission, what the company was trying to
15 do, was paramount. So I offered to help the company.
16 And I met with the board members. And I -- long story
17 short, I ended up giving a 13-million -- or a 12 or 13
18 or 14-million-dollar personal loan. I guaranteed a
19 loan to the company. And it was interest free. I
20 didn't get any stocks in exchange for that, I didn't
21 get any warrants. It was a good faith loan.

22 And so as part of that, I joined the company.
23 I met with the entire board, and then we decided that
24 my focus initially was going to be this H1N1 project so
25 I can add immediate value. But I came initially in the
0034

1 title of vice chairman; however, over time, it -- I was
2 fully operational and I was working seven days a week
3 right off the bat. Just my nature, unfortunately. And
4 I was in operation alone.

5 And I think at some point after that, six
6 months later, when I had decided that I'm going to stay
7 here for the long term, and the board said,
8 "Absolutely, you must," they made me the president and
9 COO.

10 Q And your -- you had been in this -- in the
11 computer science program at Stanford from the 2004
12 period to the 2009 period?

13 A Yes.

14 Q And you decided to leave that program in
15 order to join Theranos?

16 A I dropped out. Yes.

17 Q The -- when you first joined the company, I
18 guess, what was your understanding of what its business
19 strategy was?

20 A When I joined the company, there were 45, 50
21 people in the company. So it was a very small company.
22 It was more about what is the mission and the vision of
23 the company necessarily -- and not necessarily the
24 strategy. I think the strategy was still being formed.
25 So I didn't pay much attention to what was the

0035

1 strategy. I knew they had a product. It's a diagnostic
2 space, small sample potentially near a patient at some
3 point, and I could kind of visualize and spin my brain
4 to see what could be done with this product.

5 So I don't think it was necessarily the
6 strategy that was a reason I came, it was more the
7 vision and the possibility and the potential.

8 Q You mentioned sort of the company had a
9 product. I guess what was its product when you -- when
10 you started?

11 A Yeah. I mean, it was a solution stack.
12 There was the sample processing unit, then there were
13 some components that -- consumables, cartridges that
14 went into the sample processing unit that contained the
15 reagents and a bunch of other chemistry. The company
16 also used to have a small sample collection-type device
17 to be able to get the blood from the finger. And then
18 there was a lot of software on the back end.

19 One of the unique things about what Theranos
20 came up with, and I think is absolutely breakthrough,
21 is that unlike other traditional diagnostic devices
22 where you have a device in the clinical lab where, you
23 know, somebody is watching over it and somebody QC's
24 it, somebody makes sure everything is good, or on the
25 other hand, you have the glucose meters, the

0036

1 CLIA-waived devices which are in the field, but if you
2 put it in your home, there's no oversight. Right? I
3 mean, you can go buy it from CVS and bring it home and
4 leave it in the car for a day, and the strips may have
5 expired, but when you run them, the machine is not
6 going to tell you your result is wrong. Right? So

7 there was no oversight.

8 One of the breakthroughs that Theranos came
9 up with was: The use of the Cloud and the -- and the
10 software. So Theranos's TSPUs were -- were always
11 connected to the Cloud. So what happened was: When
12 you insert a consumable, the cartridge, in the device,
13 it would send the message to the Cloud saying, "Hey,
14 somebody has inserted this bar code -- this cartridge.
15 What do you want me to do?" And the Cloud will tell the
16 device what to do.

17 And the cartridge in most cases, not always,
18 but most cases will always also include the quality and
19 the calibration controls with known values. So we can
20 run them first and see if the quality of the cartridge
21 is good, nothing bad happened. Right?

22 So -- so it was a pretty complicated stack.
23 Then obviously, there were chemists in the lab who were
24 making the chemistry. Then there were people who were
25 looking at this thing called the binders. It's the

0037

1 antibodies that are used in -- when you make chemicals.

2 So it was a pretty broad, complicated kind of
3 system in place already.

4 Q And sort of between the -- I guess the
5 chemistry, hardware, and software mix that you
6 described there, what was the employee split roughly?

7 A There was also manufacturing, so -- and
8 hardware manufacturing and hardware design.

9 I think the software was the smallest piece
10 at that time. My recollection is maybe five people in
11 software. And I'm -- this is basically a long time
12 ago, so my numbers may be significantly wrong. But my
13 recollection is: Five in software, I think maybe 20 in
14 chemistry, 15 to 20, and the rest were in -- mostly in
15 hardware, whether they're sampling hardware,
16 manufacturing, designing hardware, and then a few were
17 in administration. Administration was pretty light.

18 Q You mentioned guaranteeing a loan for the
19 company when you joined. Do you remember which
20 institution that it was guaranteed with?

21 A Yes. (b)(6); (b)(7)(C)

22 (b)(6); (b)(7)(C) And the easiest way we thought was to -- for
23 the company to open an account (b)(6); (b)(7)(C) and me to
24 guarantee a loan or line of credit to the company. So
25 I used my personal net worth to guarantee that line of

0038

1 credit and the company could draw against it.

2 Q Did -- did the company pay back its line of
3 credit?

4 A At some point, yeah, about a year later.

5 Q Do you know how it raised funds to --

6 A Yeah. We raised I think what was called
7 Series C-1 in 2010, 2011.

8 Q Did you participate in those Series C-1

9 discussions?

10 A I did.

11 Q In any of those discussions with potential
12 C-1 investors, did you disclose this line of credit the
13 company had --

14 A Yes. As a matter of fact, at least one large
15 investor was also on our board, which is Blue Cross
16 Blue Shield. That was a gentleman called [REDACTED]
17 [REDACTED] He was on our board. He was also the -- I
18 think [REDACTED] at the Blue Cross
19 Blue Shield Venture Partners.

20 Q Who was on Theranos's board when you joined?

21 A [REDACTED] that I just mentioned, Elizabeth
22 Holmes, Don Lucas who was the chairman of the board at
23 that time; [REDACTED]

24 [REDACTED]

25 Q I guess when did the board sort of shift in
0039

1 composition? Was it -- was it a gradual process or was
2 it --

3 A I think it happened over time between --
4 again, I'm forgetting the precise dates, but I would
5 say between 2012ish, it started changing.

6 Q From that time you first joined to that 2012
7 time period, what was the board's role at Theranos?
8 Was it a fiduciary board? What level of oversight did
9 it provide the company?

10 MR. COOPERSMITH: If you know what that
11 means. It's a legal term, "fiduciary."

12 THE WITNESS: Yeah. Actually, I was going to
13 ask that. I don't know what that means. But I can
14 tell you what our engagement with the board was. I
15 didn't engage with the board that much. We had
16 quarterly meetings or frequent meetings. I don't know
17 if they were every quarter or every four months, but we
18 had three- or four-times-a-year meetings. There were
19 some board members who were more engaged. [REDACTED]
20 [REDACTED] was more engaged in the chemistry and R&D
21 side, Don Lucas was extremely engaged, and at least
22 Elizabeth used to seem quite a bit. But that's kind of
23 what I remember.

24 BY MR. KOLHATKAR:

25 Q When did you join Theranos's board?

0040

1 A Right -- right away. When I joined the
2 company, I was on the board. Like I mentioned, I had
3 given a significant loan to the company, and in
4 exchange -- I didn't ask for any dilution, didn't --
5 didn't get any equity. They gave me some options, but
6 it was a very small number. But I requested that I be
7 on the board. I didn't demand it. Certainly, I could
8 have demanded, actually, one or more board seats. Then
9 they interviewed -- all -- all the board members
10 interviewed me, and as part of that, I joined the

11 board.

12 Q Throughout -- throughout your time at
13 Theranos, was -- was Elizabeth Holmes on the board?

14 A Yes.

15 Q And as -- was she always a majority
16 shareholder during your time there?

17 A No. She was a -- majority is anything
18 greater than 50 percent. No.

19 Q I guess, did she have majority of the voting
20 power throughout the time you were there?

21 A No. No, she did not.

22 Q What -- sort of what was her power -- her
23 voting power when you started with the company?

24 A She was just one member on the board, and I
25 think she may have had access to one more board seat

0041

1 that she could fill if she wanted to. But that was it.
2 She didn't have the majority power or majority vote is
3 what I remember.

4 Q Did that change at any point over time?

5 A It changed over time, yes.

6 Q What -- what do you remember changing?

7 A I think around twenty -- 2013 maybe, 2012, I
8 don't remember exact dates.

9 Q How did her role change with respect to the
10 board at that time?

11 A Well, I think over time, my recollection is:

12 She got more options as part of her role and that
13 increased her shares above 50 percent. And then she
14 had a long conversation with different board members
15 about, you know, keeping the company private for long
16 term or having more control over the decisions, and I
17 think as part of that, she got more -- more power and
18 more control of the -- of the company.

19 Q You just mentioned kind of her desire to keep
20 the company private. Was that always the case from
21 the -- from the time you first heard about the company?

22 A You know, she didn't -- I don't remember if
23 she used the word "private." I think she used the
24 words, "I don't want to go IPO anytime soon." The
25 reason is -- this is later -- I mean, over time,

0042

1 obviously, there were some times where the board would
2 talk about IPO, but it was not a serious discussion.
3 But her desire always was: Look, in order for us to do
4 what we want to do, we may have to, you know, stay
5 private and -- and -- and -- because we -- our goals
6 are ten-year goals. You know, some goals may not
7 happen for 15 years, and there are not a lot of
8 investors in the public market who may understand that.

9 (b)(6); (b)(7)(C)

10 So she had that thought in her mind that in
11 order to achieve the goals -- long-term goals, in the
12 short term and the long -- the medium term, you know,

13 we may not be making money, but in the long term, if we
14 want to shift this discussion from disease to
15 prevention, it required a longer-term commitment. So
16 yes.

17 Q And in your role as president and COO, who
18 did you report to at Theranos?

19 A I believe I reported to her officially.

20 Q Did that change at any point in time?

21 A I don't recall. I don't think so, actually.

22 Q What were your responsibilities as -- as
23 president and COO?

24 A It changed over time. As I'm sure you
25 already know, when you're building a technology

0043

1 company, things move really, really fast. So it was
2 more whatever came my way first, I would tackle if I
3 had the bandwidth.

4 But right from the start, I owned all the
5 software. I owned all the electronics because that's
6 my background. I made direct decisions there. I owned
7 all the IT. Like I said, there were five people, so
8 not much to own.

9 But, you know, I started putting my vision in
10 place about what this software is going to do over the
11 next ten years with the company. So software, IT
12 electronics fell under me.

13 Q Sorry, if I can interrupt you there. What do
14 you mean by "electronics"?

15 A As part of -- of our automation and a lot of
16 the work that we were doing, whether in robotics or
17 TSPUs, anywhere, required electronic boards, kind of
18 like motherboards in the computer. At Theranos, we
19 used to design all of our boards -- pretty much all of
20 our boards, which is a pretty significant undertaking.

21 But we designed all the boards. A lot of
22 the -- even the chips, we kind of either designed it or
23 we picked custom chips that fit our needs and then
24 designed more circuits around it. So all of that fell
25 under me, that team.

0044

1 Also the third team was -- again, it was the
2 software, but it's the -- it's called embedded systems.
3 That's the operating system that runs on the chip
4 itself, the realtime OS. That also fell under me. So
5 I started, you know, making all the decisions there
6 right away.

7 And then in addition to that, I was
8 involved -- because, you know, five -- 15 -- 45, 50
9 people, a small company, we needed to grow the company.
10 So recruiting was a major part. I spent a very
11 significant amount of my life recruiting at Theranos,
12 probably thousands of interviews.

13 And after I joined, I don't think, you know,
14 anybody maybe except for five or ten people who were

15 recruited were actually hired unless I met with them
16 and I had interviewed them and recruited them. So I
17 spent a lot of time doing that. I started focusing on
18 building the HR organization, even though it was a
19 lower priority initially because the team was small,
20 and I also spent a lot of time on field activities.

21 So I mentioned earlier the H1N1 project. I
22 joined Theranos on September 1st, and we had this H1N1
23 assay done -- or almost done, but we didn't have any
24 samples because getting swine flu samples was not easy.
25 And nobody wanted to go to Asia because everybody was
0045

1 sick of dying -- afraid of dying. So I got on the
2 plane and I went to Asia, I went to Thailand, and I
3 started sourcing places where I could find samples.
4 And -- and I did that.

5 And so again, that was not -- that was
6 something that was not in the job description, but --
7 but I was on that project for -- for some time looking
8 for samples. I was actually running some assays and
9 R&D. When there was a shortage of people on weekends
10 and we needed to run some samples, then I would say,
11 "Okay. Train me. I'll do it." And I did that.

12 Q Well, I -- what does that mean, you were
13 running assays and --

14 A Basically what it means -- that means is:
15 When the samples come in, you need to aliquot samples
16 on a -- either a tray or a cartridge, mix reagents,
17 wash buffers, pipette stuff around. You know,
18 basically chemistry lab -- chem lab kind of stuff.

19 Q And you learned how to do all of that?

20 A Yes.

21 Q Okay.

22 A Life in the startup. Right?

23 Q And you mentioned a job description in one of
24 your answers. Did you ever get a formal job
25 description at your time at the company?
0046

1 A No. I don't -- I don't think there is job
2 description for a president and a CO of -- a COO of a
3 startup. You do whatever it takes.

4 Q And so you -- you mentioned that over time,
5 sort of your responsibilities grew. Can you -- can you
6 briefly explain how that --

7 A Yeah.

8 Q -- what got added to your portfolio?

9 A Sure. So after I was doing this project, we
10 started also spending -- I started spending more time
11 on the hardware side to understand the supply chain,
12 you know. Because the machine had a CPU and a
13 motherboard, I wanted to see what are the decisions
14 being made there. By "machine," I mean the TSPU, sorry,
15 3.0.

16 So I spent a lot of time on hardware and

17 learned how the hardware works, the supply chain for
18 the hardware, supply chain for the reagents. I learned
19 that there are many, many chemistries for which there's
20 just one supplier, some guy in Ireland who found a way
21 to grow some antibodies using his sheep. Seriously.
22 And -- and then you need to secure the supply chain.
23 Right?

24 So I spent -- I learned that part of the
25 business. And then 2010 March, February time frame, I
0047

1 was also spending time on the road, me and Elizabeth
2 Holmes, meeting with the retail pharmacy businesses,
3 and as that evolved, I took the leadership role there
4 in negotiations and contracts. So -- and then after
5 that -- I mean, I can -- there was a lot of other
6 things that happened after that.

7 Q Sure. I guess by "retail pharmacies," do you
8 mean Walgreens --

9 A Walgreens.

10 Q -- primarily?

11 A Yes.

12 Q What about the Safeway?

13 A Yes. Yeah. Same thing on Safeway. I
14 spent -- I, you know, spent time with Safeway. Even
15 though initially, I would say, the first year, year and
16 a half, Elizabeth spent a lot more time on Safeway

17 (b)(6); (b)(7)(C)
18 (b)(6); (b)(7)(C) Safeway at that time. He was
19 obsessed with this project, with Theranos' project,
20 building a lab inside Safeway.

21 His reason was that Safeway one day is going
22 to be a healthcare company, not a grocery. Because he
23 saw that giant in Seattle, Amazon, entering this space,
24 so he said, "We've got to be in the healthcare
25 business." And he was very clear that Safeway wants to

0048
1 be a healthcare company, and a diagnostics lab testing
2 has to be a key part of that.

3 So she spent a lot of time on Safeway
4 initially, but ultimately, I took that over also.

5 Q Do you -- I mean, I guess -- so would you say
6 you took over responsibility for the Safeway
7 relationship more so (b)(6); (b)(7)(C)

8 A Yeah.

9 Q -- Safeway?

10 A Yes. Pretty much right after that.

11 Q And Walgreens, was it sort of similar, both
12 you and Ms. Holmes were involved in the start and then
13 you took more of a lead after --

14 A Yes, it was similar. However, in Walgreens'
15 case, I was deep into Walgreens from the start. So
16 unlike Safeway where she was spending more time at
17 Safeway, with Walgreens, I was spending at least as
18 much time as her on Walgreens from the start.

19 Q What about Theranos's relationships with
20 pharmaceutical companies, what were your
21 responsibilities in that space?

22 A Not much. A lot of the work that was done
23 was done before I came. I did make a couple of trips
24 to meet GSK in Europe, and there was another --
25 Sanofi-Aventis in London, we had met with them. But,
0049

1 you know, I didn't understand that business at that
2 time. I was too new. This is early part in 2009. I
3 was just kind of sitting there learning to see how
4 things are progressing.

5 Q And did your responsibility for Theranos's
6 relationships with pharmaceutical companies change over
7 time or --

8 A No, they didn't.

9 Q Was Elizabeth Holmes primarily responsible
10 for those relationships?

11 A Yes.

12 Q What about with the Department of Defense,
13 what were your responsibilities there?

14 A I would say similar to pharmaceutical
15 companies. Most of the work with DOD or the defense
16 department was done before I joined the company, so I
17 didn't have the background. I may have participated
18 in, you know, a few meetings when somebody came because
19 we were such a small company that Elizabeth Holmes just
20 sitting by herself sometimes was, you know, not
21 appropriate. I wanted to at least be taking notes or,
22 you know, being there.

23 And so in some DOD meetings, I -- I sat in,
24 but again, I didn't play a bigger role there of
25 negotiating, or dealing, or even understanding the
0050

1 projects.

2 Q What were your responsibilities with respect
3 to the company's financials when you -- early on in
4 that president and COO role?

5 A At that time we had a controller in the
6 company. (b)(6);(b)(7)(C) So she was in
7 charge of all the financials. Initially, I didn't
8 spend any time on financials. There was too much to do
9 and there's not much finance -- finances. I had loaned
10 the -- the money to the company, so I knew about the
11 finances.

12 So I didn't spend too much time there. Even
13 over time, I would -- I used to get updates from (b)(6);
14 about what's the cash position? I mean, that's kind of (b)(7)(C)
15 where my eyes were, how much cash we had. But I didn't
16 spend much time in financials until then.

17 Around 2010 when we started engaging with the
18 retail pharmacies, Safeway and Walgreens, I started
19 building a financial model with the help initially from
20 Safeway and Walgreens that I owned and -- until I left

21 the company.

22 Q By saying you owned, you mean you were the
23 person responsible for the company's financial
24 projections as you just described?

25 A Financial model.

0051

1 Q Financial model.

2 A Yes.

3 Q And I -- what was the distinction in your --
4 in your mind?

5 A Well, I can tell you what I thought about the
6 financial model. It was a planning tool that I was
7 using to see the potential of the business. And also,
8 it was -- it was basically a spreadsheet, Excel
9 spreadsheet literally, and that included a lot of the
10 learnings that I was doing from -- about the industry.
11 You know, how many patients typically come at Quest
12 Diagnostics. That's another lab company in the field.
13 Or LabCorp, what's going to be the R&D burn expenses,
14 and how many patients you can get in a certain
15 location, how many sites you can have.

16 So as those assumptions came in, I would just
17 put all those data into this model and see how it
18 changes the model over time. So I was using this as a
19 planning tool, and some of the tabs in the model would
20 spit out as the end result of, you know, changing any
21 assumptions in the model.

22 Q When you started with the company in that
23 sort of early, you know, say, 2010 to 2012 time period,
24 did you share financial documents with the board at all
25 or --

0052

1 A I mean, I -- I think 2010 to '12, we would
2 show the balance sheet and -- but it was part of the
3 model. So I would often show the model to the board
4 pretty much almost every board meeting just to show
5 them how it is evolving. And so that was always almost
6 every part of a board meeting. So I would say yes.

7 Q Who created the balance sheet?

8 A It came from a software, I believe, that we
9 had in the company called QAD. And it -- I used to get
10 it from (b)(6); (b)(7)(C) but I think she got it from that
11 software automatically generated.

12 Q You -- you wouldn't personally go into the
13 QAD and -- and work on those?

14 A No. I didn't -- I've never have logged into
15 QAD. It's not an easy software to use.

16 Q I believe you.

17 What about with respect to the company's
18 media or press strategy, were you responsible for that
19 when you -- when you started -- joined the company?

20 A No.

21 Q Did that change over time?

22 A No.

23 Q Who was responsible for the company's media
24 strategy?

25 A You know, I don't think we had anybody. We
0053

1 were both engineers, you know. Elizabeth Holmes is a
2 chemical engineer and I was a software guy. So neither
3 of us had media or press background. In 2012 and 2013,
4 we had hired a consulting firm called Chiat/Day. They
5 are a marketing and PR firm. They are not, like, a
6 media/press kind of firm. I learned the distinction
7 later. I didn't know -- I thought it was all the same.

8 Q What is the distinction?

9 A The marketing and PR guys are -- they create
10 your marketing collateral and how to get -- get the
11 message across. And the PR -- and the media guys
12 actually literally work with the journalists and the
13 reporters, and they say -- tell you which ad to run in
14 which paper. The marketing guys will create the ad for
15 you, but then they don't know what to do with it. And
16 then the media experts will say, "No, don't run it in
17 this magazine, run it here because it's going to have a
18 greater impact." That's kind of my loose understanding
19 of it.

20 Like I said, I -- it's -- it was not
21 interesting to me, that whole area of business, and I
22 didn't have the time, so I didn't spend much time
23 there.

24 Q What about with respect to investor
25 communications, what were your responsibilities there?
0054

1 A So there -- I think there are two pieces for
2 that. One was: The existing investors mostly
3 interfaced with Elizabeth, but if they had a question
4 which was about the model or anything else, they would
5 reach out to me once in a while. But mostly, the
6 interaction with the investors was with Elizabeth early
7 on.

8 Once we got into 2013 and beyond, there were
9 a few investors who interfaced with me more. PFM is
10 one example. And then in 2014 and '15, as we met with
11 any investor, I would always be in the meeting. So
12 before the investor invested, I would always be in the
13 meeting. In most cases, to answer their questions, you
14 know, they had, but I was always in the meeting.

15 Q At some point did you come to supervise the
16 development of the TSPU itself?

17 A Not officially. But I was so deeply engaged
18 with that -- I didn't do the R&D part of TSPU. That
19 was something that was not my expertise. But I was
20 engaged with the TSPU's timeline, project management,
21 resources, what is needed where, and understood it
22 fairly -- reasonably well enough to be able to at least
23 understand in a meeting what people were talking about.
24 I would not be able to set the direction of the TSPU in

25 the future, but what was being done today, I could
0055

1 understand and manage.

2 Q What about with respect to Theranos's other
3 sort of hardware development, like the creation of the
4 nanotainer, did you -- did you supervise that process?

5 A No, I didn't supervise that.

6 Q Who did?

7 A You know, there was no one -- the equation
8 was very complicated. So unlike a software module or,
9 you know, a bottle of water where somebody can own it,
10 what Theranos was doing was so tightly integrated and
11 so complicated that I don't think it was possible for
12 one person to supervise it.

13 So a lot of times when we did meetings on
14 nanotainers or CTNs, like capillary tube nanotainers,
15 the old device, or the TSPUs, or even the chemistry, or
16 in some cases software, we would also have multiple
17 people in the room. So it would be, for sure,
18 Elizabeth Holmes, for sure, myself -- myself. Now,
19 obviously, there were meetings where we were not
20 available, but in general, we would be in the meeting.

21 And there would be other people with
22 expertise. And the reason was: Anytime we made a
23 decision that was a dumb decision, somebody who is an
24 expert in the field would be able to say, "You know, I
25 don't understand the whole big picture, but that piece

0056

1 is not going to fit there." Right?

2 So it was more of a collaborative
3 decision-making environment. Ultimately, on many
4 things, either I would make a decision or Elizabeth
5 would make a decision. I mean, there's always one
6 decisionmaker. But there was not a supervisor. It was
7 not like a hierarchy where, you know, people reported a
8 certain way. It was a very complicated product as
9 hopefully you'll -- you have developed some
10 appreciation that it was not possible for one person to
11 supervise things.

12 Q I guess, overall it sounds like you had a
13 large number of responsibilities at the company.

14 How -- how would you describe Ms. Holmes's
15 responsibilities as compared to yours?

16 A Yeah. So, you know, she is a -- in my
17 opinion, a very brilliant inventor. So a lot of the --
18 the meetings that we had on product, future of the
19 product, detection systems, you know, all of the things
20 that run in the device, even things that -- on
21 software, like what kind of things we can be putting in
22 the software, she had just amazing ideas.

23 So she spent a lot of time with engineers and
24 scientists kind of picking their brain, discussing with
25 them and -- and figuring out the strategy for the

0057

1 product's -- the technology for the next, you know,
2 five years, ten years. And so that's just kind of her
3 expertise.

4 Q Were there any areas of the company that
5 were -- other than the ones you've sort of described in
6 terms of, you know, the early stage -- the early
7 pharmaceutical relationships and DOD, any other areas
8 where she was more familiar with the company than you
9 were?

10 A I think over time, 2014 and '15, I was not
11 spending as much time on the media and PR piece, so I
12 would say, you know, she was probably more exposed.
13 I'm not saying that out of firsthand knowledge. I'm
14 just guessing that she was probably more exposed. But
15 I don't think there are too many areas.

16 BY MS. CHAN:

17 Q Who was responsible for the company's
18 strategy with respect to hospitals?

19 A I think it was mutual, it was collaborative.
20 Kind of as we learned, we would discuss. And the
21 landscape was also shifting. And because of the
22 ObamaCare passage, the Accountable Care Organizations
23 became very interesting for us because these people are
24 on the hook if they don't save money. Unfortunately,
25 in our healthcare system, as we learned, nobody really

0058

1 cares about cost unlike a technology company where you
2 come up with a cool product and it's cheap, people are
3 all over it. In -- in healthcare, not the case. If
4 you don't increase the cost of healthcare, it seems
5 like people are not interested.

6 So as -- but accountable care was a good,
7 positive development because these were hospital
8 systems who were going to the government and saying,
9 "Give us a fixed amount, dollar amount and we'll manage
10 these patients for you." And kind of the good old days
11 where doctors were responsible for keeping you healthy.

12 And that was the thought behind the ACOs. So
13 when that happened, we thought, hey, this is a great
14 place for us to showcase low cost, better access,
15 transparency, convenience. Patients like this. So
16 that's -- it kind of evolved over time, but both of us
17 were engaged in that.

18 Q So with respect to managing and negotiating
19 those relationships, you think you were equally
20 involved in --

21 A Yeah. I mean, we --

22 Q -- those discussions?

23 A We didn't spend much time on those. So we --
24 I would say yes. I mean, we were -- I made
25 presentations and I met a few people by myself once in

0059

1 a while, but mostly because the topics were so
2 technical, they were talking about chemistry, and

3 biology, and -- and medical stuff, that was her -- her
4 forte. She knew that really, really well. So I would
5 say we were both complementing each other in those
6 meetings.

7 Q Is your answer the same with respect to
8 discussions with physicians' offices? Were you both
9 responsible for that aspect of the business?

10 A Well, if the physicians' offices were part of
11 the hospital network, the health systems, then the
12 answer is yes. But if the physicians' offices were
13 not, then I would say no. Those are more one-on-one
14 kind of sales, or negotiations, or deals, and we had a
15 sales team in Arizona that was doing that.

16 Q Who was overseeing that team?

17 A I was.

18 Q And who was -- I guess who would be the head
19 of the sales team?

20 A Yeah. We had a person in Arizona. (b)(6); (b)(7)(C)

21 (b)(6); (b)(7)(C)

22 (b)(6); (b)(7)(C)

23 BY MR. KOLHATKAR:

24 Q You mentioned you had a big role in
25 Theranos's hiring of employees.

0060

1 A Yeah.

2 Q Did that go down to sort of the phlebotomist
3 level?

4 A Yes.

5 Q You were involved in hiring all those
6 individuals?

7 A Even the security guards.

8 Q Why was that?

9 A Well, I mean, there are multiple reasons.

10 I'll give you -- try to give you a short answer. When

11 I was working at Microsoft -- I was -- I was there for

12 some time -- I heard (b)(6); (b)(7)(C) say many times, "The

13 most important thing a manager does is recruiting," and

14 I believe that. And there's a famous quote by Peter

15 Drucker that says, "Culture eats strategy for

16 breakfast." The point is: Culture of a company is

17 infinitely more important than strategy, and I believe

18 that too. And the culture of the company comes from

19 leadership, leading by example, and the kind of people

20 you hire.

21 And so I was absolutely obsessed with making

22 sure we hired the right people. And what was happening

23 was: A lot of the times, our managers or team leads,

24 because they really needed warm bodies to help them get

25 the project moving, they would hire somebody that --

0061

1 that I knew they would regret six months down the road

2 because they may not fit in the team. And they would

3 tell me that. After I said no to that person, they'd

4 say, "You know, you're right. I shouldn't have hired

5 that person."

6 And so I started making sure that -- I
7 clearly didn't have the technical background to
8 interview every single person, but I have done hundreds
9 of interviews in my life, and here at Theranos I did
10 thousands. I wanted to make sure these people are
11 coming for the right reasons and they have the stamina
12 to work with us for the long run. We didn't want,
13 like, tourists who just wanted some, you know, name on
14 their resume and then move on after 12 months. We
15 wanted people who were committed to the mission of the
16 company, to the cause that required a ton of hard work.
17 And -- and I was able to sense that. And when I met
18 with them, I would ask people why are they coming here
19 and -- and direct questions.

20 The other reason is: I -- is: Also, I
21 learned a lot from interviews. I interviewed a ton of
22 lab directors, I interviewed phlebotomists, and I got
23 to find out how other labs work. Because even if I
24 didn't like somebody, I would still ask them, "So what
25 do you like about your job?" "What you don't like about

0062

1 your job?" And, you know, you learned a lot from people
2 during interviews, especially when they're thinking
3 about leaving their company, and so they tell you a
4 lot.

5 Q What was the culture that you were trying to
6 create at Theranos?

7 A I mean, it's difficult to describe, but I
8 think the most important attributes were people who
9 loved doing what they were doing. It was really
10 important that you hire a chemist that loved chemistry,
11 not that I'm a chemist now, but I really want to be a
12 lawyer six months down the road, then, you know, we
13 should hire them as lawyers.

14 So it was important that you hire people who
15 love -- who have a passion for what they're doing
16 because they will work hard at it. You don't have to
17 micromanage them because they just love doing what
18 they're doing. We liked people who obviously had good
19 work ethic because we worked really, really hard, and
20 so that was really important.

21 And I think the other things were people who
22 were humble. Because we were doing such a complicated
23 project that if you hired somebody in software who
24 thought, you know, that person was a genius, you know,
25 you're going to fumble big time. You will not be able

0063

1 to get along with people who are not geniuses in
2 software, but they are geniuses in biology, or
3 chemistry, or bioinformatics, or mechanics.

4 So it was important that you hire people who
5 are team players, (b)(6); (b)(7)(C) you know,
6 like, flashy people. So -- and we were a conservative

7 company, we wanted conservative people. And so, you
8 know, that was kind of the culture.

9 Q Do you think Ms. Holmes shared that vision
10 of -- of what Theranos's culture should be?

11 A Absolutely. This was -- came from her
12 primarily.

13 Q I guess how did she communicate that to you?

14 A Well, we used to talk about -- she
15 interviewed everybody also. At some point, I think in
16 2015, I said, "Look, you need to stop doing this
17 because I am interviewing people, and you are so busy,
18 it's difficult to find you sometimes for an interview
19 when a candidate is waiting there."

20 And she goes, "Yeah, yeah, I think my
21 bandwidth is -- no longer allows me to interview
22 everybody."

23 So she not only communicated this to me, we
24 were both living this culture. I mean, there were a
25 ton of weekends -- we were always there -- almost

0064

1 always in the office on weekends. And Saturdays and
2 Sundays was my interview jam. I mean, I would
3 interview 15, 20 people sometimes on weekends,
4 Saturdays and Sundays.

5 And -- and many times, I would interview
6 people after 8:00 p.m. because I was busy throughout
7 the day, and that was a fairly good -- good barometer
8 for us, it turns out in the long term, because the
9 candidates who are interested in you after 8:00 p.m.
10 and come on weekends, that's a good sign they have good
11 work ethic to begin with.

12 So -- so -- and she was there. She was there
13 every weekend we were interviewing. So it was a
14 constant dialogue. And we would come out from an
15 interview and say, "I didn't like this person."

16 I'd say, "Well, I really liked this person."

17 "Well, why did you like it?"

18 And I'd say, "Here's the reasons." And this
19 is how the -- you know, our understanding of the whole
20 thing.

21 "Oh, yeah, that makes sense. I picked up
22 that too."

23 So culture is one of those things that
24 evolves over time. The other reason why it was so
25 critical for us to interview ourselves is, because we

0065

1 want our -- wanted our managers to do the same thing
2 and -- otherwise, the managers will delegate it to
3 their managers, and soon you have a lot of people that
4 nobody has really interviewed well. So it was
5 mandatory that if you wanted to be a team lead, you
6 have to interview in the company.

7 And then we made it mandatory for employees
8 that if you are a scientist, you're working at the

9 company, are you going to be able to interview five or
10 ten percent of your time? So we wanted to make sure
11 everybody was part of that.

12 And then people took responsibility. If
13 somebody doesn't -- didn't work out, I didn't have to
14 be the person trying to get the -- fire somebody. The
15 manager is responsible.

16 Q I -- I guess how many managers, you know,
17 would you say reported to you at any given point in
18 time? I assume it grew over time, but --

19 A Yeah, it grew over time. But like I said,
20 the organization was literally like a -- you know, a
21 Rubik's Cube. So there were a lot of people reporting
22 to me, but people knew that, you know, we were running
23 so fast. So even if somebody was reporting to
24 Elizabeth, you know, they were kind of reporting to me
25 because they -- if I ask something, I get the answers.

0066

1 So there were a lot of people reporting to me.

2 Q What kind of positions would you describe as
3 managers as Theranos?

4 A I would say team leads and above. So there
5 who -- whose official title was not necessarily
6 manager. Sometimes senior scientists were managing, you
7 know, four or five other scientists, but they were
8 managing them. So we didn't give them the official
9 manager title until we saw and they proved that they
10 actually are a good manager, but I would still consider
11 them as managers.

12 Q Did Ms. Holmes ever express any goals to you
13 about how she wanted the company to be valued
14 externally?

15 A That was not on our radar. We were -- our
16 focus was: We're going to build the company for the
17 long run. She wanted to build something for the next
18 20, 30 years, 40 years. She wanted to run this for the
19 rest of her life. And so this -- this question about
20 how others value was -- I mean, it was very low on our
21 priority.

22 Q Did she ever describe that she wanted to have
23 a -- to lead a billion-dollar company or something like
24 that?

25 A I think it was more as a metaphor that she

0067

1 wants to build a company that has that kind of impact.

2 But I don't think she personally said, "I want a
3 billion dollars." That's not what drove her at all, and
4 certainly it didn't drive me. I would not have stayed
5 at the company or even worked for a day there if what
6 was driving the CEO or the founder was: "I want to be
7 a billionaire." I would -- that's -- life is too short
8 to waste like that.

9 Q And you personally didn't have any financial
10 need to do that; is that --

11 A No, no. I was happily enjoying my life and
12 enjoying the beach, and then I came and worked seven
13 days a week for seven years. I used to joke I'm a
14 seven-year slave.

15 Q I'll hand you what I'm going to mark as
16 Exhibit 236. And just for the record, Exhibit 236 is
17 a -- a document Bates-stamped Balwani-2870.

18 (SEC Exhibit No. 236 was
19 marked for identification.)

20 BY MR. KOLHATKAR:

21 Q Do --

22 A (Witness reviewing document.) Uh-huh.

23 Q Do you recognize Exhibit 236?

24 A It's an e-mail from myself to Ms. Holmes and
25 her response.

0068

1 Q And you -- and you see that it looks
2 initially like you forwarded her an announcement of --
3 relating to Facebook's acquisition of Instagram?

4 A Yes.

5 Q And it looks like you say, "very annoying."
6 Do you mean annoying?

7 A Yes.

8 Q "Why are we not there yet"?

9 A Uh-huh. Yes.

10 Q What did you mean by "Why are we not there
11 yet"?

12 A Yes. When we were under the radar, it was
13 excruciatingly painful to recruit people, to bring
14 people on board. And we wanted to grow faster. We
15 wanted to add more engineers, more scientists, more
16 computer scientists. Especially in computer science, I
17 was struggling hiring people.

18 So the point I was getting across here from
19 my -- and this is the discretion we always have is:
20 How much people should know about who we are and what
21 we are doing. This is in 2012 still. And we were
22 under this radar on the stealth mode. Had we hired
23 more people faster, had people known that they, you
24 know, this is a company. They have a Walgreens
25 contract. They have a Safeway contract, I think our

0069

1 recruiting would have been easier.

2 And this is what I was referring to, is that
3 we have -- when we recruit people -- when you call
4 people, nobody has even heard about us, so it was
5 impossible to hire people.

6 Q I guess, so what did -- what did Facebook's
7 acquisition of -- of Instagram have to do with, I
8 guess, being under the radar or not?

9 A Well, that's because Instagram was not doing,
10 in our opinion, anything as significant or important as
11 what we were doing, and everybody was talking about
12 Instagram as if it's the greatest thing ever. And my

13 point was: People should be focusing on us so we could
14 recruit more people.

15 Q When -- her response to you is: "I had the
16 same reaction yesterday."

17 What did you understand her to mean?

18 A Well, that was the constant conversation.
19 The -- the reason I know or I feel that that was the
20 case here is: Every time you saw how difficult it was
21 for us to get traction in recruiting, and especially in
22 Silicon Valley, this was her reaction. That these
23 companies who are not doing anything really close to
24 what we are trying to do, the impact we are making, you
25 know, they get all the buzz.

0070

1 And because of that, I mean, we -- I used to
2 interview software developers and even reaching out to
3 them, they would say, "Oh, no, no, I got an offer from
4 Instagram."

5 And I used to say, "Instagram is not going to
6 get you the kind of passion and satisfaction in life
7 that we will."

8 But he said, "So what are you guys doing?"
9 And we couldn't tell him.

10 Q So why was the company in stealth mode back
11 in this 2012 time period?

12 A You know, we -- Theranos is in a -- in a --
13 in a brutally cutthroat industry from many directions.
14 Had Theranos succeeded in laboratory services, we would
15 have shaved off billions of dollars of revenue from our
16 competitors, basically -- primarily that they make from
17 Medicare and Medicaid. They overcharge Medicare and
18 Medicaid. I don't know if you guys know that.

19 So we knew we were going to get attacked in
20 the marketplace as soon as we launched, and we wanted
21 as much headroom as possible, as much runway before we
22 launched. Stay under the radar. Don't alert people
23 what we are doing.

24 The other thing is: A lot of the things we
25 were doing were supercool ideas, how we're going to

0071

1 execute not just the software, hardware, data, and so
2 on and so forth, but our business strategy. The fact
3 that we picked Walgreens and Safeway, and this is how
4 we're going to grow the business, we didn't want
5 anybody to know until we're out there, until we no
6 longer can hide it. So that was the primary reason.

7 Q And in your mind at the time, what -- what
8 did -- what sort of objectives did Theranos need to
9 achieve in order to be able to make that transition
10 from -- from stealth mode to -- to being around in the
11 marketplace?

12 A You know, if what -- if it was my -- if I had
13 control over it, we would still be in stealth mode.
14 The reason is: I wanted us to capture a couple of

15 markets, like, good, decent market share. You know,
16 launch in Arizona, maybe Pennsylvania, and then when we
17 launch in California is when people would get to know
18 us more. Until then, ideally, people should have just
19 assumed we were just another lab. They -- I -- I
20 didn't want people to know the incredible software that
21 was behind the company, and that, we wanted to protect.

22 Because, again, a discussion that Elizabeth
23 and I used to have is -- and we've had this with many
24 people is: Our main competitors were not LabCorp and
25 Quest. They were the competitors in the short and

0072

1 medium term, but our long-term competitors were Google
2 and Amazon.

3 And as a matter of fact, that fear came true
4 in 2013 when we launched and we came out. Since then,
5 Google has built this life sciences company and they're
6 spending a billion bucks a year on that. And I
7 actually believe a couple of months ago, they actually
8 renamed that to Google Diagnostics.

9 So this was about data. I mean, for us, data
10 was the gold. And the conversations, as you'll see,
11 with Walgreens were all about data, or were mostly
12 about data, because of how we thought about data. So I
13 wanted to keep us under the radar.

14 Even if people knew who we were, we wanted
15 them to think, like, we are this, you know, plain ole
16 lab company, like a Yellow Cab, just another cab
17 company.

18 Q Who -- I guess why didn't Theranos stay in
19 that sort of stealth mode?

20 A I think it became difficult once we launched.
21 And unfortunately, you know, media just paid more
22 attention to us, you know, than we were ready for.

23 Q Did you and Ms. Holmes share the view that it
24 would be preferable to stay in stealth mode --

25 A Yeah. I mean, she -- she was the CEO. When
0073

1 we closed the round in 2011, I think we had a 7 billion
2 valuation even back then. And unlike other Silicone
3 Valley companies, once they just raised a few million
4 bucks, they will do a press release, and now -- and
5 once they hit a billion valuation, of course, they do a
6 bigger press release.

7 And here, we had signed a contract with
8 Walgreens, and we didn't say "hush" to anybody. It was
9 our idea, saying, "Let's -- why -- why educate others
10 until we are ready?"

11 And the same thing with Safeway. And we
12 raised the capital. Even when we raised the capital in
13 2014, '15 when people knew who we were, we didn't tell
14 people we had raised the capital. For us, that was a
15 way to basically tell -- poke the bear. That now we
16 have resources that we will -- invest in our business

17 and we can grow faster. And we didn't want to do that.
18 So it was absolutely her -- her thoughts and
19 her strategy.

20 Q So in your view, sort of the -- sort of
21 additional media attention that came -- that the
22 company had in 2013, 2014, that wasn't something that
23 Theranos went out and sought?

24 A No. We -- I mean, I was hell-bent against
25 media. And we both shared that vision. We didn't want
0074

1 too much attention. We didn't have any media person on
2 our team. Like I said, we had literally one person in
3 all of our company was the communications director.

4 I think what happened was: People got
5 interested. And at least at that point, you know,
6 Silicone Valley had not seen a female entrepreneur, and
7 I think a lot of people in media, I mean, not to that
8 level -- were obsessed with her. And even when the
9 Fortune article came out in 2011 or '12, something like
10 that, we didn't disclose to them what our valuation
11 was. But they were snooping around. Obviously, once
12 media gets involved, they snoop around.

13 So, you know, we -- we didn't -- we were
14 trying to not get media. Now, once they reach out to
15 you -- to us -- reached out to us, things changed
16 because you lose control, as you learn unfortunately,
17 over what you can say or cannot say to media or how
18 much you can engage or not engage with media. It seems
19 to be, now in hindsight, it was kind of an
20 all-or-nothing kind of thing.

21 BY MS. CHAN:

22 Q How did the media find the company then?
23 What -- was there an event that took place that
24 precipitated the media attention?

25 A Yeah. So what happened was: In 2013, when
0075

1 we were launching at Walgreens in September, one of our
2 board members, Mr. George Schultz, said, "Somebody
3 should do a simple write-up, not much -- much in
4 detail, about the company," and he knew somebody in
5 media at Wall Street Journal, ironically. His name was
6 Joe Rago. And he came, he spent some time with us, and
7 he wrote just an editorial, but I don't think there was
8 anything significant there.

9 After that, we had a -- a lawsuit against a
10 patent troll who had been trying to steal our patents
11 and -- according to my understanding, and we had
12 engaged a big law firm, David Boies, Boies Schiller
13 and -- BSF. And we won the lawsuit. And (b)(6); (b)(7)(C)
14 wanted us to do a small article for Fortune, and we
15 said, "Why do we need that? I don't think it's
16 necessary."

17 And said, "No. The reason I took this
18 lawsuit is: There are other patent trolls. You guys

19 are a patent factory, you know. And there are a lot of
20 patents you haven't even filed yet, and there are other
21 people who are going to try to steal your patents. And
22 so if we print a small article that, you know, you went
23 after a patent troll, anybody who tries to steal your
24 patents or patent trolls will think twice."

25 So that was going to be the theme of that --
0076

1 that article. Initially, this is how it started. But
2 I think that reporter, once he met with the company,
3 and then I think he spoke with a few board members, got
4 the wind that the company was valued at a high
5 valuation, and that he got obsessed with it. And this
6 is how it happened.

7 Q Was that (b)(6); (b)(7)(C)?

8 A I don't remember the name anymore, but I
9 think it was in Fortune magazine.

10 MR. MCKAY: Is now a good time to take a
11 break --

12 MR. KOLHATKAR: Yeah.

13 MR. MCKAY: -- for just a minute?

14 MR. KOLHATKAR: Why don't we go off the
15 record at 10:22 a.m.

16 THE VIDEOGRAPHER: We are going off the
17 record.

18 (A brief recess was taken.)

19 THE VIDEOGRAPHER: Rolling.

20 MR. KOLHATKAR: We're back on the record at
21 10:33 a.m.

22 BY MR. KOLHATKAR:

23 Q Mr. Balwani, just to confirm, you didn't have
24 any substantive conversations with the staff during the
25 break; is that correct?

0077

1 A No, I did not.

2 Q So I want to turn a little more specifically
3 to just telling more a little -- a little bit more
4 about Theranos's technology.

5 So you've described a few different things.
6 Sort of you viewed the software as a -- as a major
7 component of it?

8 A Yes.

9 Q And when you joined, there was -- there was a
10 sort of the hardware aspect in terms of the TSPU as
11 well as the cartridges and the capillary tubes; is that
12 right?

13 A Correct. And the manufacturing.

14 Q And the manufacturing. Am I missing anything
15 in terms of what the technology was at that time you
16 first joined?

17 A When I joined?

18 Q Yeah.

19 A No. I would just add that as part of
20 software, there was this machine learning and

21 bioinformatics piece that was also in place. But
22 that's -- I include that was part of software.

23 Q And over time, did -- did Theranos's
24 technology sort of expand in terms of what it included?

25 A Yes, it did.

0078

1 Q What else did it grew -- include?

2 A Well, that's -- there were a lot of pieces as
3 we grew. I can give you maybe a broader overview, and
4 then if you want more details, I can drill down.

5 In chemistry, for instance, initially when I
6 joined, the team was small. We were just developing
7 chemistries, buying ingredients or reagents and -- and
8 other things from outside. Over time, we started
9 adding more and more of those components in-house.

10 Initially, also, for example, some of the
11 core technologies we started developing in-house. So
12 this -- this term called binders or antibodies, most
13 companies buy from outside, and in our case, we knew
14 that we may be locked out of some binders by our
15 competitors. Deliberately, they will tell the suppliers
16 not to sell to them. So we developed our own in-house
17 team that creates binders, like artificial life
18 basically is -- is the high way of describing it. It
19 was a very critical thing for us.

20 Then we have a few other teams in chemistry
21 that were doing nucleic acid amplification. This is a
22 form of technology that they were doing. There was a
23 team that, you know, does, like, sequencing work,
24 genomic sequencing stuff. So that team was built, and
25 we brought a lot of people in. We hired excellent

0079

1 people in that team. Then we also hired specialists in
2 fluid dynamics, so we had people doing that.

3 And the mechanical engineering side, we added
4 more people on the firmware side who can do embedded
5 systems.

6 Q What do you mean by "embedded systems"?

7 A Yeah. Embedded systems is a technology that
8 allows you to put software on a chip itself. So I
9 don't know how to -- I mean, if you want -- I can go
10 deeper into that if you want.

11 Q No. I think that's good.

12 A That's good enough?

13 Q Yeah.

14 A Okay. And then we also had mechanical
15 engineers with deeper specialties in small volumes,
16 microfluidics. And then we also added a lot more
17 expertise on the manufacturing side. We added people
18 who were specialists.

19 Manufacturing in the U.S. is a -- as
20 difficult a task as you can imagine. It seems simple.
21 It's not. The reason is: Most of the manufacturing is
22 out in China, so to hire people who are specialists to

23 be able to make plastic parts, especially really
24 high-precision plastic parts, is a major challenge
25 here, so we built the team in-house.

0080

1 Then we went further downstream to saying,
2 "Okay. There are components that we need in order to
3 build those plastic parts. Let's hire those
4 specialists." So there's a thing -- technology called
5 injection molding and making the mold itself.

6 So if you think about a plastic cap, for
7 instance, you know, this (indicating) is made in a
8 factory in an injection moldings machine, but the
9 system that tells it to make a cap that looks like this
10 with rings inside it, that is called a mold. It's a
11 very difficult art. Even people here in the U.S. don't
12 like to tackle that problem because it's very
13 complicated to make molds for high-precision parts.

14 Especially where the precision is not just
15 the tolerance, but the precision is also the reflection
16 of light, and how light enters, and so on and so forth.
17 So it's a pretty -- it's an art. We built that team
18 in-house. And then -- it's just a fantastic team.

19 So we did manufacturing there. We then also
20 built an automation line inside of a CLIA lab, so all
21 of that was new technology. We built a lot of software
22 for CLIA lab automation. We also built automation
23 lines for manufacturing our capillary tube nanotainers,
24 CTNs. I'll just refer to it as "CTNs" if that's okay.

25 Q Okay.

0081

1 A So CTNs. That includes the nanotainer, by
2 the way. So CTNs.

3 We then also added manufacturing lines for
4 assembling our consumables cartridges. And that was a
5 monumental project because we go there, it's just a
6 beautiful space, medical-grade clean room. The robots
7 are moving around and doing things. And we had to
8 program those robots. We had to design controller
9 boards to control those robots because it's realtime.
10 You cannot be off by one millisecond. Things will go
11 wrong. So that, we built in-house.

12 So that's just the -- one component. Then we
13 built a ton of software, a lot of software. And I can
14 go into detail if you want in software. But our --
15 what we built was a tight integration of pretty much
16 everything that the company was going to do all the way
17 from welcoming a patient into our stores, so when
18 somebody walked in, if they had our app, we knew who is
19 coming in before even they showed up, if they allowed
20 us to, obviously, and -- to the tiny details of
21 tracking the sample. You know, a sample is picked up,
22 who picked it up, and you can click on the name of the
23 courier and see what is the history, how many times
24 they've picked up from that location.

25 We also built cool apps that allowed us to
0082

1 make sure that -- be aware that -- you know, in the lab
2 industry, people do a lot of dirty tricks. Somebody is
3 going to show up pretending they are our people and
4 pick up our samples and -- except they're not our
5 people. So we built smartphones to be able to do a
6 two-way handshake, so they have to be our people using
7 the thumbprints. So all the way from there to when the
8 sample came to the lab, we were tracking it, scanning
9 the sample there, aliquoting it.

10 Then in the -- we built our own lab
11 information system because we looked at the LISs, or
12 live information systems, in the market. And the lab
13 industry is like -- literally, in my opinion, like a
14 cab industry. They don't have much cool software.
15 It's old, probably made by the Soviets in the '80s. I
16 don't know who made them, but it's pretty bad.

17 And so we built our own software that allowed
18 us to directly link with smartphones. We could do a
19 lot of things with LIS on a -- in a browser, and then
20 also, we put many key components of that on iPads and
21 iPhones. Then we built our crown jewel app, which is
22 the Theranos.Me app for consumers.

23 So you could download the app, you could scan
24 your lab order, take an image on it, and it will go to
25 the Cloud, and we will automatically transcribe for you
0083

1 so you don't have to type anything in. You can look up
2 your physician, you can look up your insurance, you
3 could take a picture of your insurance card and we will
4 see if you are eligible or not. We will tell you up
5 front how much the lab is going to cost you, which is a
6 breakthrough in healthcare in general.

7 So we developed all the software completely
8 tightly integrated, and then reporting the results out
9 to the physicians using an electronic medical record
10 connector that we also developed in-house.

11 And I'm sure I'm forgetting a bunch of other
12 things. I mean, we had a lot of technology.

13 Q What about on the -- the analyzer side, what
14 did Theranos develop over time in terms of sample
15 processing units?

16 A Yeah. I mean, we filed a very large number
17 of patents on the analyzer, so I would not be able to
18 capture everything. Some of them are actually above my
19 pay grade because they are too technical for me to
20 describe. But we developed an analyzer, I think,
21 that's -- actually, I believe it's a major breakthrough
22 that allowed us to do samples for multiple different
23 types of chemistries in one device.

24 And so today, if you go to a typical lab, you
25 have analyzers that do general chemistry, you have

0084

1 analyzers that do amino acids, you have analyzers that
2 do nucleic acid amplification, and you have analyzers
3 for hematology. So it's literally like you have a
4 calculator for calculations, you have a typewriter for
5 typing stuff in, and you may have other things, and
6 somebody brought a PC to you that can do all of those
7 things in one system.

8 And we built a system, so now you can
9 basically collect a sample from the patient and
10 theoretically put it in the machine, and we can
11 intelligently distribute the sample in parallel and be
12 able to give you the result from all four different
13 systems.

14 So it's like putting kind of like a lab in a
15 box as technology. No, it's -- sorry, go ahead. You
16 have a question, it seems like.

17 Q What is that analyzer? What was it called?

18 A It's TSPU 4.0, and obviously, it involved
19 3.0, 3.5. And then 4.0 had dozens of permutations. So
20 4.0, 4.0s, 4s, 4sv1. There were -- sorry, should I
21 slow down?

22 Q Maybe.

23 A Yeah.

24 Q If you could just run through those one more
25 time.

0085

1 A Sure. So System 4 had many different
2 versions. Think about Windows. You know, Windows 3.0,
3 Windows 3.1, 3.1.1, so on and so forth. And partially,
4 I brought that mindset of versioning product like this,
5 like Windows for Microsoft, I guess.

6 But -- so our products were 4.0, 4s, 4sv1,
7 4sv2, 4sp2v1, 4sp2v2, and I'm sure I'm forgetting half
8 a dozen or a dozen more. So there were a lot of
9 different permutations as we were tweaking and making
10 the device better. And this was going to be a
11 never-ending process. We just gave it a new version
12 number, like the iPhone IOS.

13 Q So you used some sort of a software
14 nomenclature to describe the different 4 -- System 4
15 machines --

16 A Yes.

17 Q -- you just described?

18 I guess, setting aside the -- sort of the
19 software element, did the different 4 systems, I guess,
20 look and -- and function differently?

21 A Yeah. There -- there were --

22 Q Was the hardware different in the machines?

23 A Yes.

24 Q Okay.

25 A Yeah. The hardware was different. The

0086

1 electronic boards were different. And the hardware was
2 different to what a nontrained person will miss in a

3 subtle way, but to the systems person, a person who is
4 responsible for assays would say pretty significant
5 ways. So the hardware was different, yes.

6 Q And before we go -- kind of go through
7 those -- those 4 system machines, you also mentioned
8 kind of a 3.0 and a 3.5.

9 A Yes.

10 Q What were those?

11 A They were early incarnations of System 4 --
12 System 4.0.

13 Q When did Theranos create the 3.0 system?

14 A 3.0, I think existed before I came to the
15 company. As a matter of a fact, when I joined the
16 company, 3.0 was the system in place. And then 3.5 was
17 created, I think, in 2012ish or 2013 time frame. And
18 then -- but 4.0, we started working on back in 2010.

19 Q Could the 3.0 TSPU conduct all four type --
20 types of tests that you described?

21 A No, it could not.

22 Q What could it -- what could it do?

23 A Yeah. There were -- and again, this is my
24 understanding. I'm not a chemist, so I'm going to
25 describe it loosely. But there is a class of assays

0087

1 called immunoassays and ELISA. 3.0 could do those.

2 Q Do you know what "ELISA" stands for?

3 A I have no idea. It's a technical term,
4 like -- it's a name -- it's like a chemistry name.
5 I -- I read it a few times, but I have never been able
6 to memorize it.

7 Q What about the 3.5, what could it do? How
8 was it different than the 3.0?

9 A 3.5 did similar things like I described
10 earlier. And in addition, we had also done a prototype
11 of general chemistry in 3.5, but we would not be using
12 it for that yet.

13 Q Okay. So it could do -- in other words, the
14 3.5 could do immunoassays, ELISA, and had the potential
15 for general chemistry?

16 A Yes.

17 Q And then what were -- so what were the
18 different methods that were in the System 4?

19 A The remaining fourth one, which is the
20 nucleic acid amplification test.

21 Q Okay. And so then you described sort of a
22 number of System 4 devices.

23 A Uh-huh.

24 Q I guess let's start with the 4.0. What was
25 sort of the original 4.0 and how did it change from the

0088

1 4.0 to the 4s?

2 A You know, those, I would not -- I wouldn't
3 remember those details, because like I said, there were
4 small differences sometimes. And sometimes the board

5 will change, sometimes some robotics will change,
6 sometimes the gantry that moved inside and out would
7 change.

8 So I don't recall exactly what was changing
9 between those, you know, dozen or so -- or two dozen
10 versions. But I know -- or it may be they were -- many
11 of them were changing because we were constantly
12 improving. So when, you know, let's say Piece Number 1
13 was changing, then the team with Piece Number 2, that
14 thought was done but would come up with improvements,
15 and they'd say, "Oh, well, let's just put it inside the
16 next version."

17 So it was kind of an integrated process.

18 Q The -- I guess I want to give you a couple of
19 terms and see if you can put it in the context of those
20 devices you just listed.

21 The term "Edison," was that a term Theranos
22 used internally?

23 A Edison was a code word that was used for the
24 3.0 version. The 3.0 version was the one that was
25 existing before I came to Theranos.

0089

1 Q What about "miniLab," did you hear that
2 term --

3 A Yes.

4 Q -- in your Theranos -- what was that used to
5 refer to?

6 A It was a loosely defined term. We had
7 visited Johns Hopkins as part of our relationship with
8 Walgreens, and they had used this term to describe our
9 system, and then we started using it loosely. But we
10 didn't -- I don't recall ever referring to a machine
11 like, "This is the final miniLab." It was -- sometimes
12 we would, you know, refer to the 4.X machines as
13 miniLabs. Sometimes a prototype would be a miniLab.
14 So it was loosely used.

15 Q The -- the machine that -- did you bring a
16 machine out to Johns Hopkins for that --

17 A Yes.

18 Q -- for that meeting?

19 A Yes.

20 Q Do you -- do you recall what version it was?

21 A I believe it was 3 dot -- either 3.0 or 3.5.

22 I think it was 3.0.

23 Q And did -- did Theranos use "miniLab" to
24 describe either those 3.0 or 3.5 machines internally?

25 A People may have. I mean, like I said, it

0090

1 was -- a lot of these code names, even Edison, was a
2 name of a room, was the name of a project. It was
3 Edison 3.0. Some people even called 3.5 Edison 3.5. So
4 we were not strictly enforcing rules on code names.
5 People kind of used different names to describe
6 different things.

7 Q And I've also heard the term "monobay" and
8 "multibay."

9 A Uh-huh.

10 Q How does that fit into the -- the
11 nomenclature of the devices that you described?

12 A Yeah. At some -- at one point -- so if you
13 look at our TSPU, we wanted -- our goal was: In the
14 long run, this is going to be in the field, and we
15 wanted to minimize the repair and downtime, you know,
16 when we go service something.

17 So we designed it as a -- unfortunately, I
18 came up with the name. And we designed it as a data
19 center. If you go to a computer data center, you can
20 just pull out a server and put in a new one and the --
21 the Cloud doesn't have to shut down, right, the Google
22 doesn't shut down to replace components. And they call
23 them blades, right, those computers.

24 And I used the same terminology for our 4.X
25 machines. They were designed so that you can take out,
0091

1 like, the guts of the machine and it was like a blade.
2 I don't know if it makes sense. And then you can just
3 pop in a new one and then bring the blade home for
4 service, but the -- you don't have to replace the
5 entire machine.

6 So any machine that could allow us to do
7 that, we used to refer to it as monobay or multi --
8 what was the term you used?

9 Q Multibay.

10 A Multibay, yes.

11 Q So monobay and multibay didn't have anything
12 to do with the number of samples you could put into the
13 machine?

14 A No. You could always put only one sample at
15 a time in TSPUs. So theoretically speaking, you could
16 put more, but we never did. It was always one patient,
17 one sample, one sample at a time.

18 Q Why was that?

19 A It was a design choice. It's like mainframe
20 versus PC. Right? When you -- in the old days, on a
21 mainframe -- or even today, multiple people log in and
22 do -- they use the same -- at that same time, multiple
23 people are sharing the machine. But an iPhone, you
24 know, usually one person uses it, a PC, one person uses
25 it. And our thinking in the mind was that these

0092

1 devices are more personal like PC devices.

2 So there's like -- ultimately, you know, ten
3 years down, if you put it in somebody's home, they can
4 prick a finger, put it in the cartridge, shove the
5 cartridge in. If you have to put two samples in, it
6 complicates things, and it's no longer a CLIA-waived
7 device in your opinion -- in my opinion because it's
8 complicated. The average person doesn't know which

9 blood went where, and it's going to complicate the
10 whole equation.

11 So we decided that you can only do one -- you
12 will -- we will do one sample at a time. But, however,
13 the system was designed to do, you know, many, many
14 samples at a time if you reduce the number of assays.

15 Q I guess how could the -- I'm just trying to
16 think in terms of the mechanics. How could the
17 system -- how could you put in multiple samples at a
18 time into one of these TSPUs?

19 A So there was a cartridge, and the cartridge
20 had holes. Right? And like I mentioned earlier, our
21 entire system was designed and controlled by the Cloud.
22 We could create a new protocol very easily.

23 And the protocol in chemistry means
24 instruction sets to the machine. So we could send a
25 new instruction set to the machine saying, "You know,

0093

1 instead of assuming blood is in just one hole, blood
2 actually is in two holes and there are two different
3 samples." So that's just software.

4 Now, obviously, there was more work involved
5 in designing this cartridge because if you're
6 processing, let's say, four samples, you need four
7 times the reagents and four times everything. Not
8 necessarily four times or more.

9 Q The 3.0 machine, I guess, how many -- how
10 many tests could it run at a single time?

11 A I think we had tested it eight assays at a
12 time. It could have done more. We never pushed it
13 beyond that. But eight is what I remember.

14 Q What about the 3.5?

15 A I would say same.

16 Q Did that change with the -- with the 4.0?

17 A Yes, significantly.

18 Q How many assays could the 4.0 run at a time?

19 A Theoretically -- so it depends on how you
20 design the cartridge. There was no limitation in the
21 system that would -- even 3.0 or 3.5. So what we are
22 talking about is the consumable. Right? And the
23 consumable, if you shrink it and make it smaller, then
24 you could add more and more tests.

25 So in 4.0, we had designed a cartridge. I

0094

1 think we had pushed the limit to, like, 65 or 70 tests
2 at a time. Now, we couldn't come up with a realistic
3 scenario where people would actually use it anytime
4 soon, but theoretically, we could have done 70 tests at
5 a time. Or probably 65, 70. I don't remember the
6 exact number, but it was a high number.

7 Q More than the eight --

8 A Oh, yes.

9 Q -- by a significant measure?

10 A Absolutely. Yes, yes.

11 BY MS. CHAN:

12 Q How did that -- how did the capabilities of
13 the 3.0 and the 4 series TSPU, how did they compare to
14 commercially available machines? Can the commercially
15 available machines conduct testing on multiple samples
16 at one time? And how many tests can be conducted on --
17 on those machines?

18 A Yeah. In general, the commercially available
19 machines, the ones that you put in the lab, are
20 designed for high input. And -- and I can go into as
21 much detail here as you want. But the -- the -- the
22 thought behind that is: You wait until enough samples
23 have been collected, and then you run them at the same
24 time as a batch. Right?

25 So let's say if you're in a hospital lab or

0095

1 a -- or an -- an independent lab, these machines are
2 designed to run, say, 96 samples. 96 is, for some
3 reason, a magic number in chemistry. Just like in
4 computer science, it's binary, 0, 1, and 16, and 64,
5 chemists are ninety -- based on 96. So most of these
6 machines will be the 96, or double of that is, what,
7 180-something or 384. Right?

8 So it depends on the machine, it depends on
9 the chemistry, but most of them batch them in large
10 numbers. And the primary reason is: Economics. When
11 you buy reagents, the reagent base in the chemistry
12 packs, they come in bottles. So once you open it, you
13 have to use it because they come with an expired date
14 that you have to use it within 24 hours.

15 So if you don't have 96 samples, you don't
16 want to open that thing because they're expensive.
17 Right? But once you open it, then you use it. So they
18 are more traditionally batch oriented.

19 The other big difference is: These machines
20 are designed for batch processing, so they usually do a
21 smaller set of assays. And this is my understanding.
22 Right? And is -- for example, a machine will do only
23 certain general chemistry tests. And if you want to do
24 hematology, it's a completely different machine. It
25 has a different detection system, it has different

0096

1 mechanics, it, you know, has lasers or whatever.
2 Right? It's different. If you want to do nucleic acid
3 amplification, it's a completely different machine.
4 You cannot run a nucleic acid amplification test in the
5 machine in which you did the immunoassay, right,
6 because they're completely different. They won't know
7 what to do.

8 And that was one of the big differences.
9 Like, we put together a system where you can do all of
10 that together. Just like I said, the traditional lab,
11 think about it more as, you know, there are calculators
12 and typewriters, and here, we had a PC where you can do

13 different types of tests.

14 And you can do them in parallel was the
15 beauty of it. You could aliquot the sample and --
16 let's say, a nucleic acid amplification test would be
17 like a Zika test. You can take a sample, put it in the
18 tray, put it in front of a detection system, and it's
19 going to heat it up -- and I can define to you in more
20 detail. But it's going to do its thing to detect the
21 signal from it. And then you can -- in the meantime,
22 the robot can go and say, "Oh, let me go and run the
23 vitamin D test here." So theoretically, you can do
24 that.

25 Does that answer your question?

0097

1 Q Yes. Thank you.

2 BY MR. KOLHATKAR:

3 Q So you mentioned, I guess, the -- the -- sort
4 of the -- the throughput issue. Did -- did you
5 supervise Theranos's CLIA lab?

6 A Well, there are lab directors. There are
7 legal requirements on the word "supervision." So the
8 lab directors are responsible for all the medical
9 decision stuff. So I cannot make any medical decisions
10 in the CLIA lab. However, all labs report ultimately
11 to business, so -- not all, but most, I should say.
12 Independent labs for sure.

13 And so there's recruiting to be done in the
14 lab, decisions to be made on how to negotiate contract
15 with the vendors, scheduling employees because overtime
16 is a big issue in the lab. Because of how the machines
17 work, you have to design the employee schedules around
18 machines.

19 So all of that came to me -- reported to me,
20 but there were other people managing it, but they did
21 report under me.

22 Q And who were -- I guess when did Theranos
23 create a CLIA lab?

24 A Yeah. Our first CLIA lab was 2011. I forgot
25 the month, but it was open in 2011. It was tiny, very

0098

1 small. And the lab director at that time reported to
2 Elizabeth Holmes. He didn't report to me. There were
3 five people there. I didn't spend much time there, to
4 be honest, in that lab until 2013.

5 Q Why did Theranos have a CLIA lab in 2011?

6 A Well, there were a lot of reasons. We wanted
7 to be in the business, a CLIA lab, there's one reason.
8 We also had a lot of samples we were developing in R&D
9 that you have to run and compare to what the predicate
10 device or the commercial machines say. In chemistry,
11 one of the biggest challenges is: What is the truth?
12 For example, if I were to say, "What is your vitamin D
13 level," to say what actually is your vitamin D level is
14 not an easy answer. It changes depending on which lab

15 you go to.
16 So what happens is: People pick a predicate
17 device that has been cleared by FDA and say, "Okay.
18 I'm going to match my device to that because I know FDA
19 has already cleared that." And those devices run in a
20 CLIA lab. And they bring the structure, and the SOPs,
21 and the rigor of a CLIA lab so that you know everything
22 that is running is correct and right.

23 Q By "SOP," do you mean standard operating
24 procedure?

25 A Yes. Yeah.

0099

1 Q Who was the lab director that reported to
2 Elizabeth Holmes?

3 A It was a person named (b)(6); (b)(7)(C). I think
4 his full name was (b)(6); (b)(7)(C)
5 (b)(6); (b)(7)(C).

6 Q And did that -- did Theranos close that 2011
7 CLIA lab at some point in time --

8 A No.

9 Q -- or it -- it did -- it just continued the
10 certification and grew the lab in 2013; is that fair?

11 A Correct. Yes. It was the same lab
12 certificate. We opened in 2011 and then we started
13 adding more and more capability to the CLIA lab.

14 Q So following (b)(6); (b)(7)(C) who were the other lab
15 directors at Theranos?

16 A Yeah. (b)(6); (b)(7)(C) also had a co-lab director
17 who was working part-time with him. I forgot his name.
18 He was a consultant. I mean, lab directors, it's not
19 required that you have to be full-time. As a matter of
20 fact, up until recently in California, a lab director
21 could be a lab director for an infinite number of labs.
22 Now I think it's five.

23 So (b)(6); (b)(7)(C) was our employee full-time on
24 site. And we had a consultant whose name I forget. He
25 was also a co-lab director. Then we had hired another

0100

1 lab director full-time. His name was (b)(6); (b)(7)(C)
2 (b)(6); (b)(7)(C) I think around the
3 time (b)(6); (b)(7)(C)

4 And after that, we had a few other lab -- I
5 mean, I can give you the names if this is what you
6 want.

7 Q Sure. Yeah. That would be helpful.

8 A Yeah. So (b)(6); (b)(7)(C) -- and even when (b)(6);
9 (b)(7)(C) was a lab director, we still had this
10 consultant as -- on our lab certificate because we were
11 asking him for advice and other things.

12 (b)(6); (b)(7)(C) we had another
13 gentleman, his name was (b)(6); (b)(7)(C) as a lab
14 director. We also had another consultant who was co-lab
15 director at that time for the lab. Her first name was
16 (b)(6); (b)(7)(C) I forget her last name.

17 And then after that, we hired two additional
18 lab directors in two thousand -- the end of 2015, I
19 think January of 2016, (b)(6); (b)(7)(C)
20 (b)(6); (b)(7)(C) They were both full-time lab directors. I'm
21 talking about Newark lab here in California.
22 Q Was (b)(6); (b)(7)(C) ever a director of any CLIA
23 lab for Theranos?

24 A Yes.

25 Q When was that?

0101

1 A (b)(6); (b)(7)(C) the Arizona lab,
2 which is a different lab, not the Newark lab. And we
3 started the Arizona lab, I believe -- you know, I'm
4 going to get the date wrong. But it was the winter of
5 2014 and '15. So either December or January time
6 frame.

7 Q You mentioned the -- the Newark lab being the
8 CLIA lab. Was that always the location of the CLIA
9 lab?

10 A No. Our CLIA lab moved around. It started
11 out -- as -- as the company moved, the CLIA lab moved
12 with -- with us. It was in Palo Alto, it moved to
13 another location in Palo Alto, I think, and moved back
14 to Palo Alto. And then once we acquired a larger space
15 in Newark, California, across the Bay, we built a brand
16 new lab. The lab guys actually designed and built the
17 lab the way they wanted it for the future. And then
18 the lab moved there.

19 MR. KOLHATKAR: We have to switch the
20 videotapes, so we'll go off the record at 11:20 a.m.

21 THE WITNESS: Can I run down and use the
22 restroom?

23 MR. KOLHATKAR: Yeah. I think it's five
24 minutes.

25 THE VIDEOGRAPHER: Off the record. Please

0102

1 don't forget your mics.

2 (A brief recess was taken.)

3 THE VIDEOGRAPHER: Rolling.

4 MR. KOLHATKAR: Back on the record at 11:08
5 a.m.

6 BY MR. KOLHATKAR:

7 Q Mr. Balwani, just to confirm, you didn't have
8 any substantive discussions with the staff during the
9 break; is that correct?

10 A No, I did not.

11 Q Ms. Chan has a question.

12 BY MS. CHAN:

13 Q The consultant that you mentioned that was
14 the lab director with (b)(6); (b)(7)(C) and sort of stood by for
15 (b)(6); (b)(7)(C) as well -- or (b)(6); (b)(7)(C) is that --
16 is that (b)(6); (b)(7)(C)?

17 A Yes, that's correct. Yeah.

18 Q And is he still -- to your knowledge, when

19 did he stop being a consultant to Theranos?

20 A Yeah. Actually, let me clarify. With (b)(6); (b)(7)(C)

21 (b)(6); (b)(7)(C) I don't exactly recall if he was a co-lab

22 director or not. I earlier said he was. He was

23 definitely on our certificate. He may have been a

24 technical supervisor, but I actually think he was a

25 co-lab director, but I'm not sure. But he was

0103

1 involved.

2 And he stopped -- I think once we had (b)(6); (b)(7)(C)

3 (b)(6); (b)(7)(C) full-time, at some point during that time

4 frame, we had removed him from our certificate because

5 we didn't think we needed someone. We just hired a

6 full-time lab director.

7 BY MR. KOLHATKAR:

8 Q And you mentioned that it was your

9 understanding -- let me rephrase that.

10 Was it your understanding that the lab

11 director had sort of the final say on all medical

12 issues?

13 A Yes, absolutely.

14 Q Was that your understanding throughout your

15 time at Theranos?

16 A A hundred percent, absolutely.

17 Q Do you know if Ms. Holmes shared that

18 understanding?

19 A I believe so, yes.

20 Q What's your basis for that belief?

21 A Because I never heard her overrule a lab

22 director, and in general, whenever we had any

23 discussions on patient reports or results, we would

24 always say, "Talk to the lab director" or "Make sure

25 the lab director knows."

0104

1 So it was a strong implication there that we

2 were not -- she -- she knew the lab director is the

3 final decision maker.

4 Q You also described Theranos's CLIA lab moving

5 around to different locations.

6 Did Theranos maintain a separate sort of R&D

7 lab?

8 A We had a lot of R&D labs, yes.

9 Q And I guess specifically for -- for actually

10 running patient samples?

11 A Well, you -- you don't run patient samples in

12 an R&D lab. They are just considered samples. If it

13 is a patient sample just tech -- strictly defining, it

14 has to go to a CLIA lab. We just broadly used any

15 patient that gave us blood. But the samples that come

16 into R&D labs cannot be CLIA lab patient samples. They

17 can only go to CLIA lab. And if a sample comes to an

18 R&D lab, it's no longer a clear sample, period.

19 Cannot -- there's no overlap between them.

20 Now, you could use the CLIA lab to run some

21 samples that are not meant for CLIA lab reporting. So,
22 for example, if you have a machine, and during the day
23 you get a hundred patients, you run the samples,
24 everything is done, now the R&D guys say, "Hey, look,
25 we need to run some samples on this machine to generate
0105

1 data to compare" to whatever study they're doing, they
2 will go and get the samples and run them. And
3 according to the protocol, you can either buy samples
4 from outside or even the leftover samples. As long as
5 they're anonymous -- anonymized, you can use them for
6 R&D.

7 Q And, I guess, what did -- what was the --
8 what was the -- were Theranos's R&D labs always located
9 in close proximity to its CLIA lab or were there times
10 in those moves where they were kind of in separate
11 buildings?

12 A So the strictly R&D-only labs, for a long
13 time, were in the same building as the CLIA lab. So
14 between 2011 and 2013ish, we were strictly in the same
15 building. But CLIA labs have to have their own separate
16 rooms. R&D guys cannot go wander into CLIA labs. CLIA
17 lab employees usually cannot wander into R&D unless
18 there's a purpose for it. A project that, you know,
19 has been approved.

20 The CLIA lab has its own protocol. The
21 samples that come from the CLIA lab must go to the CLIA
22 lab. I mean, you can store them in a storage space, of
23 course, until the CLIA lab picks them up, but they
24 cannot go to R&D labs. So there's a strict protocol,
25 even though they're in the same building. And you
0106

1 point to actually, a good question because maintaining
2 that separation was important.

3 And then at some point, the CLIA lab moved
4 into a separate building in Newark. However, the R&D
5 guys were still going there. And then I believe we had
6 a small area designated in that CLIA lab space which
7 people were exclusively using for R&D, which is okay.
8 In the CLIA lab, as long as you put signs that say,
9 "These machines are not being used for patient
10 samples," then you can do your R&D there. Companies do
11 that, according to my understanding.

12 Q Was there a -- was there sort of a name
13 internally for that -- that R&D space within that CLIA
14 lab?

15 A No, I don't recall it. It was -- because the
16 R&D kind of happened in all different areas of the CLIA
17 lab, depending on what assays you need to develop,
18 there was no R&D space there.

19 Q The -- well, actually, why don't we just go
20 through maybe Theranos's different buildings. I
21 think -- I think that will help the discussion.

22 A Sure.

23 Q So you described that 2011 to 2013 time frame
24 where the -- as I understand it, the CLIA lab was in
25 the same building as the R&D lab?

0107

1 A Yes.

2 Q Although in separate rooms?

3 A Correct.

4 Q Was that -- was that at the Hillview address
5 of Theranos?

6 A Actually, yeah, that's -- and -- and when I
7 said -- it moved around in Palo Alto. Our CLIA lab
8 originally started in the 3200 Hillview building. It
9 was a really small room, the size of this room. But
10 the entire 3200 building was an R&D building at that
11 time. And again, a CLIA lab, only CLIA lab employees.
12 I think we had three employees at that time. A very
13 small lab.

14 Then the company moved into the 1601
15 California Avenue address. This was the old --

16 Q No, go ahead.

17 A This was the old Facebook headquarters. And
18 we signed the lease. They had trashed the entire
19 building before they left, so we had to go and rebuild
20 everything and clean out everything. They even removed
21 the cables, the Ethernet cables. Literally, we had to
22 lay out everything.

23 And -- and the CLIA lab moved as part of that
24 move to that building. But we had another building, I
25 forgot the address. It was also in Palo Alto. It was

0108

1 near San Antonio Avenue. I forgot the address. It was
2 called EMC building for some reason. Maybe the name of
3 the street was EMC. We may have moved the CLIA lab
4 there temporarily while we were moving from the 3200
5 building to 1601. It's possible. I don't recall.

6 Like I said, 2011, '12, I was very little
7 involved with the CLIA lab. So we may have moved there
8 temporarily and then moved it to 1601 once we moved
9 there, the entire company. And so that's where the
10 CLIA lab was.

11 Q When did the company make that move to 1601
12 California?

13 A I think maybe 2012 or early 2013. I don't
14 remember the exact dates.

15 Q And when was the Newark facility opened?

16 A I believe end of 2013 or early 2014.

17 Q And at that point when the Newark facility
18 opened, is that when the CLIA lab moved to --

19 A Yes.

20 Q And when did the company move to -- is it
21 1701 Page Mill?

22 A Yes.

23 Q When did that happen?

24 A I think end of 2014. We had a deal with

25 Stanford that we had to get out, I think, by
0109

1 Thanksgiving, and if we didn't, they were going to
2 charge us a hundred thousand bucks a day in rent. So
3 we rushed out of that building probably around
4 Christmas, Thanksgiving time frame. That's my
5 recollection. I may be off by a month or a couple of
6 months here and there.

7 Q When the CLIA lab moved to the -- the Newark
8 facility, was the -- did most of the R&D lab move with
9 it, or as you said, it was more -- kind of some would
10 still be out at 1601 and some would be out at Newark?

11 A The R&D lab didn't move with the CLIA lab, so
12 only the CLIA lab moved. But the CLIA lab equipment --
13 the CLIA lab had all the equipment the R&D guys used --
14 needed for R&D. So even though the CLIA lab had moved
15 to Newark, the R&D guys were still at 1601. But -- oh,
16 the move may have been in -- around the same time. I
17 actually don't remember by month what happened first.

18 But the point is: The R&D guys stayed back
19 at the headquarters, whether it was 1601 or 1701.
20 However, because, like I said, R&D guys needed access
21 to the CLIA lab, a lot of the R&D guys were approved.
22 They had badge access to go to Newark, get in the
23 building, go in the CLIA lab, and do their thing,
24 whatever experiments they needed to run.

25 So they -- they were -- they had -- the R&D
0110

1 guys had access to the CLIA lab. The other way around
2 was not -- not necessarily true.

3 Q And when you at the -- when both labs were at
4 the 1601 California address, were there labs upstairs
5 and downstairs at that building?

6 A Yes.

7 Q And what was the distinction between kind of
8 the upstairs lab and the downstairs lab?

9 A Well, this building was really poorly
10 organized. It was not designed the way we wanted it.
11 So we had taken one chunk of the space in 1601 as R&D
12 lab, and we had put our CLIA lab also upstairs, but it
13 was disjointed. They were not adjacent to each other.
14 They were far away, and they had separate badge access.

15 And then downstairs, we had another room
16 where it was just used by CLIA lab. So you -- CLIA
17 lab, as long as the -- you're in the same building, you
18 can have rooms that are not -- they don't have to be
19 connected by walls. So we had another lab, a CLIA lab
20 room downstairs in 1601.

21 And then, of course, the entire mechanical
22 engineering team was downstairs. I believe even the --
23 some of the software guys were downstairs. It may not
24 be the case. And the cartridge manufacturing guys
25 were -- were downstairs too.

0111

1 Q Did you ever use the term "clunkers" to refer
2 to part of the CLIA lab?

3 A Yeah. There were some machines in the CLIA
4 lab which were third-party commercial machines we
5 bought, and they used to break down quite a bit. And
6 this was during the time when President Obama had the
7 "cash for clunkers" program for turning in your cars
8 and buying a new car. And I used to say these machines
9 break down so often, they're like old trucks, like
10 clunkers. So that's the background.

11 Q And did the -- "clunkers" didn't refer to all
12 third-party machines --

13 A No.

14 Q -- in your mind?

15 A Yeah. There were some. I mean, people may
16 have started to use that word for every big machine
17 because they -- all of them mostly did used to break
18 down a lot, at least you had to quality check them
19 every eight hours. So it's possible people just
20 loosely started referring to any big machine that
21 breaks down as a clunker.

22 Q What about the "Normandy" lab, what does that
23 refer to?

24 A Yeah. Again, another name that I came up
25 with, unfortunately. I'm a big history buff, and in my
0112

1 mind, when we launched in any geography with Walgreens,
2 that served as a beachhead so we can go and pick up
3 samples from physicians' offices and grow our business,
4 kind of like Normandy. I don't know if you know the
5 history. But the U.S. troops landed in Normandy. That
6 was the beachhead. And then the Army and others came,
7 and we beat the bad guys.

8 So that was the term. So the term "Normandy"
9 came from the project of our launch at Walgreens
10 initially. And then somebody said, "Well, the room
11 where we are doing finger sticks is Normandy." And then
12 some of the software guys said, "Oh, that's a cool
13 name." They started using it for some software modules
14 as Normandy. So then it kind of mutated and people
15 were abusing it.

16 But that's the name. But there was a room
17 called Normandy.

18 Q That was a room within the CLIA lab?

19 A It was. So in 1601, there were two rooms.
20 One was an upstairs room and a downstairs room. The
21 downstairs room was named Normandy.

22 Q Did the upstairs room have a --

23 A Have a name? Yes. The upstairs rooms had
24 all these big machines, so the lab guys had put names
25 of dinosaurs on these machines. And one time I was in
0113

1 the lab, and I'm like, "This feels like Jurassic Park
2 because all the machine names are named after

3 dinosaurs."

4 So the lab said, "Can we use that name as the
5 name of the room?" So they started calling it Jurassic
6 Park.

7 Q Okay. So just to recap it, upstairs at 1601,
8 that part of the CLIA lab is Jurassic Park?

9 A Yes.

10 Q And downstairs was Normandy?

11 A From -- for the CLIA lab.

12 Q For -- for the CLIA lab?

13 A That's right.

14 BY MS. CHAN:

15 Q And so were there different machines being
16 used upstairs versus downstairs where the older
17 commercially available machines were used in Jurassic
18 Park?

19 A Yeah. In Jurassic Park was mostly all
20 FDA-cleared, unmodified devices. So any machine that
21 we bought from outside that we didn't touch, didn't
22 modify -- there may have been some modifications, but
23 nothing significant, was all in Jurassic Park. And the
24 Normandy lab was where we had what I refer to as
25 Theranos's patented -- Theranos's technology, our --
0114

1 our trade secrets or our patented technology. And that
2 was all in Normandy.

3 So that did include some commercial analyzers
4 initially when we purchased them, then we modified them
5 significantly, and they were part of the Normandy lab.

6 Now, there may -- may have been some other
7 small tiny machines that we bought commercially that
8 were part of the Normandy that were not modified, but
9 they were part of the, you know, for workflow, it was
10 easier to have them downstairs. They were tiny. No
11 reason to just move the sample upstairs again. So
12 that's the reason behind it.

13 Q So you mentioned earlier, you know, there
14 were times when the R&D staff would need to go into the
15 CLIA lab. Why did they need to go in there?

16 A Yeah. I think I briefly alluded to that
17 earlier. There were a lot of samples we needed to run
18 to match them to what was being developed in Normandy.

19 So, for example, you know, let's pick vitamin
20 D. You are developing vitamin D assays and you run --
21 let's say you get blinded samples, I get samples from
22 everybody in this room. And the R&D guys would run it,
23 30 samples, they would get the values, and they would
24 run it multiple times. And then they would run it on
25 the predicate devices, which are in the CLIA lab to see
0115

1 what answers you get from them. And if you don't
2 match, then you calibrate devices to each other until
3 all the answers are matching. Now you know you have
4 matched yourself to a predicate device.

5 So the R&D guys -- that's one use. The R&D
6 guys used to go in the lab to run patients -- R&D
7 samples so they can get the values from there. So
8 that's one -- a lot of times, they would go there just
9 to understand how the commercial devices work. So R&D
10 guys want to see how the software works. I used to go
11 and observe the software on those machines to
12 understand how other labs, what kind of challenges they
13 faced when they used the machines.

14 Q So there were no predicate devices that were
15 in the R&D lab, they were all in CLIA?

16 A Well, there were some predicate devices that
17 we could buy cheaply that required minimum maintenance
18 in the R&D lab. We had a lot of devices --
19 commercially available devices in the R&D lab. A ton
20 of them.

21 But there were some devices which were
22 expensive in the CLIA lab, and also, maintaining them
23 is a major pain. Like I said, that's why I called them
24 clunkers, because a trained person has to literally,
25 you know, wash and bathe them, and, like, clean them,
0116

1 and QC them, and calibrate them every eight hours.

2 And R&D guys -- and usually R&D guys, they're
3 PhDs. They don't like following standard operating
4 procedures. CLIA guys are guys that live by SOPs, you
5 know. You tell them, "Pick up this bottle from here to
6 here (indicating), they'll do it perfectly." Right? R&D
7 guys would never be able to do it. They would drop
8 something or put it here (indicating), you know.

9 So -- so that's the reason.

10 Q And what was the CLIA lab doing in the
11 2011/2012 time frame?

12 A Well, there were two things. One was: They
13 were helping the R&D teams. Like I mentioned this
14 example, they were using -- helping with our assay
15 development. And they were also running patient
16 samples. We had one patient service center open at
17 Safeway -- at Safeway's corporate headquarters where we
18 were collecting patient samples, and the samples were
19 coming to our CLIA lab and we were running them there.

20 BY MR. KOLHATKAR:

21 Q The samples that were being collected from
22 Safeway, those were being collected from venous draws;
23 is that correct?

24 A Yes. We were doing venous puncture. And
25 this was a time when we started -- I think 2011ish or
0117

1 2012, we started developing our CTN, the one -- the
2 final product that you see. And as we were doing R&D
3 on CTNs during that time frame, we also asked people if
4 they would volunteer and participate in an R&D -- in
5 research. And some participated, so we would also do a
6 finger stick on them and get the sample and run them in

7 the R&D labs.

8 Q What was Theranos's goal in creating a CTN?

9 Why -- why did you need to develop them?

10 A Yeah. The CTN is a -- it was a very
11 complicated project. I can give you a short answer,
12 then I can get into detail.

13 The main purpose of that was to transport
14 samples from across the nation to our lab from finger
15 sticks. Right? Technically from -- it doesn't have to
16 be a finger stick. It's capillary blood. You can
17 actually draw from other parts of the body. But
18 basically capillary blood.

19 And we wanted to develop something that
20 provided an excellent patient experience because that
21 becomes your interface with the patient. And so -- so
22 you collect the sample -- and there's a lot of
23 engineering and science that goes into that to
24 stabilize the sample, make sure you don't lose any
25 blood cells and proteins and all that stuff.

0118

1 So that was the main reason behind it. And
2 it came about, I think, around 2011, 2012, '12ish.

3 Q Just so if I understand, you don't need a
4 Theranos designed CTN in order to run a blood sample on
5 a Theranos SPU (sic); is that --

6 A Correct.

7 Q Okay. So you mentioned the -- from the 2011
8 to 2013 time period, Theranos was primarily collecting
9 samples, specifically a lot from Safeway. Was there
10 any other sort of use for the CLIA lab in that time
11 frame?

12 A There were multiple uses. One was that --
13 serving the Safeway patients. Also understanding the
14 CLIA lab business and how -- I used to spend time on
15 the software side to see how these machines -- how the
16 software works. We also acquired a lab LIS system --
17 third-party LIS system called LABDAQ that we were using
18 in the lab because primarily to see how other labs used
19 it. There are, like, 2,000 labs that use their
20 software. It's pretty bad.

21 But I wanted to see how others were using it,
22 so that was the other reason. Start running the R&D
23 samples was the other reason. So there were quite a
24 few reasons.

25 Q There were other patient samples being run

0119

1 other than those at Safeway; is that --

2 A Not that I remember at this time.

3 Q And so at what point in time did Theranos
4 decide to pursue a broader patient sample market?

5 A Like serving the consumer testing?

6 Q Correct.

7 A We decided in 2010.

8 Q Okay. So the goal in 2010 was always

9 going -- was always going to be consumer focus; is
10 that --

11 A Well, by "consumer," you mean
12 physician-ordered tests that consumers today take to
13 LabCorp and Quest, we wanted them to bring those
14 tests -- those records to us. So yes.

15 Q Okay. And when did the -- when did the
16 company first start buying commercially available
17 analyzers with the objective of modifying them?

18 A 2010. 2010 is the first time when we bought
19 the Siemens machines. Like I said, one of the purposes
20 of the R&D and CLIA lab was: We wanted to see how
21 others do it. And we, you know, dug pretty deep into a
22 lot of different machines from a lot of different
23 vendors.

24 Q And was it Theranos's intent in 2010 to do
25 microsample testing on the -- on the Siemens machine?
0120

1 A Not specifically on the Siemens machines.
2 There are three elements here. It was our intent to do
3 microsample testing. We were also looking at a way to
4 do high throughput microsample testing potentially if
5 we needed to.

6 Because like I said, our TSPUs are discrete.
7 One sample at a time. And if you're doing 96 samples
8 at a time like a clinical lab, how would you do it?
9 You can do it 96 times on the TSPU, but can we do it
10 faster plus -- you know, a more high throughput way?

11 So that was the other reason. And third was:
12 Just to, you know, see other devices. Get more
13 experience with the devices.

14 BY MS. CHAN:

15 Q I'm trying to understand sort of the business
16 strategy of the company.

17 A Yes.

18 Q In the 2009/2010 time frame, you developed a
19 TSPU. And as you said, it could only test one sample
20 at a time.

21 A Right.

22 Q Why at that point did the company decide to
23 go into commercial testing if the TSPU wasn't sort of
24 suited to the high throughput situation?

25 A Yeah. Initially, our goal when we met with
0121

1 Walgreens was that we would get our TSPUs FDA cleared.
2 We would put them at Walgreens locations. The patient
3 come in, you do a blood draw, insert the cartridge, and
4 by the time the patient gets to the doctor, the most
5 commonly ordered tests, we can run in the TSPUs.

6 Because, you know, about two-thirds of the
7 tests that are ordered by physicians are about 60 to 70
8 tests and maybe 80, 90 if you include urine and some
9 other tests. But it's a small universe.

10 So our intent was -- our idea was that we

11 would focus on those tests, put them on cartridges, put
12 them in Walgreens locations so that we can run -- after
13 FDA clearance, of course. And we would run those tests
14 right there on the spot.

15 And so that was the primary objective at that
16 point. So TSPUs were suited for that purpose.

17 Q Okay.

18 THE VIDEOGRAPHER: Can you check where your
19 mic is and where yours is. I'm getting some rubbing.

20 THE WITNESS: Maybe --

21 THE VIDEOGRAPHER: There you go. No, you're
22 good.

23 THE WITNESS: Okay.

24 THE VIDEOGRAPHER: Thank you. Sorry to
25 interrupt. Please go ahead.

0122

1 BY MS. CHAN:

2 Q So how did the company, then, decide to go
3 from that model to the larger commercial testing model
4 where it would need to consider high throughput
5 methods?

6 A So I just want to make one small correction.
7 They're both commercial models. The difference is
8 centralized lab model versus what we call on-site
9 model, which is we put the machine on site model.

10 It evolved over time. 2011ish, we were
11 thinking about, you know, how would we -- you know, the
12 FDA clearance was the key element there. We were
13 talking to Walgreens. We had some opinions from our
14 counsel that as long as we were not commercializing
15 devices, we didn't need FDA clearances. So we were
16 still kind of speculating, you know, which direction to
17 go with that. We had a lot of discussions with
18 Walgreens. Walgreens was nervous about the strategy of
19 putting TSPUs without explicit FDA clearance like a
20 CLIA waiver because they thought that they may have to
21 get a CLIA license for every location, like a moderate
22 complexity lab or a CLIA-waived lab.

23 And we said, "Well, if you do this, then that
24 would basically mean that we are commercializing the
25 TSPU, and we're not going to do that." So we were

0123

1 having discussions around the time.

2 And then I think around the end of 2011 or
3 early 2012, we said, what if we shipped sample and ship
4 them to a central location? Yeah, it changes a few
5 things in the model, but it allows us to launch faster.
6 In the meantime, we can work with FDA, and if at some
7 point we decided to do the TSPU on site, we can do that
8 too.

9 So it evolved from the discussions in 2011,
10 2012, but in the back of our minds we were evaluating
11 that we didn't commit to Walgreens or comment to
12 Walgreens that we can or we will do this. That

13 happened once we had a little bit more confidence that
14 we can.

15 Does that make sense?

16 Q Sure.

17 BY MR. KOLHATKAR:

18 Q Throughout -- once you took a more active
19 role in sort of the -- in the CLIA lab space, I
20 understand it to be -- is that fair in the 2013 time
21 frame? Let me rephrase that as a question.

22 Did you take a more active role supervising
23 the CLIA lab, meaning, the lab director would report up
24 to you around the 2013 time frame?

25 A Yeah. The lab directors still continued to

0124

1 report to Elizabeth. But like I said earlier, the
2 reporting structure was less important, more was who is
3 spending more time. In 2013, because of software
4 primarily, because we were going to deploy our software
5 in the CLIA lab, I started to engage with the CLIA lab
6 more and more. But I would still kind of a
7 differentiate supervising. I was -- I was more focused
8 on software processes people, not the medical side,
9 which I didn't have the background.

10 Q And so as part of that sort of additional
11 engagement in the CLIA lab space in 2013, did you
12 become familiar with the devices the company was using
13 for different categories of patient samples in the CLIA
14 lab?

15 A I knew that before, before -- I mean, in
16 2013, I was deep into it, but even before that, I knew
17 the different devices we were using in the CLIA lab and
18 what different devices we needed to develop different
19 assay. So I had -- I had the background because
20 since -- between 2009 and '12, I was in a ton of
21 meetings with CLIA lab, with R&D people, so I had the
22 background.

23 Q So you would generally understand which tests
24 would be run on which device at the time?

25 A Yes. I would be able to -- I mean, I'm not a

0125

1 hundred percent, obviously. But some tests, you can
2 actually run on multiple. But -- but in general, yes.

3 BY MS. CHAN:

4 Q With respect to the Safeway samples that were
5 being run in the CLIA lab in 2011 and 2012, what --
6 what machines were being used or what analyzers were
7 being used to generate results?

8 A They were all FDA-cleared commercial
9 analyzers. So Advia 1800, Immulite. There was a
10 machine called DiaSorin. There was a machine called
11 Etimex, E-T-I-M-E-X. Those are the four big ones that
12 come to my mind, but there were others. They were all
13 FDA-cleared or approved machines.

14 Q Were any of them modified for smaller sample

15 testing?

16 A Not at that point. Not -- not for clinical
17 samples during that time.

18 Q And was Safeway aware that you were using
19 just -- you know, commercially modified FDA-cleared
20 analyzers to process those tests?

21 A Well, at this point we were not -- these
22 devices were not modified. They were out the box just
23 the way FDA approved them.

24 Q Right. And I was just wondering, did you
25 tell Safeway that you were using these commercially

0126

1 available machines to process these blood --

2 A The unmodified?

3 Q -- samples?

4 A Yes?

5 Q The unmodified?

6 A Yeah. Because we were collecting
7 venipuncture.

8 BY MR. KOLHATKAR:

9 Q Could you use venipunctured blood on the TSPU
10 in 2011?

11 A Yeah. I mean, it depends on the assay. But
12 yes, when you validate an assay, you can define what
13 matrix you are going to develop the assay for. So you
14 can say venipuncture, or capillary, or anything else.

15 Q So there's nothing specific about
16 venipunctured blood that would preclude the use of the
17 TSPU; is that fair?

18 A Technically speaking, yes, that statement is
19 correct. But however, once you have a large volume,
20 the novelty or the reason why, your TSPU goes down, it
21 reduces. Right?

22 Q Sure. It wouldn't make sense to take a big
23 thing of blood to --

24 A Yeah.

25 Q -- to run a small sample; is that -- is that

0127

1 what you're saying?

2 A Correct. Yes.

3 Q The -- at the time you understood, you know,
4 in the -- in the 2013 time period, did you also
5 understand which assays Theranos had validated on its
6 TSPU?

7 A I mean, I used to get updates. I was on many
8 e-mails. So I would say specifically on TSPUs, the
9 validation is -- there are two types of -- at least two
10 types of validation. One is: You validate stuff in
11 the R&D labs and then you validate something in the
12 clinical lab under CLIA guidelines. So they are two
13 different things.

14 Q When did Theranos start validating assays
15 under the -- under the CLIA lab guidelines for --

16 A For patient testing?

17 Q -- for patient testing on a TSPU?

18 A I would say summer of 2013 would be my guess.

19 It may have happened sooner, but I think that was the
20 time frame.

21 Q And were you kept apprised of the progress in
22 validating -- CLIA validating those assays onto the
23 TSPUs?

24 A Loosely. I mean, I -- I used to pay
25 attention to see what was going on, but at that time,

0128

1 there was so much work that I was not monitoring on a
2 day-to-day basis.

3 Q Was this around the same time that Theranos
4 began modifying commercially available devices?

5 A Correct.

6 Q What was the purpose of modifying the
7 commercially available device?

8 A Well, in one word, there was a high
9 throughput. But back in 2011, we were looking at how we
10 could process a large number of samples even just for
11 R&D purposes. But if we launched in the clinical space
12 and if a large number of finger stick samples came in,
13 how would we process them? Obviously, one answer is:
14 TSPUs. But if you do the math and we looked at the
15 economics, it was going to be a slow process.

16 So we started looking at high throughput
17 solutions back then. And in 2013, as we closed in, we
18 looked at these different machines, and we said, "These
19 machines will work." I mean, you'll spend more R&D time
20 on that, obviously. But that was kind of the need for
21 it.

22 Q I guess, was there one person or one moment
23 when there was this breakthrough that these
24 commercially available devices could be modified to --
25 to use smaller samples?

0129

1 A No. I don't think -- I wish there was one
2 moment and one person. That would be a great person to
3 hire. But no, this was a collaborative process. Once
4 we started working on CTNs in 2011/2012ish -- because
5 we knew we needed to ship the samples, so the version
6 of CTNs that you see now, we started developing that in
7 2012 to be able to make sure can stabilize the sample,
8 it had anticoagulants, a bunch of other stuff that goes
9 in CTNs to ship the sample properly was the time frame
10 we said, "Can we just pop this thing in and put it into
11 the big machines?" And we started looking at the whole
12 process flowing. And it happened over time.

13 Q So what were the modifications, I guess
14 broadly speaking, that had to be made to -- to get
15 blood from that CTN onto a -- and use it on a modified
16 commercially available device?

17 A Yeah. There were quite a few significant
18 modifications. One was: We had to modify the software

19 on the machine. The protocol that is used to process,
20 you know, the steps that are taken to process a sample,
21 we had to modify the software. We also had to modify
22 the preprocessing. Some of the steps that the device
23 would take -- the problem with these big machines is
24 that you lose a lot of blood. They kind of use blood
25 very cheaply. You know, they assume there's a lot of
0130

1 blood available, so even when you draw blood, there's a
2 lot of overage left at the bottom and people just throw
3 it away. In our case, every drop mattered.

4 So we also created our own vessel to be able
5 to eliminate or reduce the wastage of the blood. And
6 then there were a few other pieces in the workflow that
7 we had created. So some component -- hardware
8 components we had to create that would mimic what a
9 Vacutainer looks like. There was more software up
10 front we had created on this machine called Tecan which
11 is a preprocessing robot.

12 So those are pretty elaborate changes that we
13 had made with software and hardware pieces. We also
14 looked at chemistry and tried to understand the
15 chemistries will work the way we wanted them to work.
16 So a lot of the chemists spent lot of time on
17 chemistries.

18 EXAMINATION

19 BY MS. WINKLER:

20 Q In response to one of the prior questions in
21 discussing TSPUs, you used the phrase "commercializing
22 machines." What do you mean when you say
23 "commercializing machines"?

24 A So, yeah, I think what I was referring to is
25 commercializing our test services. And commercializing
0131

1 services is: If you are providing a lab testing
2 service to a patient which -- for which you get paid by
3 either Medicare or insurance or whoever else.

4 Commercializing machines is a very technical
5 term that FDA uses that you cannot sell a machine to
6 any other lab or anybody else for clinical diagnosis
7 purposes without FDA's clearance or approval.

8 BY MS. CHAN:

9 Q Whose idea was it to -- for Theranos to enter
10 into the commercialization business?

11 A You mean the lab business?

12 Q Into the lab business?

13 A I think it's also over time. But I know
14 Elizabeth wanted to be in the services business for the
15 following reasons is: If you look at our healthcare
16 system, somebody comes up with a cool product, and then
17 you sell it to hospitals, or doctors, or whoever, and
18 they charge up -- or labs, other labs, and they add a
19 margin. You know, and especially if it's new, chances
20 are you're paying more. Right?

21 And one of the things that we really wanted
22 to do was: We said, "If we work hard using software,
23 hardware, chemistry, and all that, and come up with
24 something that can reduce cost, we want to pass the
25 cost directly to the consumer and to the taxpayers, the
0132

1 cost savings."

2 And we did the math. And, for example,
3 Medicare pays, you know, a hundred dollars for a test
4 that hospitals will charge you about \$2,000. Right?
5 And an independent lab will charge you 50 to 60 bucks
6 if you have a preferred contract with an insurance
7 company, but they would still charge Medicare a hundred
8 bucks. Right?

9 And when we launch, we said, "If we sell
10 these devices to hospitals, we know because of the
11 utility of the device and the value add this brings,
12 they're going to amp up the pricing." And we didn't
13 want that to happen. And we wanted to give Medicare --
14 we said, "We're going to give taxpayers the lowest
15 price," and that could only happen if you control the
16 pricing.

17 So that was the -- the thought behind
18 providing, you know, lab services so that we can
19 control the pricing. And when we met with the
20 insurance companies, for instance, even when we were
21 going to put our services on hospital sites, this is
22 unheard of, but we wanted to control the pricing
23 because we wanted to make sure that we become a service
24 provider in the lab. We will collect the samples, bring
25 them to us, but we would bill the insurance companies
0133

1 directly.

2 Same thing with physicians' office. And when
3 we are a service provider in a doctor's office, what
4 other labs do is: They will bill the doctor, and in
5 many states, doctors will mark it up and bill the
6 insurance companies. And we said, "No, we -- we are
7 going to bill insurance companies directly, which is
8 what we did."

9 So it was primarily to make sure that we
10 provide the service at price points that we wanted.

11 Q So I don't mean to belabor -- belabor this
12 point, but I'm still trying to understand. You know,
13 you've developed this device that's more of a, as you
14 said, you know, the patient -- it's a one sample at a
15 time --

16 A Right.

17 Q -- device and the patient has control over
18 it, so it's more -- I think you alluded to envisioning
19 that patients would be able to have this at home --

20 A In the long, long run. Yeah.

21 Q In the long run?

22 A Yeah.

23 Q Okay. But why not just continue going down
24 that route versus going into the commercial lab
25 business where you would need a machine that would be
0134

1 able to conduct high throughput testing?

2 A I think it was more reaching out to more
3 people faster. Because if you go to the patient path,
4 like direct to consumers, sell the device at home,
5 first of all, there were so many tests that we were
6 doing on the device that were -- in order for us to put
7 the device in somebody's home and do clinical
8 diagnoses, we have to get it FDA cleared or FDA
9 approved, depending on the test. There are many tests
10 which are considered as complex. Even if you can do
11 them simply, they are still marked as complex, like HIV
12 tests. You want a physician involved. Back in the
13 days. Now it's changed in the last couple of years.
14 But -- and there are many other tests like this.

15 So even if you develop the assay, it doesn't
16 mean you're going to necessarily get a CLIA-waived
17 designation right away. It could take many, many
18 years. However, if you have an assay cleared by FDA,
19 you could put a TSPU in Walgreens and run it and get
20 the results to the doctor. So that's possible.

21 So it was more of a progressive path of how
22 do we reach the most number of people through the
23 service? It's like, you know, iPhone 7 is a very cool
24 product, but so was iPhone 1, and you don't get to
25 iPhone 7 until you ship iPhone 1 in some cases, some
0135

1 features. You don't wait until you're done with, you
2 know, a 10-megapixel camera before you ship iPhone 10.

3 So from that perspective of a service that,
4 you know, we know there's a pain in the market. We
5 know the people are, you know, going broke paying for
6 labs and healthcare bills. Can we get this faster in
7 the market? That was one purpose.

8 The other one was: This was -- even when we
9 start selling to physicians, not everybody could afford
10 to buy this. You know, like any consumer product,
11 there's a cost curve. Right? As more people buy, it
12 gets cheaper. So that's what was going on during that
13 time frame.

14 Q Was there ever a thought in your mind that --
15 that the company would be developing the TSPUs, that it
16 could conduct high throughput testing?

17 A On TSPU?

18 Q On TSPU.

19 A TSPU was designed for discrete processing.
20 It's possible. The scientists and engineers had a
21 discussion for high throughput. But -- and may -- they
22 may even have talked to me, but sitting here today, I
23 think our focus of TSPU was discrete processing.

24 Q So it just seems like when you're going into

25 the commercial lab business, if you don't have a
0136

1 long-term plan to develop a product that can do that
2 high throughput testing, then you're essentially -- was
3 the company thinking about essentially -- I guess I
4 just don't understand why you would go the commercial
5 lab route if you don't have a product that is going to
6 get you there and you're always going to be using, you
7 know, the commercially available machines?

8 A Yeah. I think our plan was that we will have
9 a device in Walgreens locations in medium, long term,
10 you know, five, six years or whatever to however long
11 it took. And that would reduce the number of samples
12 coming in to a central lab. So that was always the
13 plan. So it was not necessarily that we are not doing
14 TSPU anymore, we will never be in the field anymore.
15 That was still the plan.

16 The other thing is: Yes, it is not ideal for
17 batch processing the TSPUs, but you could still use
18 them. I mean, we actually had a prototype of a robot
19 that could just pick up a cartridge and put inside the
20 TSPUs and we would send it to control when it's done,
21 and take a cartridge, throw it away. It was doable.
22 But that meant -- and in the long run may have even
23 been economical, but it just meant a hell of a lot more
24 work for us on the software side for sure.

25 Plus, we found a good solution, and, you
0137

1 know, by creating this platform, we knew we could scale
2 it beautifully.

3 BY MR. KOLHATKAR:

4 Q I'm sorry, what do -- what do you mean by
5 your -- "a good solution"?

6 A Modifying the predicate devices and putting
7 more technology on it. It's like taking Linux and
8 putting on a different hardware. Once we did that, we
9 knew we can do that more and more if we wanted to.

10 And we also had -- it was not just about the
11 third-party Siemens or, you know, vendor devices. We
12 also this -- a general purpose robot that we referred
13 to called Tecan. Tecan is basically a machine the size
14 of this table (indicating), this piece of the table
15 (indicating). And it's a general purpose robot. You
16 can program it to do anything you want, like batch
17 processing.

18 So that was always an option in front of us
19 that, you know, this is a no-brainer. And at some
20 point, if you retire, you know, the third-party
21 machines that we've modified that we bought from other
22 vendors, Tecan is going to scale. And we used to call
23 that project T. rex. Unfortunately, another code name.

24 But we were spending resources on that as a
25 Plan C that if we wanted to scale around a truly
0138

1 independent platform, batch processing, this would do
2 that.

3 BY MS. WINKLER:

4 Q That -- that would require stacks of TSPUs?

5 A The one -- yes. One plan would require
6 stacks of TSPUs. The third one, the Tecan that I was
7 referring to, that doesn't. That -- that works like
8 the modified third-party machines except there's no
9 third-party machines, it's just a lot of our software
10 that's controlling the robots doing what the machine
11 would do inside the machine.

12 Q And that would have been theoretically
13 capable of a high throughput?

14 A Yeah. We tested it. We tested it. It was
15 capable of high throughput. We actually had a
16 couple -- a couple of assays that we pushed it all the
17 way to end on that to know that it was going to work.

18 BY MR. KOLHATKAR:

19 Q I'm going to hand you a document that's been
20 previously marked as Exhibit 215.

21 A Sorry.

22 MR. COOPERSMITH: Thank you.

23 BY MR. KOLHATKAR:

24 Q Just for the record, 215 (sic) is a document
25 Bates-stamped SEC-PRM-E 3430.

0139

1 Mr. Balwani, I'm not going to ask you to read
2 this entire thing.

3 A Thank you.

4 Q But generally, do you recognize what this is?

5 A I do.

6 Q What is it?

7 A This is the complaint -- or one of the
8 complaints filed by PFM and Partner Investments, L.P.,
9 against Theranos, Ms. Holmes, and myself.

10 MR. COOPERSMITH: So read -- read it
11 carefully because he may have some questions.

12 BY MR. KOLHATKAR:

13 Q And, you know, I think my question --

14 A Oh, sorry.

15 Q My question was even flawed before that. I
16 think I was referring to --

17 A I was just reading --

18 Q -- Exhibit 217, not 215 which you have in
19 front of you.

20 MR. COOPERSMITH: 217?

21 MR. KOLHATKAR: 217. Right.

22 THE WITNESS: Yeah. It looks like our
23 responses and objections to plaintiffs' first set of
24 interrogatories.

25 BY MR. KOLHATKAR:

0140

1 Q Do you know if you reviewed these at the time
2 they were -- at the time they were filed in the

3 lawsuit?

4 A I believe I did.

5 Q If you look at the page ending in 3465. 3464

6 is the interrogatory and 3465 is the -- the answer.

7 The interrogatory asks for the versions of

8 the TSPU that were -- that were used for --

9 A Uh-huh. Yes.

10 MR. COOPERSMITH: I'm sorry, what page are
11 you on?

12 MR. KOLHATKAR: 3464 and 3465.

13 THE WITNESS: There's a -- it's tagged 36.

14 36 is --

15 MR. COOPERSMITH: I can't read it. Yeah.

16 Okay.

17 MR. KOLHATKAR: Page 37 -- 36.

18 MR. COOPERSMITH: No, I can see it.

19 THE WITNESS: Page 36.

20 MR. COOPERSMITH: Okay. Great. Thank you.

21 BY MR. KOLHATKAR:

22 Q The interrogatory refers to what TSPUs were

23 used for -- for patient sample testing following

24 January 1, 2013.

25 Do you see that the answer there is that the

0141

1 3.5 was the one that was used?

2 A Yes.

3 Q Were you aware of any other TSPUs being used
4 for patient -- patient testing in the CLIA lab?

5 A Not at this point.

6 Q From 2013 to the present, was any other
7 version used -- or how about 2013 to the time you left
8 the company?

9 A I don't recall. I don't think so.

10 BY MS. CHAN:

11 Q Was it your understanding at the time in 2013
12 and 2014 that the 3.5 TSPU was being used for patient
13 testing?

14 A Yes.

15 BY MR. KOLHATKAR:

16 Q And did you understand in -- in 2013 and 2014
17 that the -- the other 4 series devices you mentioned
18 were not being used?

19 A Yes.

20 Q The -- the response also lists the -- the
21 tests that were -- that were run on the TSPU. Do you
22 see that? So it's on Page -- the page ending 3465 to
23 3466. There's a bullet point list of tests.

24 A Yeah, I see that.

25 Q Was it your understanding in -- in 2013 and

0142

1 2014 that -- that these were the tests that were

2 capable of being run on the 3.5 in the CLIA lab?

3 A No, that's incorrect. The capabilities went

4 way beyond this. I think this answer says what tests

5 were you running, if I'm not mistaken. I can read the
6 question again. "In our direct testing menu, the
7 Theranos TSPU --" These were the tests that we were
8 running in the CLIA lab at that point. The capability
9 of TSPU was significantly more.

10 Q Were there any other tests other than the
11 ones listed here that were, as you described earlier,
12 CLIA validated for use on the TSPU?

13 A You know, I don't remember the list. I think
14 we had 12, or 14, or 15 in that range tests validated
15 on 3.5. So -- eight, nine, ten, eleven, twelve. Yeah,
16 this seems right.

17 Q And you mentioned that the 3.5 was capable of
18 a lot more. Did you have an understanding why the 3.5
19 wasn't used for additional tests?

20 A Yes. It's a combination of decisions. One
21 is: You need to -- even if you have assays validated in
22 R&D, and we had many more, before you bring them to a
23 CLIA lab, you have to go through a process, the CLIA
24 lab validation process. And our plan was: Over time,
25 as we added more assays, that's the path we were going

0143

1 to follow.

2 The other reason is: Like I mentioned
3 earlier, there was a large number of other assays for
4 which we had implemented our technology on top of the
5 combination of Tecans and third-party modified machines
6 that allowed us to process samples in high throughput.
7 So that was the other reason why we focused on these
8 assays in 3.5s.

9 Q And maybe it makes sense here to talk about
10 sort of the different CLIA samples that Theranos was
11 processing.

12 Was Theranos, in the 2013 and on time frame,
13 processing samples in its CLIA lab both from finger
14 stick and from venous blood?

15 A Yes. And from urine and other matrices.

16 Q Sure. And I'm trying to focus on blood.

17 A Sure.

18 Q The -- is it true that finger stick samples
19 could either be run on the TSPU or modified
20 commercially available devices?

21 A And like I said, noncommercially available
22 devices like Tecan which are not designed for any assay
23 processing, but we can modify them, we can program them
24 to do whatever we want them to do. So in -- you are
25 broadly right. TSPUs, modified commercial devices, and

0144

1 some third-party devices.

2 So, for example, if HbA1c came, there was a
3 test which is available, we'd run a small sample on a
4 third-party machine. Like I said earlier, there were
5 some machines -- small machines that were in the CLIA
6 lab for workflow purposes. It was just more efficient

7 to put them there. So that's -- the finger sticks were
8 also being processed on those.

9 Q Okay. So would an example like a -- be akin
10 to, like, a glucose meter?

11 A Something like that.

12 Q So you could run a finger stick sample on
13 that?

14 A Correct.

15 Q Were venous draws ever used on TSPUs in the
16 CLIA lab?

17 A Yes.

18 Q For what tests?

19 A Probably for these tests, the ones that I
20 listed here.

21 Q Okay. So in other words, the fact that the
22 blood was drawn venously didn't mean -- didn't limit
23 the -- the analyzer that was -- the CLIA test was run
24 on?

25 A Yeah. It depended on the validation
0145

1 approach. If the assay was validated also for
2 venipuncture, then the answer is: Yes. There's also
3 another layer. It also depended on how the sample --
4 what was the sample type. So even when you collect
5 whole blood, you can do plasma or serum samples. So
6 again, if they were -- these assays were validated for
7 the right sample matrix, only then you can run them on
8 the TSPUs.

9 Q Did -- did Theranos use -- use venipunctured
10 blood on the modified commercially available devices?

11 A Yes. We validated those assays for
12 venipuncture also. Correct.

13 Q So -- so venipuncture could be used
14 essentially on all analyzers that Theranos had?

15 A Theoretically, yes, if the assays were
16 validated being the qualifier.

17 Q Do you know if Theranos validated the assays
18 on -- on all categories of devices?

19 A I don't recall that level of detail, but I
20 would think that most assays were validated would be my
21 guess, but I would not be a hundred percent sure. And
22 again, it's not just venipuncture. Like I said, it's
23 the right matrix -- sample matrix, serum or plasma.

24 So you can collect serum and plasma from
25 venipuncture, and then you could have some assay, my
0146

1 understanding is, validated from serum but not from
2 plasma and vice versa. So even though, technically
3 speaking, you can run venipuncture on a TSPU or a
4 modified device, but you wouldn't because the matrix is
5 wrong -- sample matrix is wrong.

6 Q I'm sorry, can you explain that to me one
7 more time.

8 A Sure. Sure. Speak slowly also.

9 When you draw blood from venipuncture, from
10 somebody's arm, it's not just called venipuncture
11 blood. Right? There are different tubes, if you
12 recall, maybe you guys haven't been to labs yet, but
13 you will. And if you go there, when they draw blood,
14 they use different types of tubes. Some are purple
15 top, green tops, tiger tops. They're different tubes.
16 And they have different purposes.

17 And -- and so they're not all the same.
18 Right? So you could draw blood from an arm and go into
19 a purple top and green top. And one has to be spun
20 down right away, centrifuged right away. The other one
21 has to be -- be sitting for 10 minutes and then spun
22 down. Some you don't spin down at all because you'll
23 destroy the blood. That's my high-level understanding.
24 Right?

25 And the assays running on machines are
0147

1 validated for different tubes. To simplify my answer,
2 sample matrices. Some tubes are serum, some are
3 plasma, some are something else. Right? So just
4 because an assay is said to be validated from
5 venipuncture on a device doesn't mean it can run serum
6 and plasma. Make sense?

7 Q Okay.

8 A It depends on how you collect it. And there
9 are technical reasons why you would use one device
10 versus another. In most cases, or many cases, many
11 devices are either only -- serum-only devices. Right?
12 And you -- if you put plasma on them, wrong result, and
13 vice versa.

14 Q Did Theranos ever disclose to Walgreens what
15 devices it was use -- using to run different test
16 types?

17 A No. We would never do that.

18 Q Why not?

19 A Well, there was -- there was a lot of trade
20 secret here. That's the one -- number one. Besides,
21 Walgreens was our distributor, not necessarily owned --
22 a company owner. Walgreens was also in the habit of,
23 let's say, copying other people's idea. If you just
24 walk down a Walgreens store two blocks from here, you
25 will see that there's Robitussin and, right next to it

0148

1 is Walgreens' Tussin. It even looks like Robitussin.

2 So they take a lot of products with high
3 volume, and sooner or later, they OEM it or private
4 label it. During our discussion with Walgreens, it was
5 absolutely clear they wish they could be doing the lab
6 themselves, and at some point we always thought they
7 would do a lab themselves. And they were just going to
8 be like a sponge and learn from us.

9 In 2010, 2011 when we were a tiny company,
10 Walgreens brought a lot of their executives to spend

11 time with myself and Elizabeth. And we would talk
12 about software and data, and they would be jotting
13 notes. And we were small, so we had to share the
14 details at that time, so -- but we knew they were going
15 to copy our stuff.

16 And over time as they started, you know,
17 building their iPhone app, it had a lot of my ideas in
18 there. So we were very cautious what we were going to
19 share with Walgreens, and we were absolutely not going
20 to share our trade secrets with Walgreens.

21 And to add to that, when we were doing the
22 contract negotiations with Walgreens, they had asked
23 access to the labs, and I said nonnegotiable. No.

24 Q What about Theranos's board, did you share
25 with the board the fact that Theranos was -- was only

0149

1 using the 3.5 for about 12 tests?

2 A No. I mean, I don't think we ever, in my
3 memory, we restricted our discussion to this test or
4 that device. I mean, the board walked through our lab.
5 They saw all the machines. These machines are pretty
6 big. They're like elephants. You can't hide them, so
7 they are there.

8 But our discussions with the board were very
9 frank, and we would provide them all the updates in our
10 board -- in our quarterly meetings.

11 Q Did you ever update them on the fact that
12 Theranos was -- had modified commercially available
13 analyzers?

14 A To the best of my recollection, yes, we told
15 them we have high throughput solutions in the lab. I
16 actually shared with them my -- our Siemens contract in
17 one board meeting. That we are a high-volume vendor
18 with Siemens. We have been given a high volume
19 discount. And that's because we buy so much reagent
20 from Siemens that we are a preferred vendor. And I had
21 picked -- I actually showed them the -- our price
22 sheet.

23 And I remember one example. There's a test
24 called CBC, complete blood count, and complete blood
25 count is one of the most commonly ordered tests. We

0150

1 used to sell it for 6 bucks, I think, on our website.
2 UCSF sells it for \$1,200. And we had negotiated the
3 price of that to \$0.18. And I thought it was worth
4 sharing with the board something we are selling for 6
5 bucks, we are paying \$0.18. For the reagents.
6 Obviously, there's other costs. That we are paying
7 \$0.18.

8 So I shared that with board, so I believe
9 they were fully aware.

10 Q Do you remember when that board meeting was?

11 A I don't remember a specific board meeting,
12 but I think -- sorry.

13 Q Just I mean in terms of what year or --

14 A Probably end of 2014 would be my guess
15 because that's when we got that high volume discount
16 from Siemens.

17 BY MS. CHAN:

18 Q You mentioned that you shared the Siemens
19 contract with the board and also told them about the
20 discounts that the company was receiving for reagents.
21 But do you remember telling the board that the company
22 was modifying commercially available machines for use
23 in patient testing?

24 A You know, it was -- the word "modified" was
25 not -- I didn't used to use that word that often. Ours

0151

1 was: We have high throughput solutions in the lab, is
2 what I used to use. Obviously, TSPUs is not high
3 throughput, but a single -- one sample at a time. That
4 we were doing batch processing and we have solutions in
5 the lab.

6 When we walked through the lab with the
7 board, I showed them the -- the Tecan device that I
8 earlier talked about, the high throughput sample
9 processing. This is where the CTNs come in. They go
10 into this tray. We put -- take all the CTNs and we run
11 it through that machine. So I walked them through the
12 workflow, so --

13 Q But you never told them that Theranos was
14 modifying commercially available machines for testing
15 purposes?

16 A The reason -- I mean, I think that
17 "modifying" is not necessarily the right word here.
18 The reason is: We are -- that we're doing a lot more
19 than modifications to the -- to the machine. We also
20 had the software outside the machine. We had the new
21 workflow. So I showed them the whole workflow, and --
22 and I don't remember if I told them, "By the way, this
23 is the protocol that we have modified this machine" or
24 "We modified the software in this machine" or not. You
25 know, the board was not necessarily interested in that

0152

1 level of detail. They never engaged with me at that
2 level of granular detail.

3 BY MR. KOLHATKAR:

4 Q Did you ever disclose to any investors or
5 prospective investors that Theranos was using
6 third-party commercially available devices in its CLIA
7 lab?

8 MR. COOPERSMITH: Do you mean modified or
9 unmodified?

10 BY MR. KOLHATKAR:

11 Q Why don't we start with unmodified.

12 A Can you repeat the question.

13 Q Sure. Did you ever disclose to any investors
14 or prospective investors that Theranos was using

15 third-party unmodified devices in its CLIA lab?

16 A You know, I don't recall any detailed
17 conversations because we were doing venipuncture, and
18 so I don't think that was a topic of discussion that
19 came up. But in my mind, it was implied that we had
20 because it was on our website that we do venipuncture.
21 I may have mentioned that we have contracts with
22 Siemens. Actually, you know what? I actually mentioned
23 several times to the investors that, you know, we have
24 devices from Siemens, from Abbot, from Roche, you know,
25 labs, and we know exactly how they work. So I did
0153

1 mention that we have those labs -- their machines.

2 Q I hear your answer at the beginning, you
3 mentioned that Theranos had the machines, but did you
4 ever tell any investor or prospective investor that
5 Theranos was using those machines for patient testing?

6 A You know, I don't recall any specific
7 conversation where we went into that level of detail.
8 But my recollection is that they knew that we were
9 doing venipuncture and we were using commercial
10 machines.

11 Q And I'll just ask -- it's the same as my
12 initial question, but for the modified devices. Did
13 you ever disclose to investors or prospective investors
14 you were using modified commercially available devices
15 in the CLIA lab?

16 A Our investors, the conversation that I used
17 to have with them was: "We have high throughput
18 solutions in the central lab model." So we -- because
19 the discussion used to often come around one sample
20 processing at a time. You know, these machines are
21 discrete machines. So we'd just say, "No, we also have
22 technology in the lab that does high throughput
23 processing." And I would do the math for them and say,
24 "We can do 240 samples per day in that high throughput
25 solution." I didn't name the devices by name because I
0154

1 considered that as our trade secret, and I wanted to
2 protect them that way.

3 Q Did you -- did you share information about
4 the TSPU with investors and prospective investors?

5 A What kind of information?

6 Q The fact that Theranos was using it for --

7 A Yes.

8 Q -- sample testing?

9 A Yes.

10 Q Was that considered a trade secret at the
11 time as well?

12 A Well, most of the time, we used to have TSPUs
13 in the room when we were talking to the investors. Not
14 always, but, you know, we used to have the TSPUs.

15 So the discussion was: "Are you using
16 TSPUs" -- I mean, first of all, I don't think any

17 investor said, "Are you using that machine or TSPUs?"
18 Our conversations with investors were very long term,
19 you know, vision conversations. We were always talking
20 about, you know, what the impact will be in the
21 emergency room, in ICU. We used to talk about the
22 scenarios, about Walgreens. You know, what does it
23 mean -- when a patient comes to Walgreens to get a test
24 done, goes to the doctor's office, what does it mean
25 for an insurance company? Well, you just reduced them

0155

1 a visit -- a physician's office visit. Because in one
2 visit, they have their lab results before they see the
3 patient.

4 So we used to talk about the conversations on
5 impact, long-term vision, what it would mean when the
6 device is in a home. I don't recall any investor who
7 said, "What machines are you using in the lab," or, you
8 know, showed any great interests into the operations of
9 the lab.

10 BY MS. CHAN:

11 Q Did you ever tell prospective investors or
12 existing investors that the TSPU was only validated
13 under CLIA to perform about 12 tests?

14 A I don't recall that conversation, no. I
15 think we used to talk about we have -- we were -- we
16 were clear about that we are -- we have 60 or 70 or 80,
17 depending on the time frame, how many tests we had from
18 finger stick. So we used to say, "Yeah, we have 60, 70
19 tests we used for finger stick using Theranos's
20 technology," but we didn't name device by device,
21 saying, "We have ten on this device, five on that
22 device, three on this device." No, we didn't. Well, I
23 didn't for sure.

24 Q Did you ever tell Walgreens that the TSPU was
25 only validated under CLIA to perform 12 tests?

0156

1 A We would not tell that to Walgreens period.
2 That was confidential information. No. I mean, in my
3 view, it was none of Walgreens' business. We were the
4 lab providing a service. Even if the Walgreens' guy
5 said, "Which commercial machines you are using," and
6 they knew we were using commercial machines because our
7 lab in Arizona was moderate complexity, I would not
8 tell them we had bought something from Siemens.

9 The reason is: Once you show somebody a
10 roadmap of what you're buying, they know you've done a
11 ton of research buying that thing. And it's a common
12 thing in IT. When you pick a vendor or when you pick a
13 hardware, you -- you know, you did a lot of research on
14 that before you bought it.

15 So to me, that information was just -- even
16 the commercial machines, who our vendors were, was
17 proprietary information I would not share with
18 Walgreens.

19 BY MR. KOLHATKAR:

20 Q You mentioned an answer a minute ago that you
21 were sort of open about the fact that the company used
22 venipuncture. Is it fair to say that in your mind,
23 that meant you were being open about use of other kinds
24 of analyzers?

25 A Yes. I mean, depending on which time. But
0157

1 once we were -- were in -- in Arizona, which people
2 knew was a moderate complexity lab, people in the
3 industry know moderate complexity means you can only
4 run FDA-cleared devices there. You cannot run LDTs
5 there period.

6 At that point -- I mean, the Walgreens guys
7 asked me what kind of lab it is. "Moderate
8 complexity."

9 "Oh, so you're only running commercial
10 devices there?"

11 "Yeah, so that we can process venipuncture
12 samples and return them right away."

13 THE REPORTER: Can you slow down, please.

14 THE WITNESS: Sorry. Do you want me to back
15 up?

16 THE REPORTER: No.

17 THE WITNESS: Okay. So that was, you know,
18 common knowledge in my mind.

19 BY MR. KOLHATKAR:

20 Q So how about before the -- the Arizona lab,
21 did you -- did you ever -- I guess, what about
22 venipuncture, in your mind, disclosed the fact that the
23 company was using commercially available machines?

24 A To who?

25 Q To investors or prospective investors.

0158

1 A I think the point about commercial machines
2 was clear in my mind. Because when we spoke, we said,
3 "Yeah. We use machines from other vendors. We also do
4 venipuncture." But I would say 99 percent of our
5 conversation was about the future applications of
6 software and technology.

7 So no investor ever dug into that by saying,
8 "Tell me which vendors," or "Let me understand
9 correctly. Are you using venipuncture here or there?"
10 In our mind, it was implied that, you know,
11 venipuncture, commercial devices.

12 And -- and many times, we would -- we would
13 share that. I mean, once, like I said, the moderate
14 complexity lab opened, then it was a no-brainer, in my
15 mind.

16 Q I guess I understood your testimony earlier
17 to suggest that you could use venipuncture blood on
18 a -- on a TSPU.

19 A For the right matrix, yes.

20 Q Under the right situation, if you validate

21 the right assay?

22 A Yes. Yes.

23 Q So -- so -- and I guess I'm trying to
24 understand why, in your mind, venipuncture implies
25 the -- the use of a commercially available analyzer.

0159

1 A The reason is the following: When we met
2 with investors, we used to, you know, obviously talk
3 about Phase 1 and Phase 2, the Normandy or D-Day, on
4 site and central -- centralized lab model in detail.

5 "What are we doing?"

6 "Well, right now, the samples are coming to
7 our central lab. We get venipuncture samples, we get
8 finger stick samples, urine samples, and we process
9 them in high throughput. We do batch processing" --

10 THE REPORTER: Slow down.

11 THE WITNESS: -- "because samples come on a
12 plane and they come in a batch."

13 So -- so we used to talk about that. And in
14 that context, we used to say, you know, "We have
15 machines from every vendor." So it was a five-second
16 conversation. Nobody paid any more attention to that.

17 What was the second part of your question?

18 BY MR. KOLHATKAR:

19 Q I don't know that there was a second part, I
20 guess.

21 A Sorry.

22 Q I'm just trying to understand why, if -- if
23 you could use venipuncture blood in theory on the TSPU,
24 why does the existence of venipuncture at Theranos
25 imply the -- the use of commercially available devices?

0160

1 A Yeah. I don't think it necessarily implies
2 that, but I think that the conversations we had with
3 investors, again, not Walgreens, I'm not talking
4 Walgreens, with investors was: "We have high
5 throughput solutions in the CLIA lab." Because we used
6 to talk about how we would scale TSPUs to process, you
7 know, 10,000, a hundred thousand samples coming in.

8 We said, "Oh, no, TSPU is not the only way.
9 We have other technologies that allow us to scale. And
10 we also buy product from commercial vendors."

11 And in some cases when we walked them around,
12 they would see those machines in the lab.

13 BY MS. CHAN:

14 Q Which investors would you tell that you had
15 these other machines that were performing testing for
16 Theranos?

17 A I think that was part of the general flow of
18 the conversation because when we were talking about "We
19 are in Phase 1. It's a centralized lab model. The
20 samples come here. We get venipuncture, urine
21 samples," you know, so in that context -- I actually
22 remember talking specifically about large volume

23 samples. We used to say, "When we get them, you know,
24 we just run them on commercial machines because there's
25 no point aliquoting, say, something like urine in a
0161

1 nanotainer and then running it on TSPU. There's no
2 value add."

3 So I would use those examples to illustrate
4 that.

5 BY MR. KOLHATKAR:

6 Q Who do you recall using that sample?

7 A You know, not any one. But I would say in
8 almost every conversation, when the conversation got
9 deep into the flow of the lab, I would use that
10 example. It's a common example that I usually used.

11 BY MS. WINKLER:

12 Q Did any of the material that you shared with
13 investors or prospective investors mention third-party
14 machines?

15 A I don't know. I'd have to see the materials.
16 I don't remember.

17 BY MS. CHAN:

18 Q So you mentioned that the TSPU was capable of
19 conducting more tests than the 12.

20 A Yes.

21 Q Why weren't more tests brought on to the TSPU
22 and validated under CLIA?

23 A Yeah. It was a matter of resources and
24 timing. As we added more tests to the menu, we were --
25 we also started doing more venipuncture. Because as we
0162

1 started getting more experience from the market, we
2 wanted to see -- we had a lot of theories before we
3 launched that what is going to be the most important
4 element of all the service to the people? Is it going
5 to be small volume? Finger stick? Is it going to be
6 price? Is it going to be transparency? Is it going to
7 be access? Convenience? So a lot of different things.

8 And we had month-long discussions with
9 Walgreens around those. Some people used to say, "Oh,
10 the most important thing is convenience for the
11 physician. Electronic integration." And some would
12 say, "No, the most is the hours." I personally believed
13 it was going to be the hours and the transparency of
14 the prices.

15 So we were learning as we went. And as we
16 gained more and more experience, that data point
17 started validating that yes, finger stick is a good
18 differentiator, but what most people care about in the
19 market is they're getting crushed under healthcare
20 bills. So the fact that we are giving them price
21 transparency and low prices, this is how we were able
22 to get into a physician's office in one call.

23 I mean, we would go there. Our price sheet
24 was our calling card. And when people said, "If you

25 can save my patients money and this means they will
0163

1 come back to me for a repeat visit, I'll send you
2 patients."

3 So we got a lot of feedback as we moved
4 along, and we learned that the number of tests that we
5 had already in place were sufficient. Earlier, I
6 mentioned that about 60 to 70 tests was actually not
7 sufficient, but it was a good start. But 60 to 70
8 tests covered about 60 to 70 percent of the visits.
9 There were more take -- given the geography. We were
10 going to add more tests to the menu depending on which
11 path we took in the market with Walgreens or a contract
12 with Walgreens. So we were going to add more, but this
13 learning and -- gave us a little bit more breathing
14 room to be able to say, "Let's focus on the long run."

15 For example, there was one team that was
16 focusing on certain chemistries, and we had a solution
17 for that now with the -- what we are referring to as
18 the modified commercial devices. And we said, "Okay.
19 Let's put the team on the longer term, on Phase 2.
20 Let's not even worry about Phase 1 for this team."

21 So those were the kind of decisions we were
22 making.

23 Q So you mentioned there was sort of a change
24 in the business strategy because you learned that
25 people were valuing other things more than finger

0164
1 stick?

2 A Yeah.

3 Q For the -- the 60 to 70 tests that you said
4 Theranos was offering, so were the remainder being done
5 on the modified commercially available machines?

6 A No. The remainder were commercial
7 venipuncture assays unmodified. Some may have been
8 running on modified, but in general, the remainder were
9 all on commercial venipuncture machines, like
10 nonmodified machines.

11 Q So then why use the TSPU at all? Why not
12 just phase that out and just concentrate the company's
13 efforts on using commercially available machines with,
14 you know, price transparency and speed?

15 A Yeah. And not use finger stick at all, you
16 mean?

17 Q And not use finger stick at all.

18 A Finger stick was still a good differentiator,
19 number one. Number two, finger stick was the long-term
20 path for the company because in the long term, we
21 wanted to be near the patient. That's the Holy Grail.
22 If you look at -- even though we were processing the 70
23 assays in the clinical lab, I would say, you know,
24 two-thirds of our R&D was focused on finger stick.
25 That was the long term for the company for -- and by

0165

1 "long term," I mean, you know, five years and beyond,
2 four years and beyond, maybe ten years. So the Phase 2
3 and the Walgreens.

4 You know, being able to be in NICUs, that was
5 near and dear to my heart. NICUs, the neonatal ICUs.
6 And some of the babies are born smaller than the palm
7 of a person, and they have no blood to give. And to be
8 able to do a finger prick or a small heel prick on them
9 and be able to run the test, it will save, you
10 know, I thought millions of lives.

11 So finger stick was still the central for the
12 long term for the company.

13 Q And you were still doing some finger stick
14 samples on the modified commercially available
15 analyzers --

16 A Correct.

17 Q -- right?

18 A Yes.

19 Q Okay. So then -- so why not just phase out
20 the TSPU in favor of a more high throughput situation,
21 which is the modified commercially available machines
22 that could run finger stick samples?

23 A That's a great question. The reason is the
24 following, and this is also part of the -- the reason
25 why we kept this under -- as our trade secret: Nobody
0166

1 knows what machines you can modify. You literally have
2 to go through one machine at a time to see if it is
3 modifiable. Right? It's not like a computer you just
4 buy and modify it and run your OS on this. We went
5 through a lot of research. We looked at a lot of
6 machines online and this and that.

7 And you pick a certain set of machines that
8 are modifiable. They're open. They fit your
9 specification. The detection systems are sophisticated
10 enough to be able to detect the lens or the signal from
11 the blood. And not many machines can do that. There
12 are very few machines that are modifiable and sensitive
13 enough to be able to run finger stick testing on.

14 And the tests that we were running here, the
15 immunoassays, this category actually is one where TSPU
16 really shines because we had a lot of experience there.
17 And we looked at other machines that could do it, but
18 they were not quite there yet.

19 MR. KOLHATKAR: Why don't we go off the
20 record at 12:19 p.m.

21 THE VIDEOGRAPHER: Off the record.

22 (Whereupon, at 12:19 p.m., a luncheon recess
23 was taken.)

24 A F T E R N O O N S E S S I O N

25 THE VIDEOGRAPHER: It is 1:11. We're

0167

1 rolling.

2 MR. KOLHATKAR: Back on the record at 1:11

3 p.m.

4 BY MR. KOLHATKAR:

5 Q Mr. Balwani, we didn't have any substantive
6 conversations during the break; is that correct?

7 A Correct.

8 Q Before we broke, we were talking a little bit
9 about -- I think you sort of mentioned that validation
10 has two different purposes. One was for the CLIA lab.
11 Can you remind me what the other one was.

12 A For our research and development. When you
13 take an assay through R&D, there are many validation
14 steps, and different teams refer to their task as
15 "Okay. I'm done with this assay" as a validation step.

16 So when a team is done with an assay on the
17 bench versus different places, they will call it a
18 validation input.

19 Q What was your understanding in the, I guess,
20 2013/2014 time period of -- of what the -- what the
21 difference was between the two in terms of actual steps
22 to be completed?

23 A I mean, there are significant differences.
24 CLIA lab has its own protocol and -- I mean, I don't
25 know exactly the details of every step in the CLIA lab

0168

1 or R&D. But I know in the CLIA lab -- they have to
2 follow a certain SOP in the CLIA lab to validate an
3 assay, including some patient samples they have to run
4 by CLIA lab personnel.

5 So there are things they have to do to
6 validate assay in the CLIA lab. And they have to bring
7 patient samples to make sure they cover a certain range
8 of samples. I mean, that's, like, my high-level
9 understanding that I know because they are a
10 significantly different process.

11 Q Who -- who at the company, I guess, would
12 know the difference between the two?

13 A There will be quite a few people. I mean,
14 (b)(6); (b)(7)(C) at Theranos would
15 definitely know. And assay by assay. There were other
16 individuals who were responsible for different assays
17 would also know. So people who are developing the
18 assay in the R&D team, the product development team,
19 they would know what is their protocol for validating
20 the assay. And they had a validation report that would
21 come out at the end of the process.

22 And then many of them over time had also
23 acquired the skills to know what a clear validation is
24 going to look like. So there will be many, many people
25 who would know.

0169

1 Q Who has the ability to sort of sign off and
2 say something was CLIA validated at Theranos?

3 A Only the CLIA lab director can do that.

4 BY MS. CHAN:

5 Q Who were the assay leads during the 2013/2014
6 time frame?

7 A So they, I think, changed over time because
8 as the team grew, people changed. But in the
9 immunoassay team, we had a person, her last name was
10 (b)(6); (b)(7)(C), first name was -- that was the first name.
11 Her last name was (b)(6); (b)(7)(C) I think. There was
12 another person whose name was (b)(6); (b)(7)(C) who was in the
13 general chemistry team.

14 Then we had -- the nucleic acid amplification
15 team I think had two or three people. There was a guy
16 called (b)(6); (b)(7)(C), but I don't know if he was the
17 lead or not, (b)(6); (b)(7)(C)

18 (b)(6); (b)(7)(C)
19 The hematology team had (b)(6); (b)(7)(C)
20 (b)(6); (b)(7)(C). But these roles were
21 evolving over time. Another person, his name was (b)(6);
22 (b)(6); (b)(7)(C) he was the lead for --

23 THE WITNESS: Should I spell those?

24 THE REPORTER: Yes, please.

25 THE WITNESS: Okay. Let me start from the

0170

1 start. (b)(6); (b)(7)(C)
2 (sic). (b)(6); (b)(7)(C). Then
3 (b)(6); (b)(7)(C) easy to
4 spell. And there's one more.

5 BY MS. CHAN:

6 Q (b)(6); (b)(7)(C)
7 A (b)(6); (b)(7)(C) yeah. That one I haven't spelled
8 for you. (b)(6); (b)(7)(C) and last
9 name is (b)(6); (b)(7)(C)

10 And let's see, there was another person, and
11 his name was (b)(6); (b)(7)(C) He was also deeply
12 involved in immunoassay, but I don't think he was a
13 team lead, but he used to provide a leadership role in
14 assay development. I've already mentioned (b)(6);
15 (b)(6); (b)(7)(C) in one of the teams.

16 Oh, (b)(6); (b)(7)(C) He was not an assay,
17 he was the team lead for the -- oh, he was involved in
18 a few things, (b)(6); (b)(7)(C) the team that
19 was creating the antibodies, the binders that I noted
20 earlier, and doing IP work that we -- we were building
21 this artificial life in-house. He was leading that
22 team.

23 Those are the few names that come to mind.
24 I'm sure there are others.

25 Q Was there a lead for the ELISA assays?

0171

1 A That's the immunoassay. That's the (b)(6); (b)(7)(C)
2 (b)(6); (b)(7)(C)

3 Q Okay.

4 BY MR. KOLHATKAR:

5 Q Are those two, immunoassays and ELISA, are
6 those synonyms or are they just closely related?

7 A They're closely related. My understanding,
8 and this could be inaccurate, is that ELISA is a type
9 of immunoassay. There's one method when we are doing
10 immunoassay, but there may be other ways of doing
11 immunoassay. I think that is reasonably accurate. But
12 I'm not a chemist, so that's my business level
13 understanding of chemistry.

14 Q I'm not either, so I'll never know the
15 difference.

16 A Well, I still want to be accurate, so --

17 Q And I appreciate that.

18 I'm going to hand you another document I'm
19 going to mark as Exhibit 237.

20 A Uh-huh.

21 (SEC Exhibit No. 237 was
22 marked for identification.)

23 BY MR. KOLHATKAR:

24 Q For the record, Exhibit 237 is a document
25 Bates-stamped TH-PFM001829250.

0172

1 Do you recognize Exhibit 237?

2 A I do.

3 Q What is it?

4 A This is an e-mail exchange between myself and
5 a few people in the software team, initially, I
6 think -- actually, all the software team. And then
7 towards the end, it seems like [REDACTED] shared
8 that e-mail with a few other people in the product
9 management team.

10 Q So who is [REDACTED]?

11 A [REDACTED] working for me in
12 the software team.

13 Q What was [REDACTED] role at this
14 time?

15 A Well, I mean, [REDACTED] in our company
16 were like Swiss Army knives, jack-of-all-trades. They
17 would do a little bit of a lot. [REDACTED] was -- his role
18 was to manage -- one of his roles was to manage the
19 LIS, the lab information system we were developing, the
20 training side of that.

21 And also the features, testing it, rolling
22 out, making sure people are using it properly. So he
23 was managing a software component.

24 Q If you turn to the middle -- or towards the
25 bottom of the page that's ending in 1829251, do you see

0173

1 an e-mail from you dated Monday, November 17, 2014, at
2 9:00 p.m.?

3 A I do.

4 Q And it says, "Please create a plan to mask
5 all of these names" --

6 A Yup.

7 Q -- "so only Normandy crew understands these"?

8 A Yes.

9 Q What were you asking (b)(6);
Ph. 7/1/01) to do here?

10 A Yes. Let me give you some background to
11 this. So this is -- again, as you pointed out, the date
12 is November 17, 2014. So at this point, we have been
13 in production in Walgreens and in general for more than
14 a year.

15 So we were making a change in our LIS system
16 that allowed us to surface a lot more information.
17 "Surface" means to present information to the users
18 about a sample. So as we look at -- look at a sample
19 to see who ran it, who picked it up, who touched it,
20 who draw it, this was a cool feature in an LIS to be
21 able to -- every information you need about that sample
22 will surface, will show up. You just need to click on
23 an icon and it will show you. You keep clicking and it
24 will show you more and more detail.

25 As part of that, this feature also told you

0174

1 exactly which device the sample ran on. Right? So --
2 and this LIS was used by all labs that we had and in
3 the future. So California and Arizona lab. Because
4 of -- one part of our Newark lab had our trade secrets,
5 which was the Normandy lab, those devices would also
6 show up in that list. So anybody in Arizona can click
7 and say, which device was their sample ran on, so
8 they'll be able to see it.

9 And I didn't want that to happen. And the
10 reason is, like I said, this -- these devices are one
11 of our trade secrets. First of all, the Arizona lab
12 had no need to even know about any device running in
13 California, not just Normandy devices, our finger stick
14 devices because the labs are separate, the licenses are
15 separate. Usually, Lab A and Lab B don't get to find
16 out, you know, what device is running. Now, in our
17 cases, we were a small company, so people obviously
18 knew.

19 So in this case, I was masking that trade
20 secret information from anybody who was not just
21 working in the Normandy lab, in the finger stick lab.

22 Q So does "Normandy crew" here refers to --
23 refer to people working in the Normandy lab in Newark?

24 A Yes.

25 Q And you mentioned that you wanted to mask the

0175

1 trade secrets from the -- the Phoenix lab members?

2 A Well, anybody who had access to the LIS.
3 That would include, for sure, the Phoenix lab members,
4 but even other people outside of the Normandy crew,
5 which is why I narrowed it down to Normandy crew, not
6 even the other people working in Newark.

7 Q So in other words, other people who were
8 working in Newark not in the CLIA lab space might
9 access LIS for --

10 A For various reasons. Even they would not be

11 able to see it. But actually, there's another point,
12 which is: Even people working in the CLIA lab who are
13 working in other rooms, not Normandy rooms -- so in
14 Newark, we had four or five different rooms. Those
15 people had no business going to the Normandy room and
16 vice versa. Even those people would not be able to see
17 which devices we were using in the Normandy lab and --
18 which is why it's restricted to Normandy crew.

19 Q So which -- which devices were you trying
20 to -- to mask here?

21 A Well, here, as you can see, the list says --
22 I actually -- I think I read it here somewhere. I'm
23 talking about the third-party -- what you referred to
24 as the modified devices, those are the ones that I'm
25 masking.

0176

1 Q Was one of the third-party devices the -- a
2 modified Advia 1800?

3 A Yes.

4 Q And that -- that's what gets assigned the
5 code name 18C?

6 A Yes. I would have picked a better code name,
7 but yes.

8 Q It looks like he was trying to make a joke
9 about these code names.

10 A Yeah. You know, I actually told him to
11 use -- because I have a fascination with the second
12 world war planes and B-52s and bombers. So I was going
13 to pick planes from the second world war, and people
14 told me nobody knows what they were, so I thought I'd
15 kind of settle with this.

16 Q The Advia 1800 was being used outside the
17 Normandy lab as well; is that right?

18 A Yes.

19 Q So -- so, I guess, why would it be important
20 to mask the use of the Advia 1800 in this instance when
21 it's, you know, openly being used and everyone can see
22 that it's being used in -- in other settings?

23 A The reason is: In this case, explicitly,
24 people would know that it is the Advia 1800 that had
25 ran a particular finger stick sample for a certain

0177

1 assay, and that would basically reveal that the finger
2 stick sample is running on that device.

3 So if -- if the Advia is sitting in our
4 Phoenix, Arizona, lab and you're running venipuncture
5 and somebody finds out what device are we using, Advia
6 1800, no problems. But the fact that we had modified
7 this workflow, and as part of that workflow and the
8 technology that we had created, we have Advia 1800 as a
9 component of that workflow will get revealed as part of
10 this, and I wanted to make sure that doesn't happen.

11 Q You don't mask the use of the TSPU; is that
12 correct?

13 A Yeah, that's right. Because --

14 Q Why not?

15 A Yeah. The reason is: TSPU was our device.

16 We had a ton of patents on that. Other people couldn't
17 just go and buy a TSPU and reverse engineer it to see
18 how the -- how it's being done. Advia 1800 was a
19 commercial device. Even the -- in general, even today,
20 the lab industry, it is not a common knowledge that you
21 can actually modify a commercial device to run finger
22 stick samples. This is considered to be a
23 near-impossible problem. We solved that problem, and
24 not only did we solve it, we solved it beautifully and
25 we could scale with that.

0178

1 So even pointing people in that direction,
2 they're saying, "By the way, Advia 1800 is possible to
3 modify it for finger stick," to me, was a huge loss.
4 We didn't want anybody to know that.

5 Q I guess I understand the concern to -- to try
6 and protect trade secrets, but these were your own
7 employees that you're -- that you're trying --

8 A Yeah.

9 Q -- to guard this information from; is that --
10 is that --

11 A Well --

12 Q -- right?

13 A Well, let me explain it more. First of all,
14 like I said, in general, even people in Arizona have no
15 need for -- to do their job, to know anything about the
16 Newark lab, in general. Forget about the Normandy or
17 the modified devices. However, in the case of trade
18 secrets, it's different. When you have filed a patent
19 like we -- we had patented a lot of Edison, the 3.0,
20 4.0, a ton of technologies, hundreds of patents, but
21 the modifications we had made in this workflow on Advia
22 and modified devices, we did not file patents. We
23 chose to keep them as trade secrets.

24 And there's a big difference. From what I
25 understood through my experience and having talked to

0179

1 the counsel, if you ever get into litigation around
2 trade secrets, you have to show that you took every
3 reasonable commercial effort to protect, to keep the
4 trade secret as a trade secret. Yes, even from your
5 own employees who have no need to know. You cannot
6 just put on the company's bulletin board that we're
7 using an 1800 or allow anybody to go and walk in and
8 see that we're using 1800 modified to be able to do
9 XYZ, whatever. Your case about that being a trade
10 secret weakens deeply is my understanding, and I think
11 that's correct.

12 So that's the reason. Even from our own
13 employees. If you want to keep something as a trade
14 secret, protecting it from the employees was critical.

15 Now, this is not a unique thing to us. If you -- I
16 worked at Microsoft. There were a lot of buildings I
17 couldn't access because the people were working on
18 products that were confidential, not announced, and
19 they were -- I wasn't under an NDA at Microsoft. I was
20 one of the, you know, trusted employees. I couldn't
21 see what they were. This is not an unusual thing in
22 tech companies to keep things trade secret even from
23 your own employees.

24 Q Who was the Normandy crew that could access
25 this information?

0180

1 A There are actually quite a few people. These
2 were people whose jobs required them to go to this lab.
3 I mean, I would -- and there were also R&D people who
4 went there. There were also product development people
5 who went there. So if I were to guess, I would say
6 more than 50 people, but all of them clearly had a need
7 to go into Normandy.

8 And one more thing, in order to make sure
9 that that process around Normandy is -- is honored and
10 protected, anytime anyone needed access to Normandy,
11 the request came to me, and I would approve. And I
12 would usually talk to the lead saying, "Why does this
13 person need to go to Normandy?" And they would tell me
14 the reason. I would say, "Okay. Make sure they
15 understand this is trade secrets and you will" -- it
16 was a common communication.

17 And also, a lot of the software and the
18 documentation that are Normandy validation reports and
19 so on, they were all on a separate folder where we did
20 our best that only people who need access to that will
21 have access to that, and people who had no business
22 accessing that information wouldn't even see those
23 reports.

24 BY MS. CHAN:

25 Q Why didn't the company patent the method by

0181

1 which you were modifying the commercially available
2 machines?

3 A Yeah. I mean, I can explain to you my
4 understanding of the patent process. What happens
5 is -- and I've filed many patents under my own name. I
6 think, I don't know, 60 plus or whatever. It is --
7 when you file a patent, at some point it gets
8 published. Right? That means it's accessible, and
9 that's the whole point behind a patent. That you have
10 to describe your method in perfect detail so somebody
11 else can replicate it. That's how you get the patent.

12 So us educating the whole world that
13 something that the world thinks is impossible, or near
14 impossible, it's not really possible, and we are doing
15 it at the commercial scale would have meant that a lot
16 of other companies would have dabbled with it, and they

17 may have tweaked it here and there and started copying
18 what we were doing, certainly commercial vendors like
19 Siemens and Abbot and those guys who are not in this
20 business, we would just basically direct all of them to
21 go chase this business now.

22 And once you do this damage, it cannot be
23 done. It's like unringing the bell. Once the bell is
24 rung, you can't unring it. That was the reason.

25 Q Did Elizabeth Holmes know that the company
0182

1 had made a decision to not pursue the patent for that
2 method?

3 A Yeah. We had discussed that with our
4 attorneys together, and it -- it was -- my
5 understanding is that I think she had the knowledge of
6 that because we -- we talked about that a couple of
7 times.

8 The other thing is: In 2015, unfortunately
9 when the Wall Street Journal reporter reached out to us
10 and he shared with us that some employee had shared
11 with him what he thought was a trade secret, that we
12 are, you know, doing the modified devices and so on and
13 so forth, we met with our attorney, and we saw -- we
14 said, "This is ridiculous. Now somebody knows. What
15 should we do? We were trying to keep this a trade
16 secret."

17 And one of the first things we did was: We
18 tied up the patents because now that the secret is out,
19 you better patent it, like you said. So we filed --
20 and I believe we filed a lot of patents around this
21 technology at that point. Because we knew now it's a
22 matter of time, the -- even if the reporter doesn't
23 publish it, we knew through him that he had talked to
24 enough people in the industry and had pushed all of
25 them by saying, "Yeah, Theranos is doing this
0183

1 modification. They're just diluting the sample and
2 putting it in this machine and making it look like it's
3 a real sample," and those people knew which machine.
4 So it was already out, and we felt the damage was done
5 so we started filing patents.

6 Q So you started filing patents in 2015?

7 A Yes.

8 Q And was that description of how you were
9 modifying the machine, was that correct that you were
10 diluting samples and then just putting it on the
11 machine?

12 A No, it was completely wrong. It's not
13 possible to do that. That's like saying you can take a
14 gallon of gas and put ten gallons of water, and pour it
15 in the car and you will go ten times farther. No,
16 that's not what was happening. There was a lot of
17 scientific research behind it. We had modified the
18 software, like I said earlier on. There was a ton of

19 work behind that. You cannot just take a sample and
20 dilute it tenfold.

21 Now, there are actually tests for which it is
22 required to dilute a sample in a certain way. Dilution
23 actually is part of the laboratory. A large number of
24 samples, especially ELISA samples or immunoassays in
25 general, get diluted when they're run. And machines do
0184

1 it automatically, so most people don't get to see the
2 machine actually is diluting the sample because it's
3 closed.

4 So dilution is common in the industry. The
5 sources of this guy were -- from what we understood,
6 was junior employees who just saw the front-end part,
7 that samples are being diluted. They didn't have
8 access to the lab, and the IP, and the patents, and the
9 trade secrets to see there's a lot of modifications we
10 made in the engine. Right? Like this example of, you
11 know, one gallon of gasoline and ten gallons of water,
12 we made it work on an engine by making significant
13 changes to the controller, you know, making changes in
14 the engine. They didn't get to see that.

15 And when we approached the journal -- the
16 Wall Street Journal, we said we can -- they wanted us
17 to -- tell us what are the trade secrets. And that's
18 the problem. If you tell them, it's no longer a trade
19 secret, especially if they're in the media.

20 So we said, "If you sign an NDA, we will tell
21 you what they are and then you don't publish them." But
22 they didn't agree to it because they didn't want to
23 sign an NDA. They already had the information they
24 needed to print.

25 So I hope I answered your question.

0185

1 Q To your knowledge, does Theranos have the
2 patents for that modified method?

3 A I don't know. Patents usually take time,
4 sometimes, you know, years. But I know that we have
5 filed patents. And I thought they were very tight
6 patents. Clearly, to the best of our knowledge, even
7 today nobody else has been able to do what we did.
8 Even four years after we launched finger stick, I don't
9 know of any lab in the world that can claim that they
10 can do what we did. So I thought the patents were
11 good, but I don't know if they were granted yet.

12 BY MR. KOLHATKAR:

13 Q Before the break, you also mentioned that
14 from time to time, there would be tech demonstrations
15 at Theranos; is that fair?

16 A Uh-huh. Yes. Sorry.

17 Q No problem.

18 The -- would those take place at the -- at
19 the company's Palo Alto headquarters? Let me ask it
20 more correctly.

21 Where did the tech demonstrations take place?

22 A It depend on -- depended on the
23 demonstration. I would say the overwhelming majority,
24 greater than 90 percent or 80 percent, would be either
25 in the headquarters or it will be at a patient service
0186

1 center in Arizona or in Palo Alto. But obviously
2 because the -- if I was involved in those, right, or
3 if -- if Elizabeth was involved, then the headquarters
4 would be involved one way or another.

5 Q And would those -- would the patient
6 demonstrations track -- I shouldn't say "patient
7 demonstrations." Would the technology demonstrations
8 track differently in the -- Theranos's LIS than -- than
9 patient samples?

10 A It -- it depended, because we did a lot of
11 demonstrations over six years or seven years that I was
12 there. And I can tell you, in my opinion, unless the
13 patient actually went to a Walgreens store and got a
14 test there, most of the demonstrations were all
15 different because they were -- we were demonstrating
16 something completely different based on the audience or
17 whoever we were talking to.

18 So there was no one set script. However, as
19 a broader principle, if you're demonstrating finger
20 stick and the entire process as part of the CLIA lab,
21 you know, all the way to holding the CLIA lab results,
22 then, yes, it will go through the CLIA lab. Even if
23 it's a quote/unquote demonstration, it would still go
24 through the CLIA lab process.

25 However, anytime -- I would say most of the
0187

1 times that I was involved or Elizabeth was involved, we
2 were always demonstrating something about the future.
3 So in those cases, they would not be CLIA lab because
4 those tests are not being run in the CLIA lab.

5 Q And would it be possible for -- for an
6 individual to get a result from that test without it
7 going through the CLIA lab?

8 A Yes, absolutely. For the tech demonstration,
9 it would.

10 Q For tech demonstrations?

11 A Absolutely.

12 Q How would -- how would that work?

13 A Well, there -- like I said, over the span of
14 six years, there were many ways. Our software
15 ultimately got smarter and smarter where you can do
16 more and more automated in the software.

17 But let's go back all the way early days. In
18 2010 when there was no LIS, a lot of the software was
19 not there, the demonstration would happen, the results
20 would go to the server, somebody would actually print
21 it, and hand it out to the patient, and say, "Here's
22 your results," because we didn't have the full patient

23 flow -- workflow built yet. And sometimes we would
24 just cut and paste and put it in the e-mail and send
25 it. Right? So that was early days.

0188

1 As we got a little bit better and as we had
2 more people, then we created these Microsoft Word
3 reports, like templates. And then based on the tests
4 you ran, you just basically cut and paste just like
5 Microsoft, and you print it or PDF it, and you send it.

6 And then at some point, when we had our LIS
7 system, then it just became automatic. However, the
8 demo samples were marked either "demo doctor" or, you
9 know, "demo" something. There was some flag that said
10 this was a demo sample. And this way, you can go
11 through the whole process and even see the results on
12 your iPhone, but it still be a tech demo, technically
13 speaking.

14 But I'm just describing, like, the three or
15 four more common ones. I'm pretty sure there were,
16 like, dozens of variations here.

17 Q Yeah. I'm just trying to understand
18 conceptually, you know, how a report gets created in a
19 situation like that.

20 So is it fair -- is it a fair summary to say
21 that the lab director wouldn't necessarily have to
22 supervise the issuance of a report in that kind of tech
23 demonstration that you described?

24 A Yes. I would say, like I said earlier, if I
25 was involved in the meeting and if I'm doing the demo,

0189

1 chances are it's not a CLIA lab demo, it's a pure
2 technology, future capabilities demonstration. In this
3 case, a lab director would not be involved.

4 Q What's an example of a CLIA lab demo?

5 A Well, a CLIA lab demo is: If someone --
6 let's say you came in and said, "You know, I've heard
7 so many things about you guys. Can I get a finger
8 stick and see how everything feels? And I want to be
9 able to see the results on an iPhone."

10 And we will have an official phlebotomist in
11 California, it has to be a certified person, do a
12 finger prick on you, get a sample, scan it, and just as
13 if you came to Walgreens or one of our locations. As a
14 matter of fact, in our headquarters --

15 THE REPORTER: Slow down. Slow down.

16 THE WITNESS: Sorry.

17 THE REPORTER: As a matter of fact --

18 THE WITNESS: As a matter of fact, in our
19 headquarters, we had a small room set up as a patient
20 service center so you could kind of go through the
21 whole process like you would at Walgreens.

22 And then the sample would go to the CLIA lab,
23 as a sample would go to the CLIA lab. And when they
24 processed it, the results would go to the lab director

25 and the LIS system, and then they would be released to
0190

1 the patient or the doctor based on the law. Well, if
2 it's California, then a doctor has to be involved even
3 for -- if you want to see the whole CLIA lab
4 demonstrations. But as of 2013, fortunately, there's a
5 federal law that says patients have a right to their
6 results right away. And we loved that because that was
7 our mission.

8 So we built this cool smartphone app Android,
9 and iPhone, and actually Windows were bought that you
10 will get the results right away.

11 So that would be the demo if you want to see
12 the CLIA lab demo.

13 Q I guess, in that -- in that instance -- we'll
14 stay in California -- that person requesting a demo
15 would still need an order of some sort from a doctor,
16 or could they get -- could they come in without a
17 doctor's order?

18 A No. In California, legally you cannot get a
19 lab test done. What happened was: In our case, we had
20 a physician acting as a consultant with us. And our
21 lab director, if the lab director's involved, would be
22 his MD. Initially, it was -- actually, you know, later
23 it was. So the lab director can always write you a lab
24 order, of course.

25 Q So would -- and again this --
0191

1 A Actually, in that case, the lab test we will
2 perform on you will be benign. It will be, like, your
3 lipid profile. You're probably not going to be doing
4 your SDI panel with Theranos.

5 BY MS. CHAN:

6 Q Who was the physician consultant?

7 A There was -- actually, I don't remember the
8 name. And she was a consultant who would basically get
9 the results. And then every time in California when
10 that happened, if it was a CLIA lab demo, again, the
11 lab results would always go to that physician, and she
12 would send you a result. And in her case, she would
13 also add a commentary telling you, you know, about your
14 test.

15 But we only did, like, lipid and glucose
16 profiles with that. I don't remember the name of the
17 physician.

18 Q Was this (b)(6); (b)(7)(C)?

19 A Yes, that's the name.

20 BY MR. KOLHATKAR:

21 Q I'm handing you a document I'll mark as
22 Exhibit 238.

23 A Thanks.

24 Q And, I'm sorry, this should have come with
25 the attachment that I will provide as well.

0192

1 MR. COOPERSMITH: Is it part of 238 or --
2 MR. KOLHATKAR: It's the parent and child.

3 It should have been stapled as one.

4 MR. COOPERSMITH: Okay.

5 MR. KOLHATKAR: So why don't I just mark it
6 as 239 just to -- just for clarity of the record.

7 MR. COOPERSMITH: 238A.

8 MR. KOLHATKAR: 239. I've already referenced
9 it.

10 (SEC Exhibit Nos. 238 and 239
11 were marked for
12 identification.)

13 BY MR. KOLHATKAR:

14 Q So, for the record, Exhibit 238 is a document
15 Bates-stamped TS-1072845 and Exhibit 239 is the
16 attachments, which was produced natively.

17 Do you recognize Exhibit 238?

18 A I mean, it's an e-mail from one of our
19 product managers, [REDACTED] and I'm included, I think,
20 all the way at the top in this e-mail.

21 Q So you're not included in the earlier part of
22 the chain, but you're added --

23 A Yeah, it looks like it.

24 Q -- at the end?

25 A Yeah.

0193

1 Q Who is [REDACTED]?

2 A Where is the name?

3 Q Not on the document. I'm just asking aside
4 from this document, do you know who [REDACTED] is?

5 A It may be a chemist on our team. I don't
6 know. I don't know. It doesn't ring a bell.

7 Q Did Theranos conduct any demonstrations for
8 Memorial Sloan Kettering in 2013?

9 A I was not in the meeting, or at least I don't
10 recall.

11 Q You didn't attend -- to the best of your
12 recollection, you didn't attend a tech demonstration
13 for them?

14 A Yeah. To the best of my recollection, I did
15 not.

16 Q Do you recall ever meeting with the folks
17 from Memorial Sloan Kettering?

18 A Not me. I don't recall.

19 Q If you take a look at this e-mail, the -- the
20 one that actually includes you from [REDACTED]

21 A Uh-huh.

22 Q Do you see the line that says, "It looks like
23 there is some discrepancy between the two infectious
24 panel runs. Any thoughts on why this is the case?"

25 A Uh-huh.

0194

1 Q "Note that I grouped total HB," do you know
2 what HB is?

3 A Hemoglobin, I think.

4 Q -- "with a complete metabolic panel assays,
5 but please advise if it should be a different section.
6 If any other addresses need to be made, do let me
7 know."

8 Do you know who -- who is he asking the
9 question to here?

10 A It seems like it is addressed to (b)(6); (b)(7)(C)

11 (b)(6); (b)(7)(C)

12 Q Do you have any recollection of why you're
13 included on this e-mail chain?

14 A You know, people used to include me in,
15 unfortunately, too many e-mails. So I don't know why
16 he included me here because it doesn't look like I was
17 in the demo and I was not part of the earlier
18 conversation. So I don't know why he included me.

19 Q I'm going to hand you another document.

20 A Should I put this away?

21 Q Actually, I'm going to have them both next to
22 each other.

23 A Sure.

24 Q I'll do the same -- and I'll do the same
25 thing here where I've got 240 and 241.

0195

1 (SEC Exhibit Nos. 240 and 241
2 were marked for
3 identification.)

4 BY MR. KOLHATKAR:

5 Q So for the record, Exhibit 240 is a document
6 Bates-stamped TH-PFM0000147224. And 241 is the
7 attachment, which was produced at THPFM0000147237.

8 Have you had a chance to flip through Exhibit
9 240?

10 A I'm doing it right now. If I can just have a
11 few more seconds.

12 (The witness examined the document.)

13 Okay.

14 Q Do you recognize Exhibit 241 -- 240?

15 A I don't.

16 Q Does it appear to be an e-mail chain
17 addressed to you and others at Theranos?

18 A Yes. It has my name for sure.

19 Q So I want to turn to a message that begins
20 on -- in Exhibit 240, page ending 147230. It's a
21 message from Ms. Holmes dated June 1, 2013, at 7:17
22 a.m.

23 Do you see that?

24 A Uh-huh.

25 Q Sorry, is that a yes?

0196

1 A Yes. Sorry, yes.

2 Q The -- and the message says, "Discrepancy
3 will be a problem. We will need to see if we can
4 correct for it."

5 A Yes.

6 Q Were there ever instances in tech
7 demonstrations where there would be discrepancies
8 between runs of samples?

9 A It's an extremely common thing, not just in
10 tech demonstrations, but even clinical labs, for
11 samples to yield different results. As a matter of
12 fact, if I take your blood right now from the same
13 vial, if I ran the same test twice on the same device,
14 chances are, depending on the test, but most cases, it
15 will be a different answer.

16 Q At the time, what was your understanding of
17 the ways that it could be corrected for?

18 A Yeah. I can -- there is -- the word
19 "corrected for" is a term of art. It's used quite
20 often in set of six in mathematics and machine
21 learning. The most common way is: If you apply a
22 correction factor to a lab result -- and it's a common
23 thing that happens in clinical labs when you bring a
24 new assay on a device, you know, it's supposed to give
25 you an expected answer, and the device -- but the
0197

1 reagents' lots change over time, and over time their
2 value changes for a variety of reasons. And then the
3 software automatically applies a correction factor
4 based on the calibration that is done on the device to
5 correct the results the -- the device is going to spit
6 out.

7 So in most cases -- sorry for the long
8 answer, but in most cases, the correction applied is
9 either running more samples, correcting for the
10 reference range, and a few other things.

11 Q If you -- if you turn -- turn to the page
12 ending in 147227, there is two messages from (b)(6); (b)(7)(C)
13 The first of the bottom message dated June 1, 2013, at
14 12:26 says, "Yes, I trust the second run in PA. Over
15 90 percent of the people approximately 50 years of age
16 should test positive for mumps." And it goes on in the
17 message above at 4:13 p.m. to say, "Greater than 95
18 percent of women about 50 years of age in the U.S. test
19 positive for measles."

20 A I see that.

21 Q Was it your understanding that a normal way
22 to correct for results included looking at the -- the
23 sample provider's characteristics?

24 A Yeah. You always look at the -- the
25 patient's characteristics. Always. For example, if
0198

1 you get a very high testosterone result and the patient
2 is female, chances are the result has been thrown off.
3 So you always do.

4 As a matter of fact, in clinical labs, the
5 way samples are processed -- you know, the -- the
6 devices are agnostics. They don't know whose sample it

7 is. Right? And they will run a sample, and then it
8 goes to a lab information system, the LIS. In LIS is
9 where you have these rules applied like this. For
10 example, if the testosterone is greater than X and the
11 patient is female, either rerun it or flag it to the
12 director because chances are, it's wrong.

13 So it's a very common practice.

14 Q If you turn to the page ending 147225,
15 there's a message from Ms. Holmes dated June 1st, 2013,
16 at 3:29 p.m. It says, "Go ahead and prepare a final
17 report. I'll review in parallel."

18 A Uh-huh. Yes.

19 Q Who had the authority to release reports for
20 demonstrations?

21 A It was a team effort. This is -- if you look
22 at this, this is dated June 1st, 2013. This is deep in
23 the R&D mode here. So there were a lot of people who
24 either -- even the chemists who developed the assay can
25 make the final call saying, you know, "I have done this
0199

1 assay. The assay looks good. So I have a high
2 confidence the assay is good."

3 And then the person who ran the sample could
4 also make the call. And then somebody like (b)(6);
(b)(7)(C)
5 (b)(6);
(b)(7)(C) who would look at the patient demographics to say
6 does it make sense or not would -- could also make the
7 call. And, of course, Elizabeth Holmes would look at
8 the report also. So it's an R&D phase. A lot of
9 people could make the call.

10 Q If you take a look at the -- at Exhibit 241,
11 the -- the -- the sample results.

12 A Yeah.

13 Q What format is this -- is this in? Is this
14 in a --

15 A It says at the top, "tech demonstration."

16 Q Okay. And is this sort of the template that
17 you were describing earlier where data can be entered?

18 A Yeah. This could be one example of the
19 template. Yeah. It changed over time. But yes, this
20 would be one. It got better looking over time.

21 MR. KOLHATKAR: We've got to switch
22 videotapes. So we'll go off the record at 1:49 p.m.

23 THE VIDEOGRAPHER: End of Disk 2. Off
24 record.

25 (A brief recess was taken.)

0200

1 MR. KOLHATKAR: Back on the record at 1:58
2 p.m.

3 BY MR. KOLHATKAR:

4 Q Just to confirm, Mr. Balwani, you didn't have
5 any substantive conversations with the staff during the
6 break; is that correct?

7 A Yes, that's correct.

8 Q So I wanted to compare the two reports

9 briefly that you have in front of you, 239 and 241.

10 And if you look at the third page of 239 --

11 A Okay.

12 Q -- it looks like there's Infectious Disease
13 Panel Test 1 and Test 2.

14 Do you see that?

15 A Yes.

16 Q And on Test 1, it looks like mumps is
17 negative, and on Test 2, it's positive; right?

18 A Sorry, where are you? Oh, test panel, Test
19 Numbers 1 and 2.

20 Q Yeah.

21 (The witness examined the document.)

22 A Okay. I see that.

23 Q And -- and these are both listed as -- as
24 qualitative units?

25 A Correct.

0201

1 Q Did you have an understanding of what that
2 meant?

3 A Yes. Yes.

4 Q What does that mean?

5 A It's either positive or negative or -- or yes
6 or no. Qualitative is that. And quantitative is where
7 you actually get the digits.

8 Q The -- and if you could take a look now at
9 the test report at 241.

10 If you look at the first page there, the
11 mumps is listed as positive in qualitative.

12 Do you see that?

13 A I see that.

14 Q I guess for -- for -- for qualitative tests,
15 what would be the -- as you said, if you run the same
16 blood twice in the same machine, you might get two
17 different results.

18 A Yes.

19 Q It's a matter of the ranges.

20 For the -- for the -- for a qualitative test
21 like mumps where it's either positive or negative, what
22 would be the situations in which you, you know, know
23 which one of the two tests is correct and pick between
24 positive or negative like this?

25 A Well, it's different for R&D than the CLIA

0202

1 lab. I'm assuming you're asking me about R&D.

2 Q Sure. Yeah.

3 A R&D could be, you know, a hundred different
4 factors. It could be the confidence of the guy who ran
5 the test, it could be the confidence of the guy who
6 said, "You know, I used a different method," or "a
7 different reagent," or "a different reagent lot the
8 second time," you know. There are a lot of factors
9 that go into, you know, in R&D, well, how would you
10 pick the -- pick the answer.

11 There's also a protocol in CLIA that
12 sometimes R&D people follow, which is: If you get two
13 results which are different, you either pick the first
14 one or the second one unless it's a test that, you
15 know, like I said in this one, which is most likely, 95
16 percent are positive.

17 So -- so there are certain decision criteria
18 that go into that. My guess is, I mean, this is my
19 understanding, again, high-level understanding. So my
20 guess is: That's the kind of level of thinking that
21 would go into something like this.

22 Q What about in the CLIA context, if you got a
23 sample that you ran twice and you got, you know, two
24 different -- two different outcomes, how would that be
25 addressed in the CLIA context?

0203

1 A Well, first of all, in CLIA, the assay would
2 be way further along. Right? So an assay is not going
3 to go live in CLIA unless your confidence level is not
4 high -- not just high, but has been validated by the
5 lab director. So there's a day-and-night difference.

6 I just want to point out that in -- in like
7 a, you know, think about the iPhone 10 that Apple may
8 be working on. Things will work differently when it's
9 in the hands of Apple and R&D versus when it's in the
10 hands of the consumers because it has gone through a
11 certain process.

12 So the reason the processes in SOPs are
13 different in CLIA is because of that very significant
14 reason. In a CLIA lab, like I said, there are usually
15 SOPs that lab directors have put in place. Now, they
16 have a right to modify them anytime they want, but
17 usually, they'll say, "For these assays, if you run it
18 the first time and if it is positive, then run it again
19 to confirm it as positive."

20 For certain tests, there's actually protocols
21 defined by CDC and you have to follow those. Like HIV
22 and some of the more -- more dangerous -- or not --
23 more complicated infectious diseases, the protocol is
24 on the CDC's website. You just follow those.

25 And for different types of tests, the lab

0204

1 director might have a set of different rules. But then
2 that becomes part of the SOP. The CLIA lab gets
3 trained. If it is in the software, then it gets
4 implemented into the software also, and then our
5 software just automatically makes the decision on
6 behalf of the lab director.

7 Q Was that generally your understanding
8 throughout your time that Theranos had a -- had a CLIA
9 lab?

10 A Yeah. I mean, that's my understanding of
11 the -- of how things work in a CLIA lab, and I think
12 it's reasonably accurate that the lab director will

13 have those policies in place.

14 Because this instance of, you know, you're
15 running something once or twice, it's a common thing in
16 a CLIA lab. The clinical lab staff may run it twice
17 for, you know, different reasons. Sometimes, you know,
18 sample -- just to confirm a test before they -- before
19 they ship -- release the results. So there are a lot
20 of different pathways of why things would run twice in
21 the CLIA lab.

22 And the lab director usually, for every
23 assay, would have those policies in place, and assay by
24 assay in most cases.

25 Q And for -- in the CLIA lab context, again,

0205

1 would there -- would there ever be a reason where Ms.
2 Holmes would have the final say on whether to correct a
3 reference range or adjust a reference range?

4 A She would not have, in my opinion, the final
5 opinion on the CLIA lab. It is possible that sometimes
6 when you bring up tests in the CLIA lab, when you set
7 the reference ranges, you start with a narrow number.
8 I think the minimum is 20 for most assays. Sometimes
9 it's actually even five.

10 And basically you get five patients and you
11 run them, and you get some data. And then as you get
12 more and more patients, it gets broader and broader and
13 the reference range gets better and better.

14 So it is possible that -- theoretically, I'm
15 talking. I don't know whether she did that or not.
16 But theoretically that if you have set a reference
17 range and the results seem off or is on the borderline
18 or out, and the -- and the doctor or the patient has a
19 reason to believe that no, your results is challenged,
20 you know, take a look at it again, then you'll say,
21 "You know what? This one, we don't have enough
22 samples. Let's add more samples to see if the
23 reference range changes."

24 But again, in the CLIA lab, lab directors are
25 the ones who make that decision. Ms. Holmes could

0206

1 probably make a recommendation that please try to do
2 that, and sometimes even I would make a suggestion
3 because I knew that for assays where a sample size
4 was -- sample population was less, you could make
5 things better. I mean, that's what the CLIA lab does.
6 This is why they have LDTs, the lab developed tests,
7 for that -- one of those reasons is that reason.

8 Q And, I guess, how would that -- how would
9 that adjusting the reference range fit in with the --
10 the SOPs that you described?

11 A Well, no, it would be the lab director who
12 would do that. It's not -- the SOPs will be at the
13 high level that if you have a reason to adjust the
14 reference range, and again, I'm not particularly

15 familiar with the exact SOP, but I am familiar enough
16 at the high level that the lab director would say, "If
17 there's a need to modify the reference range, here's
18 the process."

19 In the CLIA lab, there's always a process or
20 well -- a well-defined document that tells you to do
21 pretty much everything. And, you know, that's the --
22 that's the right approach.

23 Q Once -- once Theranos started modifying
24 commercially available machines, did -- did you ever
25 tell any recipients of -- of demonstrations that their
0207

1 tests would be run on commercially available devices?

2 A Well, these devices would not be commercially
3 available, first of all. The ones that we modified
4 that went through so many changes using our proprietary
5 technology, they are no longer commercially available
6 would be the first thing.

7 The second thing is the answer I gave you
8 earlier, no, we would not tell anybody because of the
9 trade secret point. Just pointing somebody that, you
10 know, by modifying the Toyota Prius's engine, you can
11 go 500 miles a gallon would be a big violation of a
12 trade secret, so we would not do that.

13 BY MS. CHAN:

14 Q So if you go back to Exhibit 239. So looking
15 back at the comparison of Tests Number 1 and Number 2,
16 and you look at the results of -- the measles and
17 rubella test results, you know, for Test Number 1,
18 measles is reported at 42 and rubella is at 10, and
19 then for Test Number 2, it says 139 for measles and 62
20 for rubella. Those seem like very different results.

21 In that kind of situation, you know, what --
22 what would be the procedure as -- to figure out which
23 of those two is more likely to be the accurate result?

24 MR. COOPERSMITH: And just to clarify, are we
25 talking about CLIA lab or R&D lab?

0208

1 BY MS. CHAN:

2 Q It is -- would it be different?

3 A It will be -- I was going to ask the same
4 question. It's very different for clinical lab.
5 Clinical lab is -- there's a clear SOP that says if you
6 ran the test the first time, right -- let's say the
7 first time, the result was 42, for whatever reason, you
8 decided to rerun it, it doesn't matter what the reason
9 is, the next time the result comes to 139. If you
10 don't have a reason to run it the third time, always
11 report either the first or the second. There's an SOP
12 for that. You cannot pick in a CLIA lab, like, "Hmm,
13 130 is better." There's no better is the big point
14 here.

15 Because if I test you twice right now, within
16 five minutes, there are some tests which will be

17 significantly different. Or if you just walk around
18 the block, your test -- some tests will be completely
19 different. So if there is a reason to rerun a test and
20 the results are different, there is no one that is
21 better than the other. They're just different.

22 And this is why in a CLIA lab, you will have
23 an SOP that says, you know, "Always report the first
24 one. If the second one gives you enough information to
25 give you confidence than the first one," now, this is

0209

1 my understanding and I think it is reasonable. That
2 there's an SOP that says, "Pick the first one."

3 A lab director has the right to override it,
4 of course. A lab director may even have a general
5 supervisor override it or a CLS override it. That
6 happens in the lab. But -- but that's the process.
7 But there's always an SOP for that.

8 Q And what about in the R&D context?

9 A Like I said, R&D, because things are being in
10 the research mode and the R&D mode, there is no SOP
11 because then that's not R&D anymore. Right? And so it
12 would depend on the chemist, on the scientist, on the
13 guys who are doing the number crunching. It may even
14 be -- it may depend on the software developers.

15 As a matter of fact, if you look at 240, all
16 the way at the end, the last page, the first people on
17 that e-mail is this lady, Sondia, and she was a
18 software QA person. So even -- somebody is reaching
19 out to her saying, "Hey, did you run the panel and how
20 did things work out? Did everything went okay?"

21 So there are a lot of people who can provide
22 input, and based on that, you have a certain confidence
23 that you report out the test.

24 Q So if you look at 241, then, you know, in
25 terms of the results for those two runs now, they've

0210

1 actually been changed. Instead of quantitative
2 results, they've been changed to qualitative, so that
3 measles is being reported at positive and rubella is
4 being reported as positive.

5 A Right.

6 Q Why was it appropriate to change a
7 quantitative result to a qualitative result?

8 A Yeah. That's a good question. The reason
9 is: When you run an assay for the -- even a qualitative
10 assay like HIV, for example, where you report out
11 positive or negative -- now, there are quantitative HIV
12 assays also. But for simplicity sake, let's say
13 there's a qual assay, a qualitative assay. When you
14 run it on a machine, the machine still gives you a
15 value. The machine doesn't say positive or negative
16 because it needs a threshold, right, to be able to say
17 above this is positive. Below this is negative.

18 HCG, for example, the pregnancy test has a

19 threshold. Above this, chances are you are pregnant.
20 Before that, you're not, less than that, you're not.
21 So there are -- there are thresholds for positives and
22 negatives, which means there is a quant value that the
23 machine is going to give you.

24 Then your software, based on the rules. In
25 this case, it was a qualitative assay or a quantitative
0211

1 assay, it will take the numbers, look at the threshold,
2 and determine whether you're positive or not --
3 negative.

4 So let's assume the threshold was a hundred,
5 for instance, and I don't know it was or not, is a
6 hundred. If your result was 105, it will be positive
7 or if it's less than a hundred, it's a negative. The
8 software will automatically do that. That rule is in
9 the software.

10 And as a matter of fact, I happen to know, I
11 think I'm right, that the MMRV panel, the measles,
12 mumps, rubella, varicella I think is the fourth one,
13 they're all qual assays. They are reported out as
14 qualitative values. They're not reported out as quant
15 in general. Even the CLIA lab are all qual assays.
16 Which is why they report it out as qual assays here --
17 qual assays here.

18 Does that make sense?

19 Q So did you have any concerns, though, that
20 the machine was generating results that were so
21 different even if it was maybe over a certain
22 threshold?

23 A Not necessarily. I mean, there are two
24 answers to that. First, like I said, this is R&D. In
25 R&D, if things are a little off initially, that's not
0212

1 to worry. And these results are tech demonstrations, so
2 you clearly tell the user "Do not use these for medical
3 decisionmaking." They're not supposed to, which is why
4 they're tech demonstrations.

5 But the other thing is: It is not an
6 uncommon thing for the devices in the lab, even
7 FDA-cleared devices, to spit out significantly
8 different results on two different runs. It happens
9 all the time in the lab.

10 As a matter of fact, if you draw your blood,
11 even in the same instance, if I take two tubes from
12 you, and let's say I ran 50 tests on this one and 50
13 this one, the chances of them matching all 50 of them
14 is probably close to zero. I mean, you might as well
15 play the Power Ball lottery. It's that low.

16 Q I'm wondering, considering that all three of
17 these tests has very different results, you know, one
18 was positive and the next one was negative, and then,
19 you know, rubella and measles gave pretty different
20 quantitative results, why wasn't the recommendation

21 just to get a redraw from the person who provided the
22 sample?

23 A First of all, because it's a demonstration,
24 the point was: We can run a test from finger stick
25 would be the -- my first answer. The second would be:

0213

1 Again, I was not in this demo, but whoever was
2 communicating with this person may have said, "Let's do
3 a redraw" if there was suspicions.

4 Now, there's a common protocol also, by the
5 way. If this was not a tech demonstration, if this
6 were CLIA, for instance, the lab director would have
7 said there -- actually, there's -- I'm going to
8 mispronounce this word, I think is the unequivocal
9 word, the --

10 MR. MCKAY: Unequivocal?

11 THE WITNESS: Equivocal. Yes. Sorry, I can
12 never pronounce it.

13 THE REPORTER: What? Can you say that again.

14 MR. MCKAY: Unequivocal is the word he's
15 saying.

16 THE WITNESS: Sorry.

17 And there's a term like that in the lab where
18 you have positive and negative, but you're not sure,
19 it's on the threshold, this is actually is an official
20 result you report out to the doctor saying, "We don't
21 know. Please do a redraw."

22 So in the CLIA lab, that -- that happens
23 quite a bit. But in R&D, that's obviously a
24 nonnecessity.

25 BY MR. KOLHATKAR:

0214

1 Q You can put those aside. Thank you.

2 A Okay. I was beginning to memorize these.

3 Q I'll hand you what has been previously marked
4 as Exhibit 215. For the record, 215 is a document
5 that's been previously marked as -- and is
6 Bates-stamped TS-0902539.

7 THE WITNESS: Okay.

8 BY MR. KOLHATKAR:

9 Q And do you recognize Exhibit 215?

10 A Yes. This is an e-mail conversation between
11 myself and several other people at Theranos, and
12 finally, the last one is between me and Ms. Holmes.

13 Q The -- I want to start with the e-mail that
14 sort of starts at the bottom of the first page and
15 carries on to the -- the next page. It looks like an

16 e-mail from [REDACTED]

17 [REDACTED]

18 Do you see that?

19 A Yes.

20 Q I guess, what positions did all these people
21 have as of this time in June 2013?

22 A Sorry, can you point it out again.

23 Q The one at the bottom of the page dated --
24 time-stamped 6:47 p.m.

25 A Yes, I see that.

0215

1 So (b)(6); (b)(7)(C)
2 (b)(6); (b)(7)(C)
3
4
5
6

7 Q What about (b)(6); (b)(7)(C) what was his
8 role?

9 A (b)(6); (b)(7)(C)
10 (b)(6); (b)(7)(C)

11 Q Same as (b)(6); (b)(7)(C)

12 A Yes. (b)(6); (b)(7)(C)

13 Q Okay. You mean, (b)(6); (b)(7)(C)
14 (b)(6); (b)(7)(C)?

15 A Correct. Yes.

16 Q The -- it looks like (b)(6); (b)(7)(C) -- the first
17 line after he says, "Hi, all," is: "For tomorrow's
18 demo, as listed below, we'd like" -- "we'd like to have
19 a miniLab and either a 4s or monobay with the Normandy
20 shell uploaded, whichever works better."

21 A Yes.

22 Q Do you know what he's referring to when he
23 says "miniLab" here in this context?

24 A It was a code name for one of the versions of
25 the machine, so I'm assuming he's referring to either

0216

1 4.0 or 4 dot -- or some variation of that.

2 Q Okay. Is this, you think, a 4 series?

3 A Yes.

4 Q What's your basis for thinking it's a 4
5 series?

6 A Because those are the machines we had. I
7 mean, if it was 3.X machines, he would call it out as
8 3.5 or 3.0. Basically, everything else was in the 4
9 series.

10 Q Okay. And do you know what he's referring to
11 when he says, "monobay"?

12 A Yeah. That's the blade that I had mentioned
13 to you earlier. That's what he's referring to.

14 Q What about "the Normandy shell uploaded,"
15 what is the Normandy shell?

16 A Yeah. That's a software program that I had
17 wroten -- I had written. And the easiest way to
18 explain that is: You know, you have an operating
19 system, and if you -- in an operating system if you go
20 to the command line, if you type, say, "command," it
21 shows you a DOS window. I don't know if you've ever
22 done that. But it's basically a way to interact with
23 the -- some people have done it. It's a way to
24 interact with the -- do you know what the word "DOS"

25 is? Yeah.

0217

1 Q Sorry, I'm not going to answer questions.

2 A Oh, sorry.

3 Q I don't mean to be rude. It's just --

4 A Oh, I'm sorry, I didn't know that.

5 Q I am familiar with it generally, but --

6 A Okay.

7 Q -- I'm just trying to understand what --

8 A Yeah, yeah, my apologies.

9 Q -- the shell is here.

10 A Basically, it's an equivalent of a command
11 line interface to the machine. And you can go and type
12 commands there, and the machine will do those for you.

13 So just like you have a user interface and
14 can click icons and beautiful icons you can flip
15 through this and that, or if you are a programmer who
16 just wants to say, "Get out of my way. I'm going to
17 talk to the machine directly," you just double-click on
18 the Normandy shell, and it pops up a window, and you
19 just directly -- directly type raw commands into the
20 shell, and the machine can do things for you.

21 Q Okay. So the shell doesn't refer to sort of
22 the -- the operating system for that -- that sort of
23 nice-looking operating system, for lack of a better
24 word?

25 A Well, it does have a nice UI. Just like Mac

0218

1 today has this app called Terminal, and it brings you
2 up, you know, a green or black window and you can do
3 Unix commands in it. It's like that. And actually,
4 maybe I should take a step back.

5 Our TSPUs, when I came to the company, I had
6 modified the design very significantly. I wrote a lot
7 of the initial code. And I had pushed -- our 3.0s used
8 to run Unix, and everything was Linux. I pushed that
9 down and I put Windows on top of it so programmers can
10 program everything easily. The UI is easy. We can do
11 Bluetooth connectivity with, you know, third-party
12 devices. We can print stuff. Everything you can do
13 from Windows. It was a version of Windows called
14 embedded systems, Embedded Windows (sic). And it had a
15 command line interface to be able to talk to Windows
16 and talk to the machine.

17 Q So what would be the use of having a -- that
18 sort of capability for a TSPU for a demo?

19 A Again, this is 2013, but even forever when
20 you are in the demonstration mode and you have a
21 machine that is an R&D machine, when you install it --
22 especially if it is my presentation, I wanted to make
23 sure everything is good. So the software developers
24 will stay as long as they have to so that even after
25 they have tested everything, they will come to the room

0219

1 where the demo is going to happen, and they will go to
2 the command line and run a whole bunch of commands to
3 make sure the machine is happy and healthy.

4 And shell allowed them to do everything
5 quickly versus going through, you know, clicking. And
6 normal people like clicking. Hyperlink is easier.
7 Programmers like to do command line. And hence the
8 word "shell" -- "Normandy shell." I wrote most of the
9 codes, which is why I know.

10 Q The next e-mail up says -- it's from Michael,
11 that programmer you mentioned; is that right?

12 A Yes.

13 Q And he says, "FYI, I've just finished getting
14 the Device OS installed with the Normandy app and
15 properly running the null protocol on Mobile Labs 4 and
16 8."

17 A Yes.

18 Q Do you see that?

19 A I do.

20 Q So "Device OS installed with the Normandy
21 app," what is that in reference to?

22 A Device OS is the Windows 7 embedded system
23 installed.

24 Q What's the "null protocol"?

25 A So that's another thing that I wrote. And

0220

1 it's -- the concept comes from software. In the world
2 of software, there's a concept of null, which refers to
3 nothingness, like -- like no instructions. Don't do
4 anything. And in databases, for example, if you have a
5 bank account and if you say somebody has \$0, that it
6 still implies that you know this person has \$0, but if
7 you don't know, you just say "null." It means I have no
8 idea. It's undefined.

9 So in the software world, this is a common
10 concept. I brought this concept to the world of
11 medical devices. Basically, what this protocol did
12 was -- so our device had this beautiful nine-inch
13 iPad-like interface. Literally a tablet, touchscreen,
14 you know. And it was cool because you could be wearing
15 gloves and still be able to touch it, which you cannot
16 do -- most of the devices, you can't do and -- because
17 the machine was going to run in the lab.

18 A lot of the times, we would do a
19 demonstration for people who would come visit us, and
20 we would show them the capability of the device beyond
21 just processing samples. Because our device had WiFi,
22 Bluetooth. We actually also had a prototype of our
23 device with a camera on top of it so you can do tele --
24 videoconferencing.

25 So the idea was to be able to demonstrate

0221

1 everything without having to run the blood test. So
2 you could still do the entire process. You collect the

3 sample, you put the cartridge -- now, in most cases, we
4 would not collect the sample. We'd just insert the
5 cartridge. But when you insert the cartridge, the
6 machine still has to initialize as if it's doing
7 something.

8 And -- but if you have no protocol, it's not
9 going to do anything. You just open the mouth, you put
10 in the cartridge like a VHS tape, like a DVD, and --
11 and it will just insert the tape and it will sit on it
12 and not do anything. But now, the screen basically
13 gives you the power to be able to collect more
14 information.

15 So one of the use cases would be -- was, for
16 example, it will allow, you know, assays. If you're
17 running Ebola, it's not enough to just collect the
18 sample, you want to collect more information from the
19 patient.

20 And usually what happens in the field is:
21 The lab is not involved because then somebody else is
22 going to collect on an app or on a piece of paper, and
23 the information can, you know, not get to the decision
24 maker. In our case, because we were running full-blown
25 Windows, literally Windows 7, you could tie it to a
0222

1 Bluetooth blood pressure monitor to monitor height, you
2 know, meters. But also on the iPad -- the -- the
3 touchscreen, we were running these iPad-like apps. So
4 what is your first name? What's your last name? We
5 can even take images of the people if we wanted to.
6 And we can ask them questions. Is there anybody else
7 in your home that's sick? Right? So a yes, no, and
8 basically triage the -- the -- the disease.

9 So there were -- and that's just one example.
10 There were a lot of apps that we had written that we
11 would run on the device without having to run the blood
12 test. And the point was --

13 THE WITNESS: Am I going too fast?

14 THE REPORTER: (Nodding.)

15 THE WITNESS: Yeah.

16 The point was to demonstrate the future
17 capability of the device when we start putting this in
18 the field even just in Walgreens stores. Because one
19 of the challenges in healthcare today is: The
20 information about the patient doesn't get to the
21 insurance companies. And by collecting blood, and
22 blood pressure, and other biometrics as part of one
23 electronic transaction seems like a simple thing, but
24 in healthcare, this is a huge thing. To be able to
25 deliver that information to the insurance company so
0223

1 they can do something about it in realtime was a big
2 deal.

3 So that's what null protocol demonstrated.

4 BY MR. KOLHATKAR:

5 Q So you mentioned the -- sort of what utility
6 the null protocol would have in demonstrations.

7 Was there ever an instance where you
8 collected a sample, inserted it to a TSPU using the
9 null protocol, but then actually tested the sample at a
10 later point, either in that TSPU or in another device?

11 A Well, no, not at a later point. If we, for
12 example, were demonstrating the capability of the
13 device and also that "Okay. We're going to run a
14 sample on you," the chances of those are two different
15 demos.

16 Because either we are doing, you know, a
17 broad panel of tests or whatever, you know, we were
18 trying to demonstrate, and we would run it in the CLIA
19 lab or in R&D, if we ran it on the machine, then you
20 would see it, and the machine will make noises. I
21 mean, you would be able to see that it's running on the
22 machine.

23 So, no. I mean, there would be no need, if
24 you are just demonstrating the null protocol, to be
25 able to also run the sample. Because if you're running

0224

1 the sample, then you're not running null protocol
2 anymore.

3 Does that make sense?

4 Q I think I -- I think I follow.

5 Did null protocol have any other name at the
6 company? Was it called the demo app?

7 A Yes. I mean, actually demo app was a broader
8 app than null protocol. Demo app would be, you know,
9 let me collect patient information also. Right? So
10 anything that you can do at a Walgreens store which
11 required currently a separate computer, you could put a
12 demo app, and there could be many different demo apps.
13 But, yes, that that would be -- but in all likelihood,
14 null protocol will be a subset of the demo app if
15 you're just doing a demo at a demonstration.

16 Q So I guess I'm trying to understand the
17 context of this message.

18 The next message up, it says, "Given ML
19 doesn't have SITO, what are we planning on running on
20 ML?"

21 And then (b)(6); (b)(7)(C) replies, "Right now, we
22 are not planning on running anything on ML,
23 unfortunately."

24 A Yes.

25 Q Does it appear -- does it appear as if for

0225

1 this demo, you're actually -- the goal is to actually
2 run a blood sample?

3 A In this case, it seems like we were going to
4 run a blood sample on one of the machines. Because if
5 you see, I was asking them to put different machines.
6 So if I was going to do a demo, I probably would have

7 picked a machine and run some blood sample.

8 Q Okay. So -- so what you're saying is: The
9 null protocol here in this chain refers to samples --
10 the -- the TSPUs that weren't going to be used to demo
11 the sample?

12 A That's right. But, however, there's also --
13 just because a machine can run null protocol doesn't
14 mean you cannot do anything else with it. So if you
15 load a null protocol in the machine, I could insert a
16 blank cartridge, and it will know "Ah, null protocol."
17 But if I insert a real cartridge in it, it would just
18 run that protocol. Because the machine can run
19 literally hundreds of thousands of protocols. There's
20 no limitation.

21 Q Okay. So in other words, the null protocol
22 wasn't something that would shut down the other
23 functionality?

24 A No, it won't. Yeah. Null protocol had --
25 you had to invoke the null protocol by either the demo

0226

1 app, or these decision support apps, questionnaire
2 apps, or whatever, you know, we call them different
3 names. But you will particularly trigger it by, you
4 know, picking some software function.

5 And then it will say -- or there were some
6 cartridges that were mapped for null protocols. So if
7 a cartridge has zero, zero, zero, zero, I'm just making
8 it up, for instance, then the system would say, "Ah,
9 that's null protocol. That means don't do anything."

10 Q It looks like at the end of this chain, you
11 forward it on to Ms. Holmes; is that right?

12 A Yes.

13 Q Did you ever discuss the null protocol with
14 Ms. Holmes?

15 A No. This is a deep software concept. And I
16 don't think most in the company besides the programmers
17 or the people who are working on it would refer to it
18 as null protocol. Everybody --

19 Q But --

20 A Sorry.

21 Q Please.

22 A Everybody else would probably call it the
23 demo app or "I'm not going to run the test. I will
24 only demo the app device," something more user
25 friendly.

0227

1 Q Was Ms. Holmes familiar with the process for
2 demonstrations where you could insert a cartridge and
3 not have a TSPU actually run the sample?

4 A I would say so, yes. I mean, she saw that
5 once or twice. I don't know if she remembers it.
6 Because a lot of times I wasn't the person in the room.
7 I mean, before we had actually null protocol -- the
8 reason I came -- I came up with null protocol is

9 because before this, anytime we had to demo, the
10 machine had to run something, and then I had to
11 volunteer, if nobody else did, to prick my own finger
12 and -- and run the samples. Because without that, the
13 machine won't proceed.

14 And so literally, I would -- I was like the
15 blood bank. You know, I was giving samples for every
16 demo. And so I said, "Well, I need another protocol so
17 I can run it without running a sample."

18 Q Was the null protocol ever created because in
19 that process of having to, you know, run actual samples
20 in the machine, the machine would come up sometimes
21 with error messages and the -- the sample would sort of
22 stall in place?

23 A That certainly is possible. In R&D, machines
24 will give you error messages all the time. And in this
25 example, like before 2013, like I said, you know, if I
0228

1 ran my sample and something went wrong, the machine
2 will stop. But that was no big deal. This is an R&D
3 machine. I mean, even the machine catches fire, who
4 cares? You just run another machine. I mean,
5 technology companies' demos fail all the time, so that
6 was not a concern for me.

7 Q You mentioned you had to prick yourself. I
8 mean, did you become proficient at -- at drawing for --

9 A Yeah, yeah. If you want to try it out, I can
10 do it for you.

11 Q In these demonstrations, would you be the
12 person who would draw the blood from the -- from the
13 recipient?

14 A No. If somebody else volunteered, then I
15 would have somebody more professional, like a
16 phlebotomist or at least somebody better-looking to do
17 the blood. But usually, I did that on myself. So,
18 yeah. I mean, when I was doing a test on myself, I
19 didn't need a phlebotomist. I could just do it myself.

20 BY MS. CHAN:

21 Q Looking back at Exhibit 215 in your e-mail --
22 sorry, in (b)(6); (b)(7)(C) e-mail to you at 10:39 p.m.

23 A Yes.

24 Q He says, "We're not running" -- "We're not
25 planning on running anything on the ML, unfortunately."

0229

1 And "ML" is main lab --

2 A Correct.

3 Q -- is that right?

4 A Yes.

5 Q And he goes on to say, "The general chemistry
6 in ELISA assays are not performing adequately for a
7 demo at the moment."

8 Was that consistent with your understanding
9 that the miniLab or the 4 series TSPU wasn't running
10 the general chemistry in ELISA or couldn't run the

11 general chemistry in ELISA assays as well?

12 A No. This is referring to -- like I said,
13 he's -- this is a specific code name for a specific
14 type of machine, not necessarily all 4 series devices.
15 And again, he's talking about it's not performing
16 adequately for a demo at the moment.

17 What happened was: A lot of times we'd have
18 machines come and go. So machines would come -- let's
19 say we had 30 machines, and somebody would think about
20 a good modification in either software, hardware, some
21 tweaking here and there.

22 And without me being informed or other people
23 being informed, most likely, (b)(6); (b)(7)(C) would just
24 take the machines and try to, you know, repair all of
25 them at the same time, and now we would have no

0230

1 machines left to demo or do anything with. So that's
2 what usually happens.

3 So when he's referring to his -- his "We're
4 not planning on running anything on ML, unfortunately.
5 The GC in ELISA assays are not" --

6 THE REPORTER: Can you slow down.

7 THE WITNESS: Sorry.

8 "The GC" --

9 THE REPORTER: We're not running anything on
10 ML --

11 THE WITNESS: " -- unfortunately. The
12 general chemistry in ELISA assays are not performing
13 adequately for a demo at the moment." He's talking
14 about just for that moment and most likely for one or
15 two devices that he had access to.

16 Sorry.

17 BY MS. CHAN:

18 Q And so when you're writing back to Ms. Holmes
19 and you're saying, "Very frustrating," you're
20 frustrated because the one or two miniLabs are -- are
21 not working properly?

22 A Yeah. It's frustrating because again, this
23 is 2013, so this is fairly early. We were still a
24 small company. I have always wanted a certain setup
25 ready for me to be able to demo anytime I wanted. And

0231

1 the R&D guys -- our R&D guys, they would take my
2 machines away. Sometimes they would come to my office
3 and take my machines away.

4 And -- and that used to frustrate me. I
5 actually used to literally hide machines in my office
6 and lock my office before I went home, but people would
7 still find a way to take them.

8 BY MR. KOLHATKAR:

9 Q Are you saying people would find their way
10 passed your locked door or just go in when you -- when
11 it was unlocked?

12 A Probably both.

13 Q Did you ever raise any concerns with people
14 entering your locked office?

15 A No. These -- these guys were working hard.
16 I didn't want to be any harder. I mean, they were
17 doing the right thing. It's just that sometimes I
18 would have preferred to keep my machines because I was
19 coding also. It was not a concern for me, it's just
20 that -- the frustration that we didn't have enough
21 people, enough processes, enough devices.

22 And most -- like I said, most of the times,
23 if I had a device in my office it means I'm working on
24 it, I'm writing code. Not, like, using it, but I have
25 the whole thing open and I'm writing code on it.

0232

1 Q I'm going to hand you another document that's
2 been previously marked as Exhibit 202.

3 Do -- do you recognize Exhibit 202?

4 A Yeah. This is an e-mail from (b)(6); (b)(7)(C)
5 to myself and Elizabeth.

6 Q And it -- and it looks like after (b)(6); (b)(7)(C)
7 e-mailed, Ms. Holmes responded; is that right?

8 A Yes.

9 Q And this is in the August 2013 time frame?

10 A Correct. Yes.

11 Q What was happening with Theranos's
12 relationship with Walgreens in the August 2013 time
13 frame?

14 A I mean, it was good. We were moving along
15 and marching for a launch at Walgreens in the fall,
16 September, October, November time frame.

17 Q And so, to your understanding, when did --
18 when did Walgreens sort of settle on -- Theranos and
19 Walgreens mutually agreed upon on a launch date?

20 A I think it happened during -- sometime during
21 2013. I don't remember exactly when. But it was -- in
22 2013, I think around March, we started doing some dry
23 runs in Arizona, and we had decided that when we are
24 ready, we will launch and pick a date. I don't
25 remember exactly when and how we picked the launch

0233

1 date.

2 And actually, if I may add, even when we did
3 quote/unquote launch in September, it was only at one
4 store and we were not seeing patients for -- for
5 another month or so. We were just inviting friends and
6 family to get the processes and work there sorted.

7 Q So what you're saying is that the September
8 9th launch was sort of a soft launch?

9 A Yeah. We used to actually call it "soft
10 launch."

11 Q And then at some point later in time, it
12 opened up for -- more broadly for more actual patient
13 testing?

14 A Correct. I think around November, we opened

15 more -- two more stores in Arizona, and that basically
16 became quote/unquote the launch. Now we had three
17 stores.

18 Q The -- (b)(6); (b)(7)(C) e-mail to you and Ms.
19 Holmes references a number of devices being played --
20 placed at -- it says, "The following devices are
21 planned to be in the demo interview room."

22 What room is that? Is that -- is that that
23 sort of mockup Walgreens space room that you described
24 or something else?

25 A No. This is a small room adjacent to our
0234

1 conference room. We had a large conference room like
2 this, and right next to the conference room, there was
3 another room door to a small room which we usually used
4 for interviews, but sometimes when the conference room
5 was full, we would overflow stuff in there.

6 And we -- we also used that as a break room,
7 just like you guys are giving us a break room there, so
8 if the visitors wanted to use the small room, they can
9 use that room.

10 Q And what's he -- what's he asking about what
11 he should set up?

12 A Let me see. "If you have any questions" --
13 (Witness speaking in sotto voice.)

14 THE REPORTER: Can you read to yourself,
15 please.

16 THE WITNESS: Oh, sorry.
17 (The witness examined the document.)

18 I think there's one question he's asking,
19 Question Number 5. Is that what you're referring to?

20 BY MR. KOLHATKAR:

21 Q Correct.

22 A We had an H1N1 demo app, I think, we had
23 loaded -- we had created that allowed us to -- the
24 example that I gave you where you're collecting
25 demographic data but not running anything, because
0235

1 you're not running H1N1 in your conference room. I
2 think this is what he's referring to.

3 Q I guess, can you -- can you walk me through
4 why it would be helpful to have so many different
5 versions of a -- of Theranos's processing units in a
6 room for a demonstration?

7 A Yeah. This -- this would be a fairly
8 typical, normal thing because most of the times when
9 Elizabeth and my -- myself were in a meeting, we were
10 talking about our future vision, our strategy. So we
11 would bring out, you know, the three or four devices
12 that we are currently working on and -- and show them,
13 "Look, these are the capabilities of this one. This is
14 4.0. It can do this, but it cannot do this. But here's
15 4.S. It will be able to do this. And then in the
16 future, you can do XYZ." And that would trigger a

17 discussion around, you know, what else should we have
18 in the device.

19 For example, this idea of the camera that I
20 talked about, it came from one such discussion. That
21 it would be useful if we can have a camera on the
22 device. I initially thought the camera would be useful
23 for the lab director to talk to somebody in the field,
24 like one -- at some point when we have a unit in the
25 field at Walgreens, if a phlebotomist has a question,

0236

1 they can just videoconference the lab director.

2 But other people who -- who visited us said,
3 "Why don't you think about telemedicine." Because
4 telemedicine was heating up in 2012 and '12. We were
5 already thinking about telemedicine. But to use the
6 camera on the device was -- as part of those
7 discussions, this came about.

8 Q I'm trying to understand Number 4 here, which
9 says that -- the sentence in -- it says, "Note that
10 this will not be able to run the null protocol due to
11 old pipette nozzles that fall once they initialize in
12 the protocol."

13 A Yup.

14 Q I guess, based on your description of what
15 the null protocol was designed to do, I'm trying to
16 understand how a pipette nozzle could -- could fail in
17 that --

18 A That's a good question. Yeah. So I'm going
19 to go a little bit more in detail in code on this one.

20 What happened was: I told you -- I mentioned
21 earlier that we had three different -- when I came to
22 the company, everything was running using Linux, and
23 then we had a third operating system in the device
24 called RTOS, realtime operating system.

25 So by this time, we had three different

0237

1 operating systems in the device. That means three
2 different groups of people were writing different apps.
3 Anytime you initialized the device, each group wanted
4 to do their own thing in the device to make sure the
5 device is okay. Right?

6 So the software guy at the -- the Windows
7 tier would, you know, make sure the cartridge is
8 properly aligned, and they would do a few things. The
9 tier below that, which is the embedded software system
10 I have referred to, in the code, they had their own
11 system check.

12 So when you -- before you opened the door or
13 you closed the door, they would send a command to the
14 whole system that's saying, "Check yourself. Make sure
15 everything is okay." And as part of that check, the
16 pipette would check itself.

17 Now, they're not supposed to do anything
18 again. But again, this is R&D, and we were still

19 writing code, and I was bringing -- the seamlessness of
20 the software still wasn't in place.

21 So what happened was: We would issue a reset
22 command. Every stack would reset itself, and some of
23 the old code that was responsible for resetting the
24 hardware pipette would also try to do something with
25 the hardware -- the pipette, and that's what is

0238

1 happening here.

2 And it would -- apparently in this case, what
3 I read is: The old pipette -- which makes sense. That
4 means it's the old code -- nozzles that once -- failed
5 once they initialized in the protocol. So that's what
6 is happening.

7 Q In connection with any demonstrations, did --
8 did you ever instruct anyone at Theranos to move a
9 large number of its TSPUs to the CLIA lab?

10 A The TSPUs were always in the CLIA lab -- or
11 for most of the times. So if we moved the TSPUs there,
12 it probably was to demonstrate something that we were
13 trying to get across rather than just draw pictures.

14 The TSPUs are really easy to move. You can
15 put 50 of them on this table and move them around. And
16 unlike other devices where if you move them from one
17 room -- commercial devices, if you move them from one
18 room to another room, you have to call the vendor and
19 they have to calibrate things, TSPUs -- we actually got
20 CLIA waiver on this thing. You can kick it and throw
21 it down the stairs, and they will chug along.

22 So if we moved them, it was easy to move
23 them. There was probably a purpose behind it.

24 Q I guess I'd understood your -- your testimony
25 earlier to suggest that the -- that there was sort --

0239

1 that there was sort of a space within the CLIA lab that
2 was designated for -- for TSPU use; right?

3 A Yes. Inside the Normandy room. Correct.

4 Q The Normandy room.

5 And the Normandy room didn't run any 4 series
6 devices; right?

7 A No, it did not.

8 Q And, in fact, no 4 series device was ever
9 used in the CLIA setting; right?

10 A Not in the CLIA setting, but I do believe 4
11 series devices were taken inside the Normandy lab for
12 R&D purposes. It's not exclusive use. Like I said
13 earlier, if you have anything -- we were doing product
14 development in the CLIA lab too, which is why R&D guys
15 were going there. But if you have anything in the CLIA
16 lab that is not being used for patient samples, you
17 just need to put a sign on it saying, "Not being used
18 for patient samples."

19 So yes, they were being -- as a matter of
20 fact, the FDA filing that we did for HSV-1 or even, I

21 think, for the Zika and other tests, the -- the 4.X
22 devices would be in the CLIA lab in some cases, yes.

23 Q Did you ever instruct anyone to put
24 additional 4.X devices for a Walgreens tour of the CLIA
25 lab?

0240

1 A I don't think I gave a Walgreens -- CLIA lab
2 tour to Walgreens. It may have been some other lab. I
3 doubt I gave a CLIA lab tour to Walgreens. Which time
4 frame was it?

5 Q I'm just asking broadly.

6 A Sorry, I'm not supposed to ask you questions.

7 I don't recall the tour. As a rule, I would
8 have not given a tour to Walgreens for the CLIA lab
9 unless it was very early in our process where the CLIA
10 lab was light. But even then, I don't think we took
11 them to the CLIA lab.

12 Q What is BDT Capital?

13 A It's a -- I actually don't know what their
14 full business is. But it's a financial -- our
15 relationship with them was: They were a consulting
16 company. We had hired them as financial consultants to
17 help me and Elizabeth with thinking through a few
18 things about the company's future.

19 Q At some point in time, did they also become a
20 potential investor of Theranos?

21 A They had a great interest in investing, and
22 they, you know, mentioned very large amounts. But we
23 didn't have any interest in them investing. We didn't
24 think they were strategic investors. But they had
25 mentioned 600 million or more as part of a deal. They

0241

1 wanted to do some kind of structured deal, and we had
2 no need to do that. But they had great interest in
3 investing, but we -- we had not, we didn't have any
4 interest.

5 Q So from your perspective, at any point in
6 time you -- in 2014, you didn't have any interest in --
7 in receiving funds from BDT Capital?

8 A No, I'm sure initially or at some point when
9 we engaged with them, we did have a conversation
10 with -- for them being potential investors, which is
11 why, you know, the whole conversation about -- the --
12 the ability to reach a decision that we don't want them
13 as investors. But I don't think that lasted for too
14 long.

15 And initially, I think right off the bat,
16 they were talking about structured deals and financial
17 instruments. And we were a conservative company. We
18 didn't want to necessarily do that.

19 Q Did you meet with representatives from BDT
20 in -- in the 2014 time period?

21 A Yes, I did. We had, like I said, engaged
22 them as consults. They helped me a lot with my -- my

23 business planning tool, the model that we talked about
24 earlier.

25 Q Who do you remember meeting with from BDT?

0242

1 A I think the -- the principal of BDT's name is
2 Byron Trott. I met with him two or three times. And
3 there were two other people from his company, or maybe
4 three, who had attended those meetings. I don't
5 remember their names, unfortunately. But I remember
6 meeting them. And it was their principals, all these
7 associates who would help me with the financial model.
8 We sat in a conference room, went through the financial
9 model, the assumptions, and them -- them advising me.

10 Q I'll hand you what's been previously marked
11 as Exhibit 203.

12 A Okay.

13 Q Do you recognize Exhibit 203?

14 A Yes. It's an e-mail exchange between I think
15 Christian Holmes, and myself, and Elizabeth Holmes.

16 Q Do you recall making arrangements for members
17 of the BDT team to receive a demonstration at a
18 Walgreens?

19 A I personally don't recall because I was not
20 involved with managing who is doing -- getting what
21 demos. But I was not personally involved. I don't
22 recall.

23 Q Who -- who would be managing who would be
24 getting the demos?

25 A I think if somebody wanted to get a

0243

1 demonstration, it may have come up in the meetings,
2 saying, "Hey, can we get a demo?"

3 And we would have said, "What do you want to
4 do?"

5 "Oh, we want to do finger stick" or whatever
6 they want to see.

7 And we'd say, "Yeah." (b)(6); (b)(7)(C)

8 (b)(6); (b)(7)(C) would usually be available
9 in the conference room for any follow-up action items.

10 And we'll say, "Okay. Work with them. They'll get it
11 done."

12 Q The -- for -- for demonstrations done at the
13 Walgreens setting, that -- that would be under the CLIA
14 framework; right?

15 A Yes.

16 Q And if someone -- if someone wanted a -- just
17 a demonstration of the finger stick technology, they
18 didn't necessarily need to go to Walgreens, right, they
19 could do that at -- at Theranos headquarters?

20 A Technically speaking, yes. Actually, let me
21 qualify my first answer. It is possible for somebody
22 to have asked for a demonstration of the process -- of
23 the Walgreens process, like how do you check in, what
24 does the patient go through. But it is possible that

25 on the back end, we would add on tests that were not in
0244

1 the CLIA, then it no -- no longer would be a CLIA
2 demonstration.

3 So we are still demonstrating to them our
4 workflow in Walgreens, what our setup looks like, how
5 our rooms look like, how our patient sample collection
6 process looks like. All of that -- most of that could
7 be done at Theranos headquarters, but obviously not all
8 of it. But it -- it wouldn't necessarily be CLIA. I
9 misspoke earlier. So I just want to correct that.

10 Q In California, was it your understanding that
11 you could add tests on to a -- a doctor's order and --
12 for -- for -- for someone trying to get a demonstration
13 in California?

14 A Yeah. For demos, as long as you're not going
15 through CLIA lab, no problems. My point I'm -- I was
16 making, just to clarify, is: There's a distinction
17 between the -- what you do through the lab and what
18 you're doing at a PSC or patient service center,
19 collection site. People can go to the patient --
20 collection site, and we can do clinical studies there,
21 we can do R&D samples there. That's not an issue.

22 The only point I was making is: If the
23 sample is collected for CLIA processing and it goes to
24 CLIA, then if you're going to add on a test, the
25 request has to come from an authorized party, like a
0245

1 physician. So physicians, of course, can add tests.

2 Q As a -- as a general rule, if a physician
3 requests the test, would that -- would that fall under
4 the CLIA framework?

5 A Yes.

6 Q So if you take a look at these --

7 A I'm sorry, unless either the physician or the
8 patient has overridden saying, "I have a lab order. I
9 want to do these tests, but, you know, but these are
10 the tests I'm interested in." But those may or may not
11 go to the physician. The -- the patient could override
12 dropping tests also.

13 Q I guess, just so I understand it, a patient
14 couldn't add tests to its doctor's order --

15 A For a CLIA --

16 Q -- for a CLIA lab run --

17 A Correct.

18 Q -- but it could drop tests for a CLIA lab
19 run?

20 A Yes. Yes.

21 Q And the distinction you made there was: If
22 they drop tests, it wouldn't go to the physician?

23 A No. That was a separate point.

24 Q Okay.

25 A If the -- in our case, for example, if

0246

1 somebody wants to just see the process, how it works,
2 then they can still bring a -- I mean, you can bring a
3 lab order from your physician and say, "I would like to
4 demo." And in that case, we can do all those tests, put
5 our physician's name on it, and the results would not
6 go to your doctor. Or if it is just a pure tech demo,
7 then we are just using the lab order just to transcribe
8 what tests you want to get done. But then neither our
9 doctor or your doctor is involved. It's just a pure
10 demo.

11 Make sense? Then -- but then in that case,
12 it's not CLIA anymore.

13 Q So take a look at the -- the workflow (b)(6); (b)(7)(C)
14 (b)(6); (b)(7)(C) is proposing here. Actually, why don't -- why
15 don't we start earlier in the chain.

16 It looks like it starts out with (b)(6); (b)(7)(C)
17 asking the -- the names of -- of the people
18 mentioned --

19 A Yes.

20 Q -- who would come -- who would visit WAG on
21 Saturday.

22 Did you understand that to be the WAG patient
23 service center?

24 A In Palo Alto, yes.

25 Q And then it looks like you follow up and you
0247

1 ask (b)(6); (b)(7)(C) to -- to send the list of names?

2 A Yes.

3 Q Who is (b)(6); (b)(7)(C)? (b)(6); (b)(7)(C)
4 (b)(6); (b)(7)(C)?

5 A (b)(6); (b)(7)(C)

6 Q (b)(6); (b)(7)(C) Sorry.

7 And then it looks like he -- he follows up
8 with -- he starts out by saying -- (b)(6); (b)(7)(C) starts
9 out by saying, "Also wanted to send along our thoughts
10 for how to accomplish the FS in the scenario their
11 order is prompt venous. Assumptions here from EAH are
12 that we must not do venous draw and we cannot tell them
13 that their order prompts venous if it does."

14 Do you see that?

15 A Yes.

16 Q What do you understand this to mean?

17 A Well, I think what (b)(6); (b)(7)(C) is trying to do
18 is -- he's already spoken with Elizabeth at this point
19 that they want the patient to only get finger stick,
20 and it's probably because they had already spoken that
21 they want to experience finger stick. In this case, I
22 mean, I would have described the process differently
23 than the way (b)(6); (b)(7)(C) has done here.

24 But the software takes care of a lot of
25 details automatically. A lot of details that he's

0248

1 putting here are automated in the software. He didn't
2 have to, you know, define what is happening in the

3 software.

4 But what he's saying here is -- my
5 understanding is that if a venipuncture happens, we
6 have already decided we are doing finger stick. Right?
7 That basically means one of the two things happen, at
8 least two things happen. One is: Either there's a
9 test that triggers venipuncture happened or the
10 combination of all those tests triggers venipuncture.
11 That's what happened. And he's --

12 Q Sorry to interrupt. From the order, right,
13 the order --

14 A Correct.

15 Q -- is the -- is the data point that -- that
16 triggers it one way or the other?

17 A Correct. Yes.

18 So what happened -- used to happen in our
19 case was: When you bring a lab order, we had built
20 this beautiful system where you will scan the lab
21 order, it goes to the Cloud, and the machine learning
22 algorithms will do a major analysis and try to
23 transcribe it so humans don't have to do it. And if it
24 is not accurate, then the human can click, click,
25 click, and override it.

0249

1 But in cases where we know that it's
2 triggering a venipuncture, there's nothing you can do.
3 The order goes back to the technician in the Walgreens,
4 and Walgreens will just basically say, "Sorry, it's
5 venipuncture." Right? It will not be able to override
6 and say, "I'm going to drop some tests. Tell me which
7 tests you want to drop." It was not part of the
8 workflow at this point yet.

9 Q So it looks like Scenario 1 --

10 A Yes.

11 Q -- involves Use Case A. And it says, "One
12 option, Use Case A." The bullet point below says,
13 "Remove tests that are not yet on FS and complete
14 transcription."

15 So is that basically suggesting drop some
16 tests from the order and then proceed with the finger
17 stick?

18 A Correct. And what he's trying to do is:
19 Basically try to automate this interaction that this
20 person would have had with the Walgreens technician.
21 The -- the net result of all of this is: This is what
22 he was trying to avoid, the interaction this person is
23 going to have with the Walgreens technician.

24 Because if this person says, "Hey, how come
25 you didn't do that test," Walgreens' techs usually were

0250

1 not trained, and they had no idea, by the way, what --
2 what was the answer. They will just say, "It's just
3 the way it is, or I can just do venipuncture."

4 By default, it will do venipuncture, but if

5 you want to override that, somebody on the back end had
6 to override that is what he was trying to do.

7 Q Okay.

8 A Yeah.

9 Q And it looks like -- he said, Use Case B as
10 sort of another scenario that's set up in order to --
11 to allow the test to proceed by finger stick.

12 Do you see that?

13 A Yes. Yes, I do.

14 Q And it looks like the negatives, the second
15 bullet point --

16 A Yes.

17 Q -- says, "If they notice missing tests on the
18 receipt, they may ask the WAG tech about it. Worst
19 case, they would make a call to CS --"

20 What's CS?

21 A Call center.

22 Q " -- and Anam would tell them everything is
23 fine."

24 A She's the -- one of the people in the call
25 center.

0251

1 Q "And CR will also be able to come out of the
2 draw room once checking is complete to welcome them
3 into the room and distract from looking at the
4 receipt."

5 A Yeah, this is really stupid. I -- I wish I
6 had read that at that point. And -- but I don't
7 condone this. What he was trying to do was: In order
8 to avoid the negative interaction that this guest was
9 going to have with the Walgreens technician, create
10 this so that the technician at least at Walgreens
11 doesn't have that interaction.

12 Now, it will be impossible for this patient
13 to not know which tests were not done. The reason is:
14 It's in the software. When you print a receipt, the
15 receipt shows what tests were done and what were not
16 done because even if you send the order to the
17 insurance company, that's the part that I think he's
18 missing is: They will be printed on the receipt.
19 There's no way around it. And the second thing is:
20 When you send the results back, if something is not
21 done, it's not there. You would be able to tell the
22 test was not done.

23 I think what he's trying to do here is avoid
24 this person having that interaction with the Walgreens
25 technician. Because this is the only thing you can

0252

1 accomplish here. Like I said, it's impossible to hide
2 from the patient what tests were not done or what were
3 done.

4 Now, I don't think this actually happened, by
5 the way. I didn't pay attention to this e-mail then,
6 but I don't think this was actually ever carried out,

7 to the best of my knowledge.

8 Q How do you know?

9 A I would have heard about it. I mean,
10 something like this where somebody is violating the
11 SOPs, I was always a stickler to that. That I would --
12 I would like to find out if something is happening.

13 Q I mean, a cynical person could read this
14 and -- and think that what (b)(6); (b)(7)(C) is trying to do
15 here is hide the fact that Theranos does venous
16 draws --

17 A Yeah, which is --

18 Q -- from the BDT folks here.

19 A Yeah, which is the stupid part because venous
20 draws are a known fact. I mean, a lot of our investors
21 actually did go to Walgreens, did get a venipuncture.
22 It's a common practice that we did. Actually, you
23 could call our call center and say, "This is my lab
24 order. Is it going to be finger stick or
25 venipuncture," and we will say, "It's going to be

0253

1 venipuncture."

2 So it's well-known common information. And
3 in this case, this person, which are not an investor, a
4 consult wouldn't already -- would find out that some
5 tests were dropped and --

6 Q If they read their sort of blood order in
7 detail; is that right?

8 A Yeah. In most of the times when you're doing
9 a demonstration, people did read it, and if there was
10 something is missing, people would say, "Something is
11 missing." But this is a poor way of trying to
12 accomplish that outcome, in my opinion, a very poor
13 way.

14 Q Did you participate in this conversation that
15 appears to be referenced between -- sort of per EAH --
16 assumptions here from EAH? You said earlier that you
17 imagined there were some conversation between (b)(6);
18 (b)(7)(C) and Ms. Holmes about this? (b)(7)(C)

19 A I mean, this is what it alludes to, that
20 somehow this information was requested that make sure
21 they get -- they get to experience finger stick. But I
22 unfortunately didn't read that e-mail back then. Like
23 I said, this was not necessarily a very important
24 customer for me, so I didn't necessarily pay attention.
25 I wish I had because I would have probably responded to

0254

1 this but. But yeah. But I was not part of the
2 conversation at that time.

3 Q All right. So you don't recall a
4 conversation where Ms. Holmes gave the instruction that
5 he alludes to here?

6 A Correct. No, I don't.

7 Q Did you ever hear Ms. Holmes give the
8 instruction that demonstrations -- certain

9 demonstration should only be conducted by finger stick?

10 A Yeah. I did that too. I mean, a lot of
11 times when the customer says, "I want to experience
12 your finger stick" at Walgreens -- and in some cases,
13 we would just create the order ourselves
14 electronically. So we would just go and create an order
15 saying, "Your order is ready. Just show up and you'll
16 be able to see it." But if the patient says, "No, no,
17 I'm going to bring my lab order," they would bring the
18 lab order. And I would say, "If you want to do finger
19 stick, then here's the process. These are the tests we
20 will not be able to do." And we will still set the
21 patient up this way.

22 But a lot of times people just went on their
23 own. I mean, that's the whole point of being in
24 Walgreens. There was a few people from PFM who also
25 went there, the hedge fund, and -- and got a test done.

0255

1 And there was actually one guy, he sent them an e-mail
2 saying, "I went there. It was venipuncture, but I got
3 stuck with the needle, and -- but the entire process
4 was really cool."

5 So people did that all the time.

6 Q Other than the PFM -- do you remember the
7 name of the PFM person who --

8 A Yeah. I think his name was Brian Healy, is
9 my -- is my recollection, but I could be wrong.

10 Q Do you remember any other investors or
11 prospective investors who sort of reported getting
12 venipuncture --

13 A I don't know --

14 Q -- from --

15 A -- but I -- I mean, first of all, it's in the
16 database, so I would not know. But I know there were
17 people who would go to Walgreens to get a lab test
18 done.

19 Q I guess if -- if someone is coming, you know,
20 just to experience the finger stick, and they're -- I'm
21 still trying to understand if the value of the
22 demonstration is the finger stick, what's the value in
23 going to Walgreens?

24 A To see the Walgreens process. That's the
25 point I was going to make is that it's not just finger

0256

1 stick. If it was just the finger stick, you can do it
2 right there at headquarters. Not an issue. In this
3 experience, clearly, this person wants to experience
4 the whole Walgreens experience.

5 Actually, in some cases, or many cases, we
6 used to insist that people go there because the space
7 there was really, really nice. We had built our space
8 the way we wanted a lab to look like, you know, with a
9 nice couch, with flowers, and a TV, and fish floating,
10 and calming people down with the calming Zen music.

11 So we -- we did want people to go and see a
12 completely different experience. And the other
13 obviously big value was software. Because once you go
14 there -- if you go to Quest Diagnostics, or LabCorp, or
15 other hospital labs, as I'm sure you already know, it
16 takes 30 minutes to an hour or sometimes multiple hours
17 to go through the whole process. In our case, we had
18 brought it down to two minutes to ten minutes or twelve
19 minutes. So if you were already in our system
20 electronically or if you use our mobile app, we could
21 get you in and out in two minutes. And it happened all
22 the time.

23 We actually had a scenario in which we could
24 get you in and out in 60 seconds. You could literally
25 park your car. Your iPhone would send us a signal that
0257

1 you're here, with your permission. The phlebotomist
2 would arrange everything. We'll put you in the front
3 of the queue because you already made an appointment.
4 You'll get in and get out.

5 So we could do that. So there was a
6 tremendous value in software to be able to show people
7 how good we are on the front end.

8 Q Do you know if people from BDT got results
9 from the demonstration tests?

10 A I actually don't know.

11 Q I'll hand you another document that I'll mark
12 as Exhibit 242.

13 MR. COOPERSMITH: At some point, maybe we
14 could take a break, but I don't want to disturb if
15 you're on the same topic.

16 MR. KOLHATKAR: Yeah. Maybe just one more
17 document then take a break.

18 MR. COOPERSMITH: Of course. Yeah.

19 BY MR. KOLHATKAR:

20 Q So I'm marking as Exhibit 242 a document
21 Bates-stamped TS-1031661.

22 (SEC Exhibit No. 242 was
23 marked for identification.)

24 BY MR. KOLHATKAR:

25 Q Do you recognize Exhibit 242?

0258

1 A Actually, if you'll give me one second, I can
2 read this real quick.

3 Actually, I'm not on this e-mail until the
4 very end. But it seems like an e-mail discussion
5 between a few product managers, and one person from the
6 call center, and then finally towards the top,
7 (b)(6); (b)(7)(C) sent this to me and Elizabeth.

8 Q And it looks when -- he sends it to you on --
9 is that Monday, October 13th at 8:55?

10 A Yes.

11 Q He says, "FYI, this is for the BDT individual
12 for whom we couldn't release CMP, but somehow was

13 released via the app" --

14 A Yup.

15 Q -- "working through this"?

16 A Yes.

17 Q And it refers to, you know, sort of the
18 normal process by which results would be made available
19 through a CLS; is that correct?

20 A Correct.

21 Q "CLS," what does that refer to?

22 A Clinical lab scientist.

23 Q Is that someone working in the CLIA lab?

24 A Yes.

25 Q So does this e-mail chain suggest to you that

0259

1 someone's results were released before they were
2 approved?

3 A No. What happened was: There was -- I
4 remember this. There was a bug in the software, and
5 the BDT guys were having a lot of trouble. As a matter
6 of fact, if you chase this chain down further, you'll
7 see somebody highlighted the bug, which we ultimately
8 fixed.

9 What happened was: When a CLS had -- either
10 released them or not -- or actually, had seen the
11 results, but there was another step in which before the
12 results were released to the iPhone user, the enduser,
13 somebody had to provide final oversight.

14 And in this case, when somebody in the lab
15 changed the status of a batch of results, even though
16 it was not released to the physician, it got released
17 to the patient. And that's what happened here. I
18 remember this.

19 Q So I guess the -- the results were
20 released --

21 A If you look at this one, there, I think, the
22 results were tagged for a redraw.

23 Q Right. So this is the CLIA -- CLIA setting;
24 right?

25 A Correct.

0260

1 Q And so a redraw means Theranos shouldn't
2 report a result --

3 A Correct.

4 Q -- but somehow a result was reported to --

5 A Correct.

6 Q -- to this individual?

7 A Correct. And because a redraw was requested
8 because we didn't have confidence in the results, as is
9 the case, this SOP, that we should have not -- these
10 results should not go out. What should go out is
11 invalid results or whatever the language CLIA uses with
12 a recommendation for a redraw recommended.

13 Q Do you know if Theranos followed up with this
14 individual from BDT to instruct them to get a redraw?

15 A I think so too. Yes, I believe so.
16 Actually, we were thinking about even flying somebody
17 to Chicago for a redraw if he wanted to.

18 Q Why would you do that?

19 A Because this guy is from Chicago.

20 Q No, I understand. But this is -- you
21 described this BDT as sort of an advisor. I guess, why
22 would it be so important?

23 A Because this is the CLIA process we were
24 following. This was not a technology demo from what I
25 remember. This actually went through the CLIA lab.

0261

1 And as a courtesy when you have a redraw recommended --
2 we didn't have a phlebotomist in the Chicago area at
3 that time. If they came back to our PSCs, no problems.
4 But we thought about should we even fly somebody there.
5 No, we didn't. I don't think we did.

6 But that's why I remember this because it
7 came to me -- my attention at some point. And because
8 this was also a software bug is why it kind of stuck in
9 my head.

10 BY MS. CHAN:

11 Q Did you ever notify this person from BDT that
12 the results -- that you weren't confident in the
13 results that had been released to him?

14 A My guess is yes. That was the normal CLIA
15 SOP. So that should have happened. That's my
16 expectation, is the follow on to the next -- in the
17 e-mail, this e-mail, I know the software did get fixed
18 because that was my responsibility, to chase down the
19 people who released the software in production. But as
20 part of the CLIA SOP would be that we are doing a --
21 requesting a redraw.

22 Q So you think he might have been apprised of
23 it, but you don't know what he was told?

24 A I don't know for sure. I don't remember.
25 But what I would say is: I'll be very surprised if it

0262

1 didn't happen. I would say we did correct it, I mean,
2 on his app, he would see redraw requested, and he would
3 be notified that there's a redraw requested. And the
4 results -- don't pay attention to the results. They
5 are inaccurate and a redraw is being requested.

6 BY MR. KOLHATKAR:

7 Q If he reopened the app?

8 A No -- well, if you reopen the app, you will
9 not see it. But I think our normal lab protocol was:
10 If the results went to his physician, then that would
11 have happened also.

12 Q Whose responsibility would it have been to --
13 to request the redraw?

14 A I mean, CLIA lab. Technically, CLIA lab
15 would do it. But in this case, because we had project
16 managers involved, my guess is: Some PM probably took

17 it upon himself to say, "I will make sure that
18 happens."

19 Q So that's -- that's sort of a related
20 question. What were -- what were the PMs' roles in the
21 CLIA lab space?

22 A Well, the CLIA lab space had a lot of people
23 in roles where you can be assisting. You don't have to
24 be necessarily processing samples. For example, the
25 people who work to check you into a CLIA lab, they have

0263

1 a certain role in the CLIA lab, people checking you in
2 at the PSC, at the patient service center. The PM's
3 job was facilitating communication, making things move
4 faster, making sure if the customer is somebody who was
5 in -- in a meeting that I attended or Elizabeth
6 attended, that they get their results correctly or in
7 time before I speak with the customers first.

8 So in the CLIA lab, a lot of the samples were
9 processed in batches, as I told you earlier, but if
10 there's a sample that I wanted processed right away,
11 they would also call the CLS or somebody in the lab
12 saying, "I need this sample processed right away." I
13 know the normal process is to wait for eight hours, but
14 of course you can process a sample in the CLIA lab
15 anytime you want. But the right person who was trained
16 and authorized can come and process this sample.

17 So this is kind of what they did besides a
18 thousand other things. But in the CLIA lab, this was
19 their main role.

20 MR. KOLHATKAR: Why don't we go off the
21 record at 3:08 p.m.

22 THE VIDEOGRAPHER: Off the record 3:08.

23 (A brief recess was taken.)

24 THE VIDEOGRAPHER: Rolling.

25 MR. KOLHATKAR: We're back on the record at

0264

1 3:21 p.m.

2 BY MR. KOLHATKAR:

3 Q Mr. Balwani, just to confirm, you didn't have
4 any substantive conversations with the staff during the
5 break; is that correct?

6 A That's correct.

7 Q I'm going to hand you a document that was
8 previously been marked as Exhibit 205.

9 A Okay.

10 Q Do you recognize Exhibit 205?

11 A I do.

12 Q What is it?

13 A It's an e-mail conversation between myself,
14 (b)(6); (b)(7)(C) and Elizabeth is
15 CC'd on some of this communication towards the end.

16 Q So it looks like on the next -- on the second
17 page, THPFM0000331113 --

18 A Uh-huh.

19 Q -- there's an e-mail from you on December 29,
20 2014, at 9:37 p.m. It says, "Run manually, but it
21 needs to be accurate"?

22 A Yes.

23 Q What are you instructing b(6);
b(7)(C) there?

24 A So -- and let me actually give you a
25 background on this one.

0265

1 We had a test called PT and PTT that was
2 originally available in the CLIA lab from finger stick.
3 And we removed that test at some point because there
4 was not enough volume, which is again a very common
5 thing to do in a CLIA lab. They're not like iPhone
6 apps, when you install, they can stay there forever.
7 If you have a test in the CLIA lab, then you have to QC
8 it, calibrate it. There's a lot of manual labor that
9 goes on maintaining a test live, quote/unquote, in the
10 CLIA lab.

11 So this test had low volume. Even though it
12 was finger stick, we removed it because the overhead of
13 maintaining this in the lab was too much.

14 So in this case, we are trying to -- I think
15 this -- this customer may have requested a PT and PTT
16 test. Now, we had it available in our company from
17 finger stick. So I asked the team to resurrect it in
18 the R&D environment and run it.

19 I think it has a comment here that somebody
20 says that, you know, trying to bring it on a -- the --
21 the Tecan device, the T. rex that I already mentioned,
22 will be more work. It will be easier, if it's just one
23 sample, to do it manually. Manually basically means
24 instead of using a robot to take -- carry out a lot of
25 the steps, a human can do it, which is again a common

0266

1 thing in a CLIA lab. And no big deal. And R&D for
2 sure. But it is our assay, our reagent that's being
3 used.

4 So in this case, we are using our reagents,
5 our chemist -- chemistry, our protocol to run this.
6 Instead of running it automatically, we are running it
7 manually, is what I'm saying.

8 So what I recommended here -- asked here is
9 saying, run it manually, but it has to be accurate
10 means make sure that -- in a CLIA lab, things are not
11 just accurate or inaccurate. There's accuracy
12 precision levels. So, you know, if a test in a CLIA
13 lab requires that you have to be within 10 percent CV,
14 coefficient of variation, that's acceptable in a CLIA
15 lab. But if it is 15 percent, it's off. You are,
16 like, more than, quote/unquote, accurate, according to
17 CLIA lab standards.

18 So what I'm saying here is: Yes, you can run
19 it manually. Obviously, I knew this was not in the
20 CLIA lab because I told them to run it even though it's

21 not in the CLIA lab, but make sure it's accurate as in
22 it fits into the CLIA lab CV.

23 Q So I guess I'm trying to understand that. So
24 if it's -- if it's not being run in the CLIA lab, why
25 would it be important to run it within the CLIA lab CV?

0267

1 A Because as a company, we had a lot of
2 technology that's not in the CLIA lab that we still
3 want to be able to demonstrate to people.

4 For example, there's a lot of software that
5 we had which was not in production yet, like the mobile
6 app that I talked about, that we used to demonstrate to
7 people a lot of the times, and even actually installed
8 them on people's devices before it was on the app
9 store.

10 So here, what we're saying is: No, we have
11 the capability to run this test. We just don't offer
12 it in the CLIA lab because it no longer, you know, had
13 the volume requirements, but we have the technology.

14 And I think I had -- saw here somewhere mark --
15 somebody talked to me and said, mark this as a
16 technology demonstration, which is correct because it's
17 no longer being run in the CLIA lab. It's a tech
18 demonstration. And -- and that's what we are saying.

19 So there's nothing wrong with showcasing or
20 even showing off our technology if you can do something
21 that others just cannot do.

22 Q So if you look at the e-mail right before the
23 one that I was just asking about --

24 A Uh-huh.

25 Q -- it's [b)(6); (b)(7)(C)] e-mail to you on December 29th,

0268

1 2014, at 7:54 p.m.?

2 A Uh-huh.

3 Q He references "A bit more complicated than
4 originally planned for [b)(6); (b)(7)(C)]"

5 Do you see that?

6 A Yes.

7 Q Do -- do you take that to mean that this is
8 for a demonstration for [b)(6); (b)(7)(C)]?

9 A Seems like it, yes. Because like I said PT
10 and PTT is not in CLIA. So I'm assuming you're asking
11 where there's a demonstration of CLIA or is it for [b)(6);
12 [b)(6); (b)(7)(C)] or not.

13 Q [b)(6); (b)(7)(C)]

14 A Yes, yes. It's from [b)(6); (b)(7)(C)] Correct.

15 Q The -- did you explain to [b)(6); (b)(7)(C)] that this
16 was going to be a -- an R&D test as opposed to a CLIA
17 test?

18 A Yes. If he got the results -- when we got
19 the results, it would say on the top "technology
20 demonstration."

21 Q I guess my question is a little different.
22 Did you tell him at any point in time that -- that

23 technology demonstration meant R&D lab versus CLIA lab?

24 A I personally didn't. But if it is a CLIA lab
25 report, it will say "CLIA lab report," and signed and
0269

1 sealed by the lab director. With a tech demonstration,
2 it will say "tech demonstration" clearly on top of the
3 report.

4 So he's a fairly educated guy. If he sees
5 something at the top that says "tech demonstration,"
6 that means tech demonstration.

7 Q The -- it looks like earlier -- or later in
8 the chain, this is the first page of Exhibit 205, there
9 are -- there are some discussion about reporting CL.

10 Do you see that?

11 A Yes.

12 Q What is CL?

13 A I think it's chloride.

14 Q And the question from (b)(6); (b)(7)(C) on
15 December 30, 2014, at 5:50 is: "Should we report with
16 CL pending redraw per usual protocol or better in this
17 to go another route?"

18 Do you see that?

19 A Yes.

20 Q And it looks like at the end of the day, Ms.
21 Holmes makes the decision "Okay. Don't include on
22 report."

23 Do you see that?

24 A Yes.

25 Q I guess, why was it appropriate in this
0270

1 case -- so if you want the, you want the test results
2 to be accurate on the CLIA range --

3 A Right.

4 Q -- why is it appropriate to drop results
5 and -- which would presumably not be following the CLIA
6 protocol?

7 A Even in -- actually, I think you showed me an
8 example earlier. Even in R&D, if we don't have
9 confidence in something, we will drop it. Because you
10 don't want to report wrong results to a patient period.
11 As much as possible, you want to apply and use the
12 protocols that the patients and the doctors are used to
13 seeing. If you get a typical lab result, if a lab
14 director or the person performing the test has a doubt
15 about the test, they don't just put the result and say,
16 "Oh, by the way, I'm not so sure about this." You just
17 don't report it just in case somebody relies on the
18 result incorrectly.

19 Because people will say, "You know what? I
20 don't want to get a redraw done. This seems good
21 enough." And may rely on it. So it's better and safer,
22 anytime you have a doubt, not to report something. And
23 that's a fairly well-understood and -- and followed
24 protocol in the lab industry in general. And the R&D

25 guys, to the extent possible, should be following it.

0271

1 At this time -- this is also 2014, end of
2 2014, so our processes have matured by this time. So
3 even the R&D guys -- actually, I think even the report,
4 if you look at the R&D reports, were mimicking what the
5 CLIA lab reports did. They're not like the rough
6 reports that we saw earlier. I think they were getting
7 better by this time.

8 Q I guess I'm still trying to understand. So
9 if you were trying to mimic the CLIA process as much as
10 possible, why is Ms. Holmes deciding what should and
11 should not be included on the report?

12 A Because this decision is not a lab director
13 decision. This is a technology demonstration. We
14 talked earlier who are the people who could make
15 decisions in R&D, and R&D does not have any SOP or
16 requirements that, you know, a person, a VP of R&D, has
17 to make a decision. Other people made decisions.

18 Now, there has to be a good reason to make a
19 decision, a good clinical reason to make a decision.
20 As you can tell by spending a lot of time with the CLIA
21 lab, I acquired a lot of knowledge of what the SOPs in
22 the CLIA lab are. So, you know, I could probably make
23 some decisions, but obviously in CLIA, I won't. But in
24 R&D, I would say I would be able to make them. So I
25 think it's the same thing you're seeing here.

0272

1 BY MS. CHAN:

2 Q But even if it wasn't a requirement for the
3 lab director to be making decisions on these technology
4 demonstrations, why not let the -- why not have the lab
5 director review? Because as you said, you want to make
6 sure that the results that are being sent to these
7 people are accurate.

8 A Yeah. I mean, I think that would be an
9 additional layer. That would be just a good idea. But
10 it was not a requirement. Honestly, we didn't think
11 about that, to necessarily include the lab director.
12 There are a lot of qualified people here who are
13 working. (b)(6); (b)(7)(C) brought a phenomenal
14 background in clinical chemistry.

15 So it's not like we had a lack of confidence
16 in the people involved in making a decision. It was
17 just adding one more person. And I think you can
18 extend the argument by saying if you have two lab
19 directors, maybe both of them should look at it.
20 Right? But it was that we already had enough qualified
21 people who I thought -- we thought were looking at
22 these results.

23 And (b)(6); (b)(7)(C) ultimately did become a lab
24 director in Arizona just two months later.

25 Q Why was (b)(6); (b)(7)(C) looking at this as

0273

1 opposed to (b)(6); (b)(7)(C)? You mentioned, you know,
2 why have two lab directors? You already had someone
3 who was doing it. But why not have (b)(6); (b)(7)(C)
4 since he is the lab director in Newark --

5 A Yeah.

6 Q -- reviewing these reports?

7 A Sure. One reason is because he's in Newark.
8 He's the lab director for the CLIA lab. He had God
9 knows more than enough work to do in the CLIA lab. So
10 pull lab people in R&D was something that I was not
11 fond of. That's one reason.

12 The other thing is: The R&D people could
13 move faster. If something needs to be troubleshooted,
14 they're there. They can pull up the computer or the
15 command, the shell and this and that. All of the
16 scripts were available to the R&D guys. We could just
17 go walk down to the R&D and literally talk to the QC
18 person saying, "Did the QC filler happen or not?"

19 So we knew the R&D landscape. (b)(6); (b)(7)(C)
20 was completely in the clinical lab. He didn't even
21 know the majority of the people in R&D, so things would
22 have slowed down if we had added another layer on top
23 of it.

24 Q What was (b)(6); (b)(7)(C) qualification to make
25 decisions on these lab results?

0274

1 A Well, he's a -- he's a pretty outstanding

2 guy. (b)(6); (b)(7)(C)

3 (b)(6); (b)(7)(C) he spent a lot of his time on clinical chemistry
4 and bioinformatics mapping the pathways in human
5 brains. I won't be able to describe his qualifications
6 in detail because he did a lot of R&D work around
7 genomics and path -- sorry, human pathway. He did
8 bioinformatics.

9 Then when he came to Theranos, he spent six
10 or seven years in clinical lab assay development. He
11 really understood a lot of the nuances of assay
12 development. He brought the right background. He was
13 already leading the team of people who were doing a ton
14 of data analysis for the CLIA lab.

15 So once you -- so for -- for assay
16 development, once you develop an assay, all of the raw
17 results have to go to somebody who can do analysis on
18 the data to see how the assay is performing, how the
19 R&D is working. So it requires a lot of machine
20 learning, a lot of software, and -- and algorithm
21 skills. (b)(6); (b)(7)(C) was responsible for that.
22 They did all the data analysis. He had, I think, 10 or
23 12 people on his team who were churning data.

24 So as part of the assay systems R&D, he
25 really got deep into the assay development process.

0275

1 And I think he's as qualified as anybody in that part
2 of the business -- in that part of the -- the

3 laboratory.

4 And then also once he got to the point by
5 this time that he also understood the CLIA lab SOPs
6 pretty well and once he took the Arizona lab, you know,
7 he just -- he -- I felt I sent the right guy for the
8 job. It is very rare and difficult, almost impossible,
9 to find people who have the clinical chemistry
10 understanding and also bring the data analysis and
11 machine learning background. It's a very unique skill
12 set that he brought.

13 And my hope was: Once he has managed the
14 Arizona lab, got more experience, he would have
15 ultimately been qualified to be a high complexity CLIA
16 lab director, and put him in a role where he can be
17 responsible for all of the labs.

18 You know, we actually were building on a
19 suite of applications that allowed us to apply
20 artificial intelligence on the CLIA lab data in
21 realtime. So if you saw a machine in Pennsylvania
22 drift a little bit, before even the CLIA lab knew, our
23 AI would know. Right? Nobody has applied machine
24 learning and artificial intelligence to a clinical lab,
25 in my knowledge, and I don't think anybody will for a
0276

1 long time. We were doing that. And b)(6); (b)(7)(C) had
2 just the perfect background for that.

3 Q So you mentioned that, you know, the right
4 thing to do in this situation with the chloride result
5 was just to remove it entirely from the report. Why
6 not just, you know, remove the result, keep chloride on
7 there, but say, "needs redraw"?

8 A Well, usually, when you do R&D samples, you
9 don't put "needs redraw" as a practice. I don't know
10 what -- what happened after this report, so obviously I
11 don't want to guess what was communicated to Trott and
12 what he knew. But in the R&D world, it's not odd to
13 remove a test that you couldn't perform in some cases.
14 So there's nothing -- there's nothing unusual about not
15 including a test you just couldn't do in R&D.

16 Q Based on -- what is that based on? What is
17 your understanding based on?

18 A Just talking to the R&D development people,
19 Daniel Young. You know, just listening to these guys.

20 Q So --

21 A Because you're -- and also just general
22 common sense that if you're trying to demonstrate to
23 somebody that I can do 14 things -- like Chem 14 is 14
24 tests. And when you send the results and there are 13,
25 then it's not Chem 14 anymore and you don't call it a
0277

1 complete metabolic panel.

2 So some other things are kind of obvious also
3 that if you're expecting 14 and you report 13, then one
4 didn't get performed.

5 BY MR. KOLHATKAR:

6 Q When you're asking for the results to be
7 accurate, were you aware whether or not (b)(6); (b)(7)(C) was
8 going to compare this test result with any other test
9 result?

10 A I don't know. Actually, it's not easy to do
11 that in -- in laboratories. You can't compare results
12 and say -- people make that mistake all the time, and
13 they will say, "My vitamin D at UCSF was 50 and at
14 Theranos, it was 40, so UCSF must be better." The labs
15 use different equipment, different reagent lots,
16 different a lot of things.

17 So like I said earlier, it's unlikely that he
18 can compare something and be able to reach a conclusion
19 if necessarily our PTs are right or wrong. Which is
20 why my emphasis was: Make sure we know for sure that
21 we have done everything right, which means make sure
22 you run the QC properly. Don't just take a shortcut
23 because it's an R&D sample.

24 Q I guess my question was just were you aware
25 whether or not he was planning on comparing these

0278

1 results with any others?

2 A I don't know. It wouldn't surprise me. I
3 mean, there were people who used to come to our
4 locations and compare it to other lab, you know. And
5 doctors told us that. I think it was printed in media
6 also. So it didn't surprise me at all. It wouldn't
7 surprise me. I don't know.

8 Q You -- okay.

9 A Yeah. But it wouldn't surprise me.

10 BY MS. CHAN:

11 Q You said earlier that the fact that the lab
12 report had "technology demonstration" at the top
13 wouldn't mean that it would have been run in an R&D
14 setting and not in the CLIA lab.

15 A It could have run in the CLIA lab also on
16 some machines. But the full purpose of the report was
17 demonstration, and in CLIA reports, you can rely on
18 them for medical decision-making. The -- you could run
19 some of the tests in CLIA lab equipment in the CLIA lab
20 facility, but we are still treating them in R&D.

21 For example, let's say I am the phlebotomist
22 who drew your lab test. Even if everything else
23 happened in the CLIA lab perfectly, according to SOP,
24 because I'm not certified to collect your sample, it
25 would be a tech demonstration.

0279

1 Q Okay. I'm just wondering, you know, (b)(6); (b)(7)(C)
2 (b)(6); (b)(7)(C) when he comes in to get his -- his test done --

3 A Right.

4 Q -- why would it be obvious to him that just
5 because his lab report says, you know, "technology
6 demonstration" at the top that it's being done at the

7 R&D lab and not the CLIA lab, and therefore, some of
8 the procedures or SOPs that would be in place at the
9 CLIA lab wouldn't apply to his reporting?

10 A I don't think the issue here is: If
11 something says "technology demonstration"; therefore,
12 must have run in R&D. The point here is, like I said
13 just a few minutes ago, you could have run the entire
14 thing in the CLIA lab, but you may have violated one
15 small SOP, for example, the guy who collected your
16 sample, it's no longer a CLIA sample.

17 So the implication here is not necessarily
18 that if it is technology demonstration; therefore, it's
19 not a CLIA lab, it's an R&D. The point is: Do not
20 rely on this for medical decision-making. Don't take
21 this to your doctor because doctors will see at the top
22 it's a tech demonstration.

23 Ask me the question again. Maybe I didn't
24 answer it correctly.

25 Q I think you said before that you -- that (b)(6);
0280 (b)(7)(C)

1 (b)(6);
(b)(7)(C) must have known or these -- these VIPs or, you
2 know, prospective investors, or investors must have
3 known that this was being processed in the R&D lab and
4 not the CLIA lab because the report said it was a
5 technology demonstration. I'm just trying to
6 understand why do you think that's obvious --

7 A Yeah.

8 Q -- to people?

9 MR. COOPERSMITH: Well, if you said that. I
10 mean, the record will speak for itself.

11 THE WITNESS: Yeah. I actually don't know if
12 those are my exact words, but I understand your
13 concept. I think the point I was trying to make and the
14 appointment I just made here is that if some -- some
15 report says "technology demonstration," that means
16 if -- not all of it was processed in the CLIA lab.

17 Now, it's not obvious to them which part was
18 processed in the CLIA lab and which was not. It
19 doesn't have to be. The most important thing is: This
20 is not a report from the CLIA lab. This says "tech
21 demonstration" at the top.

22 Now, that doesn't mean that somebody who
23 engaged with (b)(6); (b)(7)(C) didn't explain that to him. I'm
24 not suggesting that, that this was the only way we
25 communicating it to him. I just don't know. I'm just
0281

1 responding to what was -- what is in front of us, which
2 is a report which says "tech demonstration." And the
3 point of that is: This is for demonstration of
4 technology, not for CLIA lab purposes, and that's the
5 only difference.

6 Now, the CLIA -- the lab may have -- sorry,
7 the sample may have run in the CLIA lab. Everything
8 may have checked out except for maybe one minor thing,

9 and that would prompt it to say tech demonstration, not
10 CLIA.

11 BY MR. KOLHATKAR:

12 Q Did you do ever do anything for any of these
13 technology demonstrations to explain to the -- to the
14 people who were -- who were getting the demos that --
15 that their -- that their blood was a tech demonstration
16 as opposed to a CLIA sample?

17 A Yeah. I mean, in most cases when we met with
18 people, like I said earlier, we were not demonstrating
19 CLIA lab. So we would always start by saying we are --
20 "We will do a demo for you," right, or "We will show
21 you a future XYZ," or something that we wanted to show
22 to them. It could be a new CTN, it could be a new
23 process, new software.

24 People, when they came and met with myself or
25 Elizabeth, they didn't come here to say, see how we
0282

1 were doing in the Walgreens locations. They could just
2 go to a Walgreens location for that. When people came
3 and engaged with me, I mean, I actually never -- don't
4 recall any meeting in which I was going to say, "Oh,
5 I'm going to do exactly what CLIA lab would do on you
6 so you can see the process." It would just be better to
7 send them to Walgreens. So -- I'm sorry.

8 Q So -- so your general practice was to -- to
9 call it a demonstration --

10 A Yes.

11 Q -- is that fair?

12 A Yeah.

13 Q But not call out as say, "By the way, this
14 isn't going to be processed in our CLIA lab," or "isn't
15 going to be processed pursuant to CLIA SOP"?

16 A Yeah. I mean, I never got an inclination
17 from anybody -- any investor who they would -- they
18 would be able to -- they -- they would ask or care
19 about that detail. If it is a tech demo, it's a tech
20 demo. We are demonstrating technology.

21 Q What is Madrone Partners? You can put this
22 document aside.

23 A Oh, sorry.

24 Q Yeah.

25 A Actually, I think it is some investment firm.

0283

1 Actually, the name rings the bell, but I don't recall
2 the details.

3 Q Do you know who (b)(6); (b)(7)(C) is?

4 A Yeah. (b)(6); (b)(7)(C)

5 (b)(6); (b)(7)(C)

6 the investors in the company, but I don't remember
7 many -- many details of it. I think -- I don't recall
8 the details with him.

9 Q Do you know who (b)(6); (b)(7)(C) is?

10 A Yes, of course.

11 Q Who is (b)(6); (b)(7)(C)?

12 A He's one of the investors in the company,
13 and, of course, he is, I think, (b)(6); (b)(7)(C)

14 (b)(6); (b)(7)(C)

15 Q Do you -- do you know how (b)(6); (b)(7)(C) invested
16 in Theranos?

17 A I don't remember the name of the firm, but
18 (b)(6); (b)(7)(C) was the person who was the liaison between
19 us and (b)(6); (b)(7)(C) is what I remember.

20 Q And I guess when you recall (b)(6); (b)(7)(C)
21 liaising with Theranos, did you have an understanding
22 that, I guess, (b)(6); (b)(7)(C) capital would be what you'd
23 be liaising with? That he was the source of the
24 capital for --

25 A I didn't know by name that -- this person,

0284

1 but I knew that (b)(6); (b)(7)(C) was managing (b)(6); (b)(7)(C)
2 Investments in Silicone Valley. It's what I heard from
3 him, actually, when I met with him.

4 Q Do you recall an instance where (b)(6); (b)(7)(C)
5 came in for a blood draw at a Walgreens store in
6 Arizona?

7 A You know, I remember. It was obviously an
8 important occasion because, I mean, you know, a Walmart
9 guy going to Walgreens was special. So I do remember
10 it for that reason. But I don't remember the exact
11 details of that.

12 Q I guess -- I think I know where you're going,
13 but can you just explain why it was special for that
14 reason.

15 A Because they compete with each other, and I'm
16 pretty sure (b)(6); (b)(7)(C) wouldn't walk into a Walgreens
17 store if they didn't have to would be my guess.

18 Q Do you recall what the purpose of his visit
19 was when he was -- when he was going into that
20 Walgreens store in Arizona?

21 A If I recall, I think he wanted to see our
22 process. But again, I don't recall the exact details
23 of the visit because I don't think I was involved with
24 the details of it. But I remember, I think he had gone
25 to actually Arizona for that, not to Palo Alto, is my

0285

1 memory.

2 Q Do you -- do you recall if (b)(6); (b)(7)(C) or other
3 project managers consulted you about a process to -- to
4 make the Walgreens (b)(6); (b)(7)(C) was planning on visiting
5 look nicer before his visit?

6 A I don't recall, but it wouldn't surprise me.
7 I mean --

8 Q I'll hand you a document that I'm marking as
9 Exhibit 243. For the record, Exhibit 243 is a document
10 Bates-stamped TS-1044293.

11 (SEC Exhibit No. 243 was
12 marked for identification.)

13 THE WITNESS: Okay.

14 BY MR. KOLHATKAR:

15 Q Do you recognize this document?

16 A I do.

17 Q What is Exhibit 243?

18 A It's an e-mail from (b)(6); (b)(7)(C)

19 (b)(6); (b)(7)(C) to myself, and CC'd to (b)(6); (b)(7)(C)

20 (b)(6); (b)(7)(C)

21 (b)(6); (b)(7)(C) And then I

22 forwarded the e-mail to Ms. Holmes.

23 Q Why did you forward this to Ms. Holmes?

24 A Probably just FYI for her to keep her in the

25 loop.

0286

1 Q Was that a common occurrence that you'd pass

2 on information to her and vice versa?

3 A Yes.

4 Q And is it fair to say were there areas of the

5 company -- I mean, we talked a lot about your

6 responsibilities earlier today. Was it your normal

7 practice to keep her updated about what was going on in

8 the -- in the areas that you managed?

9 A Not always. There was too much stuff that I

10 was doing, and if I even type a one-line introduction

11 to the e-mails, I would never get my job done. So

12 sometimes I would just forward and hope that she reads

13 it. I used to complain to her that she didn't read a

14 lot of my e-mails. But -- and sometimes I would put a

15 one-liner.

16 And -- but most of the times, I would send

17 something and I'd go to her office saying, "Did you

18 read my e-mail?"

19 The chances are "No."

20 "Please pull it up so we can talk."

21 So if it was that important, then I would do

22 that.

23 Q I mean, did you generally keep her updated

24 about VIP visits?

25 A No. You know, this concept of VIP was -- I

0287

1 don't know where it came from. But the answer is no, I

2 didn't. If it was somebody that she cared about, then

3 chances are, yes, but if it is somebody she didn't care

4 about, then I won't.

5 Q Well, where did the concept of VIP demos come

6 from?

7 A I think what happened was -- and this is my

8 guess. I don't think I came up with this name because

9 I don't like it, and I don't think Elizabeth either.

10 What was VIP about these demos or meetings was the fact

11 that I was in the room, or Elizabeth was in the room,

12 or both of us were in the room. And a lot of times

13 when we collected the sample, if they went to the CLIA

14 lab, the CLIA lab would just put them in the stack and

15 batch process them. And the product manager sometimes
16 would pull their chains by saying, "This is VIP because
17 Sunny was in the room, so you better process it right
18 away" or "Elizabeth was in the room."

19 So that's kind of my understanding. Because
20 at least in the CLIA lab -- and I heard this word a
21 lot, "This is a VIP sample," and I used to -- "What the
22 heck is a VIP sample?" And this is how I found out. So
23 I think it came about in that context.

24 Q And, I guess, what was your concern with the
25 PMs sort of prioritizing these samples in the CLIA lab?
0288

1 A Well, it was -- no, I didn't have a concern.
2 I just didn't like the name because I don't think a lot
3 of these demos we were doing actually were VIPs. And
4 the PMs were not able to make the call. They just
5 thought because I was in the meeting and I did the
6 demo, so it must be important. And a lot of times
7 people would come and meet with us, and I mean, they
8 were important, but they were not very important.

9 So -- so if the sample got delivered eight
10 hours later, that was just fine. But the -- I didn't
11 follow -- follow up, you know, chase this thing, and
12 these guys just got into the habit of saying, "Well, if
13 I don't deliver the results, Sunny is going to be
14 breathing down my neck, so I better move it faster."

15 Q What gave you the impression that Ms. Holmes
16 didn't like the -- the term "VIP"?

17 A Because we never talked about it. I mean, if
18 it was something that was her word, then she would have
19 used it in front of me also, but I don't recall her
20 using it that much, if ever.

21 BY MS. CHAN:

22 Q Who did you consider important?

23 A You know, in a way if we are meeting with
24 somebody, they're important. But we used to have a lot
25 of people -- for example, Walgreens used to bring their
0289

1 guests to us so -- on a tour of California so they --
2 they can meet with us. And they would always take them
3 to the Palo Alto store because it was nicer. I didn't
4 consider them as important because I was being
5 courteous to Walgreens by being in those demos.

6 So important would be, you know, people we --
7 who are strategic to us, people who are helping us grow
8 the business. Some hospitals we would meet and we know
9 that they would help us with samples in the future, for
10 example, with our R&D, those people are important.

11 Q And -- and prospective investors would be
12 important too?

13 A I mean, they would be important, but
14 honestly, I wouldn't put VIP to them. [REDACTED] I

15 would say VIP [REDACTED]

16 [REDACTED] for the most

17 part, maybe not. But I would for his stature, but not
18 because he's an investor.

19 So investors were important, but at the same
20 time, they come and they see us and they don't like us,
21 that's just fine. I mean, you know, I was not
22 necessarily saying for this investor, all hands on the
23 deck. Make sure everything was perfect.

24 Media would be -- would be VIP because they
25 would write us -- write about us. So if media --

0290

1 somebody from media came, I would say, "Make sure
2 everything goes fine because this guy is going to write
3 about us."

4 BY MR. KOLHATKAR:

5 Q The -- the message in 243 refers to the fact
6 that a TV, bamboo tree, lamp, and desk --

7 A Yup.

8 Q -- are placed -- were placed inside of
9 Walgreens for the visit.

10 Do you --

11 A Yes.

12 Q -- see that?

13 A Yes.

14 Q And it says, "WAG's corporate team is not
15 aware this is currently in the store."

16 A Yes.

17 Q I guess was it Theranos's normal practice to
18 have a TV, bamboo tree, lamp, and desk in Walgreens
19 centers at this time?

20 A Yes. So if you had gone to our Palo Alto
21 location, like I said, it was just beautiful. We had
22 all of this and more. And our design included all of
23 that stuff. And in many of these stores, we actually
24 had this TV where, you know, you would sit and get a
25 blood draw, and you would be looking at a TV with fish

0291

1 floating in water, kind of a calming effect. We used
2 to also give people a bottle of water to calm them
3 down. It also made them bleed better.

4 So -- but we had these trees, and music, and
5 all this stuff. Unfortunately, half of the locations
6 in Arizona, the rooms or the spaces that Walgreens gave
7 us were pretty terrible. As you can see here, we
8 couldn't even hang a TV because somebody would steal
9 it.

10 So this is what he was saying, "due to loss
11 prevention considerations." But the Walgreens guy would
12 say, "Oh, no, no. A TV? Somebody is going to steal
13 it." So they won't let us. But our deal with Walgreens
14 in Arizona was: A large number of these store would be
15 what we called gold stores, which had enough space, our
16 TV, and we used to pay for this, all of this stuff.

17 So our plan was: Every store should look
18 nice because our customers are only there for a minute

19 or two. Let's treat them nice. And in some stores,
20 that was not the case. This seems like one of those,
21 you know, we called them bad stores.

22 And what -- we wanted [REDACTED] to see what
23 our experience really looks like as we grow in -- in
24 many of the other stores. I mean, I wish I could have
25 directed him to one of the stores which looked like the
0292

1 locations we actually were building. But this was not
2 the case here.

3 Q I guess were you concerned that you were
4 creating an experience for [REDACTED] that differed,
5 you know, from what he'd actually experience if his
6 last name weren't Walton?

7 A I mean, people treat the VIP customers better
8 anyway. So I don't think he was being misled having --
9 through the impression. Like I said, if he had gone to
10 the other stores where we actually had all this set up,
11 then one could argue they would be misled thinking all
12 stores are like that. But that was not the case. We
13 were in the early states. We were -- we ideally wanted
14 all the stores to be beautiful. And we actually
15 offered to pay for them also. But again, Walgreens'
16 considerations were a big roadblock in some of these
17 stores.

18 But when we got an opportunity to put a TV, I
19 mean, we would hang it there. And my guess is -- I
20 don't know if they removed or not. If we could make
21 something nicer and we paid for it, usually Walgreens
22 didn't complain after it was done.

23 For example, in the Palo Altos store, we paid
24 for it. We fixed the bathroom. We put nice tiles.
25 Walgreens didn't complain. I mean, they were fine with
0293

1 us spending money and making the stores nicer.

2 Q You can put Exhibit 243 to the side.

3 So I'd like to change gears a little bit
4 since we -- we started talking a little bit about the
5 Walgreens relationship. I guess I just want to take us
6 back in time from the -- sort of the gold store
7 situation that we were talking about in 2014 a minute
8 ago to --

9 A Yup.

10 Q -- to when Theranos first started partnering
11 with Walgreens.

12 A Sure.

13 Q When was that and what do you recall about
14 those initial conversations?

15 A I think we first met with them in March of
16 2010. We had a phone conversation with them initially.
17 There was a person there called [REDACTED]
18 [REDACTED] And I don't know if he phoned us
19 or we phoned him. I'm not sure how that came about.
20 But we had a phone conversation, and he invited us to

21 come to Chicago soon after that.

22 And so Elizabeth and myself, we went to
23 Chicago, and we met -- he had organized a meeting there
24 and we met there. It was March of 2010, sorry.

25 Q And what was -- what was the -- I guess the
0294

1 original business model for partnering with Walgreens?

2 A Well, we were going to explore. Initially
3 when we went there, you know, we said, "Look, we have
4 this technology and the capability and the vision of,
5 you know, doing micro volume, small volume. We think
6 we can put, you know, some tests and some devices in
7 your stores."

8 Ultimately, what became Phase 2 is what we
9 were leading with initially. We thought that would be
10 Phase 1, which is the on site, putting a device in
11 Walgreens.

12 Q So -- so it's fair to say back in the, again,
13 really early time frame, the -- the business plan for
14 Walgreens was to have a distributed TSPU in the store?

15 A Yes.

16 Q Was there any discussion at that time about
17 having a -- sort of a -- a central CLIA lab to
18 supplement the device in the store or --

19 A Yeah. I mean, we wanted to be in the CLIA
20 lab business because over time, we thought we're going
21 to learn a lot, I mean, how the CLIA lab -- labs work.
22 More importantly, our business model -- actually, I
23 take that back. The CLIA lab was always part of the
24 plan. The reason is: The way we were thinking about
25 distributing the TSPUs back then required a CLIA lab,
0295

1 required a CLIA lab -- do you want to stop me or -- we
2 have five minutes.

3 Q You can finish your answer.

4 A Okay.

5 -- required a CLIA lab because a CLIA lab was
6 going to provide the oversight. Remember I talked
7 about the protocols, talking to the Cloud and protocols
8 coming to the device? It was going to be all part of
9 the CLIA lab. So the CLIA lab was required even for
10 that model.

11 MR. KOLHATKAR: Why don't we go off the
12 record at 3:56 to change tapes.

13 THE VIDEOGRAPHER: Off the record.

14 (A brief recess was taken.)

15 THE VIDEOGRAPHER: Rolling.

16 MR. KOLHATKAR: We're back on the record at
17 4:02 p.m.

18 BY MR. KOLHATKAR:

19 Q Mr. Balwani, just to confirm, we didn't have
20 any substantive discussions during the break; is that
21 correct?

22 A That's correct.

23 Q Before we took a break, we were talking about
24 the -- the Walgreens relationship. And I want to hand
25 you a document.

0296

1 So I'm handing you what's previously been
2 marked as Exhibit 206.

3 A Thanks.

4 Q And you may not recognize the cover e-mail,
5 but do you recognize the presentation that begins at
6 WAG-TH-6786?

7 A Yeah. It looks like one of Theranos's
8 presentations.

9 Q Do you recall making a presentation to
10 Walgreens management?

11 A I don't recall if -- this exact presentation,
12 but we made a lot of presentations to Walgreens, so
13 this could be one of them.

14 Q And does this look like a presentation
15 Theranos put together?

16 A Yeah, it looks like it.

17 Q Do you know -- I mean, who drafted
18 presentations like these?

19 A So when I came on board, there were already
20 presentations in place from before I joined the company
21 with data from pharmaceutical trials and a few other
22 things the company had done in the past. And then when
23 I joined, I think I took over making it nicer because
24 before that, the presentation was not very good, and we
25 didn't have any PowerPoint specialists in the company,

0297

1 so unfortunately I became it initially.

2 But then I put the presentation in a common
3 folder where the chemist would come in and enter the
4 chemistry data, and Elizabeth would come in and add,
5 you know, whatever data she had, and I would add more
6 software data. So it became a collaborative kind of
7 effort.

8 Q What was the name of the -- I guess the
9 folder or the shared drive that it was maintained in?

10 A I don't remember what it was in 2010, but
11 later on, I think 2013, it became project management
12 commercial, something like that. It was kind of a
13 vague name.

14 Q Under -- under the project management?

15 A Yeah. I think -- I think -- I mean, I could
16 be wrong about the directory name, but I think there
17 was a folder created for presentations.

18 Q And I guess that leads to sort of an
19 unrelated question. How did you maintain your files
20 when you were at Theranos? Was there a file for saving
21 documents that you used?

22 A Yeah. We had a -- we encouraged everybody to
23 store everything on the network. So everyone's default
24 home folder was on the network. So anytime you're

25 saving a document on your computer, you -- you think
0298

1 you're saving it locally in your home folder, but it's
2 on the network. So that was the same for me, same for
3 everybody.

4 I also had access to my local drives. Most
5 people didn't have access to local drives because we --
6 back then, we couldn't back them up because the
7 software didn't exist. Over time, we made it better.
8 But still because we wanted people to store everything
9 on the network because it's easy to back up the network
10 drive, I would say pretty much everything was on the
11 network. Even my stuff over time was on the network.

12 Q And you -- you could save it on the network
13 and configure it in a way so that only you or --

14 A Yeah.

15 Q -- so other designated people couldn't --

16 A Yeah.

17 Q --- couldn't access it; is that fair?

18 A Yes. We had pretty tight controls, and over
19 time, they got even better. You know, I brought the IT
20 background, so I put the infrastructure in place so
21 that people who had access would have access, People
22 who don't have access, don't have access.

23 Q The -- was your shared network -- was the --
24 was the sort of drive that you kept documents on the --
25 on the network drive called the 300 folder?

0299

1 A Yeah. There was one folder I had created
2 called 300, and I pretty much started -- the initial
3 intent of that was: All of the planning, and thinking,
4 and news article, everything that was coming into my
5 head, I was dumping in the folder. And I believe
6 Elizabeth had access to 300. And -- and then -- but
7 I'd started using it as if it was my home folder,
8 pretty much putting everything on 300.

9 Q And so the -- the 300 would be the sort of
10 head folder, and then you had subfolders?

11 A Yes. Yeah.

12 Q And other than Ms. Holmes, did anyone else
13 have access to the 300 folder?

14 A IT guys, but not anybody else.

15 Q Did you -- are you a big handwritten note
16 taker? Did you maintain a lot of handwritten notes
17 while you were at Theranos?

18 A Not many. I'm actually not a big -- I do a
19 lot of notes on the whiteboard, and then -- but then I
20 image them and I'll -- even when I'm thinking by
21 myself, I think on whiteboards, and I had literally a
22 glass wall the length of this conference room in my
23 office. And I would take notes, and then I'll sit on
24 the computer and transfer -- type them in.

25 Q If you did happen to take notes on a pad, how

0300

1 would you -- how did you can keep notes when you were
2 at Theranos, if you did?

3 A If I did, they would just be in my file
4 somewhere, but I would say that didn't happen that
5 often. I was not a good note taker.

6 Q Sorry for the direction that I went. Let's
7 turn --

8 A No problem.

9 Q -- turn back to the -- to the presentations.

10 So in other words, at some point in time
11 PowerPoints like these became a collaborative process.
12 Do you know back in the March 2010 period sort of who
13 would have the final authority to -- to finalize a
14 presentation like this?

15 A I don't think there was -- even until the day
16 I left there was anybody who had final authority
17 because people just would add content. And the purpose
18 of these was: It doesn't really matter. It doesn't
19 have to be final, because we would go to the meeting
20 and just kind of go through it up and down, pick up the
21 slide that we want to talk to and jump to that slide.
22 And then if there's something we didn't like, we'd just
23 drag it to the end.

24 So -- and then we would store that on the
25 folder and that became the latest version. And then
0301

1 somebody else would take it and kind of trade that.

2 Q I guess when you're presenting to, you know,
3 executives at -- at Walgreens, who -- who would make
4 the decision about which slides to choose from that
5 larger deck?

6 A I don't think we did that. That's the point
7 I'm making, is that if we had a -- I mean, our deck
8 over time became 500 slides. So we didn't have to pick
9 and choose slides. What we did was, even when we met
10 with investors or a board, we would just take the
11 entire deck with us, I mean, virtually speaking. And
12 we would just present it.

13 In some cases, if I was meeting with a
14 specific audience which obviously, they don't need to
15 see other things, and let's say I'm meeting with a
16 physician, they don't need to see hospital or insurance
17 slides, I would put them at the bottom, or if the slide
18 deck became too big, I would just delete them, and then
19 I'll store this. But then next time if I want to work,
20 I'll still go back to the mother source, the big slide
21 deck.

22 And so there was no one person making a final
23 decision. It was: Here's the latest version. Take it
24 and show whatever slides you want to.

25 Q I guess who -- who -- who would make the
0302

1 final decision about what slides to show Walgreens in
2 this time period?

3 A Specifically, this one, if -- when we
4 presented this, then it would be me and Elizabeth
5 together.

6 Q If you'd take a look at the page ending in
7 6790.

8 A Yes.

9 Q There's -- there's a few pictures here under
10 the slide "Overview of Theranos Systems."

11 A Yes.

12 Q Do you know who authored this slide?

13 A Yeah. I think this existed before I joined
14 the company. I may have made modifications to this. I
15 may have added the database infrastructure. The
16 software pieces, I may have added, I don't remember.
17 But -- and obviously the iPhone icon, chances are, I
18 added that.

19 Q I mean, is this sort of an accurate overview
20 of the systems Theranos was planning on deploying at
21 Walgreens in 2010, a system of devices, cartridges, and
22 mobile applications supported by database
23 infrastructure, algorithms, and applications?

24 A There would be more components. This was not
25 a fully inclusive deck. It was -- like I said, most of
0303

1 the times, we're using the slide deck as a conversation
2 starter. So there may be things that are here that
3 were not applicable to Walgreens.

4 For example, the pattern recognition
5 algorithms probably didn't have much use at Walgreens
6 for the foreseeable future, but we had them. And then
7 in many cases, there would be things that we would use
8 for Walgreens that are not here. As we learned, we
9 would add them.

10 Q In a slideshow like this, did you ever
11 include a picture of a commercially available analyzer?

12 A Well, we've made a lot of presentations, so
13 it really depends. But most of the time, no, we
14 didn't, or at least I didn't.

15 Q Why not?

16 A You're not talking about modified commercial
17 analyzers?

18 Q Just, like, an off -- off the shelf, you --

19 A Yeah.

20 Q -- you used unmodified.

21 A They were not interested. I mean, we didn't
22 go there and say, "Oh, by the way, we have an Abbot
23 machine in our lab." Everybody would be like, "So
24 what?"

25 So nobody ever added that for that purpose.

0304

1 It was not interesting. Just like we didn't have
2 pictures of pipettes. We did have pipettes in our lab
3 and a lot of other equipment in the lab. Not
4 interesting.

5 Q At this time Theranos hadn't invented its
6 nanotainer, CTN; is that right?

7 A Yes, that's correct. We did have a small
8 capillary tube that I mentioned earlier that we used to
9 wick the blood off the finger, but it was not a
10 nanotainer.

11 Q If you could turn to the page ending in 6792,
12 there's a slide on validation of Theranos systems.

13 A Uh-huh. Yes.

14 Q Do you recall who wrote this slide?

15 A These are not my words because I did not have
16 this background, so chances are, I didn't do it. But I
17 don't know who actually did. I wouldn't be able to
18 tell.

19 Q In 2010, I guess, do you know how Theranos
20 systems had been validated under FDA or ICH guidelines?

21 A My understanding -- again, this is -- I'm --
22 I'm not a chemist. A lot of these things that are here
23 are about chemistry. So my understanding was that our
24 chemistry assays that we were developing for
25 pharmaceutical companies followed a certain protocol,

0305

1 which was dictated by these 21 CFR compliance and ICH
2 guidelines. It says we follow the guidelines. So that
3 was my understanding.

4 But if you ask me to explain this stuff, I
5 wouldn't be able to. I don't know what they are.

6 Q If you could turn to the page ending in 6814.

7 BY MS. CHAN:

8 Q Would Elizabeth Holmes have known what --
9 what that was talking about, the validation slides?

10 A Back then, she would have known more than I
11 did because she was with the company longer at that
12 point. This is still me in the company -- six months in
13 the company. So at that time she would know more. I
14 don't know if she still remembers all of this stuff or
15 not.

16 Q And when you were sending out, you know,
17 presentation material to, you know, third parties, you
18 know, potential business partners like Walgreens, for
19 instance, in this -- in this instance, would you --
20 would you raise questions if you didn't understand what
21 was on a slide that was being sent to them?

22 A Not me. I mean, I would -- most of the times
23 what we were sharing, at least at this time going back
24 to 2010, was: "We are a small company. This is what
25 we have done in the past." It was more like that. Not

0306

1 necessarily, "This is what we've done and this is what
2 we are going to do with Walgreens when we launch with
3 you." It was more introducing our company, and our
4 capabilities, our experiences, was what -- what we were
5 trying to get across. And in some cases, our vision,
6 sorry.

7 But, no, I wouldn't -- if I didn't understand
8 something, I would not pull the chain saying, "I don't
9 know what this is. Somebody explain this to me." I
10 would -- like I said, this was a very complicated
11 structure. I know a lot more now than I did in 2012,
12 which is why I'm thinking I can address a lot more.
13 But back then, I knew even less. And so I would not
14 pull the chain just because I didn't understand.

15 Q Why wouldn't you ask questions?

16 A Because I knew this is a very complicated
17 business. Just because I don't understand something
18 doesn't mean it's not right or this was not done. You
19 know, there are other people in the company, the team
20 leads, the chemists, the head chemist, the -- the R&D
21 guys who had a lot more knowledge than I do -- I did,
22 so I assume they must have looked at it.

23 BY MR. KOLHATKAR:

24 Q So turning to the page ending in 6814. It's
25 a slide called "Launch of Theranos Systems at
0307

1 Walgreens."

2 Do you see that?

3 A I do.

4 Q It says, "Theranos would like to submit a
5 partnership with Walgreens by end of April 2010 to
6 launch the general chemistry, influenza, and fertility
7 tests in Q4 2010."

8 Were you -- was that your understanding of
9 the company's goal at the time?

10 A Actually, give me one second so I can read
11 this, if you don't mind.

12 Q Of course.

13 (The witness examined the document.)

14 A Yeah. That was one of the ideas that we had
15 discussed with Walgreens.

16 Q I guess, was Theranos's TSPU capable of
17 performing the general chemistry, influenza, and
18 fertility tests in --

19 A The -- yeah. The influenza and fertility
20 tests, we actually had some assays in the validation
21 stages. So H1N1 was an influenza test. I had talked
22 about H1N1 earlier. So that, we were capable of. I
23 don't know what general chemistry tests we had in mind,
24 so I don't know the answer to that.

25 Q Okay. So in other words, Theranos didn't
0308

1 have all of its general chemistry tests available on
2 its TSPU in --

3 A Yeah. And I don't think --

4 Q -- 2010?

5 A And I don't think we are saying that here,
6 either, that we are launching all general chemistry.
7 General chemistry has hundreds if not thousands of
8 tests.

9 Q I guess, the -- you know, earlier we
10 talked -- we talked about the fact that the 3.0 and the
11 3.5 could generally only run immunoassay of ELISA
12 tests.

13 A Yes. Correct.

14 Q And are those a subtest of general chemistry
15 tests?

16 A No. General chemistry actually -- again, I'm
17 out of my league here, but my understanding of general
18 chemistry is: There are some tests that you can --
19 there are some overlap between general chemistry and --
20 and immunoassays that you can do on general chemistry
21 machines like Advia 1800s. So there is some overlap,
22 so I don't know what I had in mind here -- what we had
23 in mind here.

24 MR. MCKAY: Slow down. Slow down just a
25 little bit.

0309

1 THE WITNESS: Oh, sorry.

2 BY MR. KOLHATKAR:

3 Q So I -- and I guess I hear your point that
4 you're not saying that you're going to run all general
5 chemistry tests at this time, but just as a factual
6 matter, Theranos couldn't run all general chemistry
7 tests on -- on its TSPU in April 2010?

8 A I don't think we could run all general
9 chemistry tests. I don't know if we could run some. I
10 don't know the answer to that. But I do know that --
11 the other thing also is: I don't know if what we meant
12 then was: Launch all general chemistry, influenza, and
13 fertility tests or -- did we really mean general
14 chemistry as in the category general chemistry.

15 So I don't know. I don't want to guess on
16 that one. But we were talking about panels at that
17 point, and my understanding is: Influenza and
18 fertility panels, we could have done.

19 BY MS. CHAN:

20 Q If you go to 6801, you'll see there that
21 there's a list of general chemistry tests on that
22 slide.

23 A Yes.

24 Q Could the TSPU conduct testing on all of
25 these tests?

0310

1 A Not at that time. And actually, one more
2 point: Here, the general chemistry actually is being
3 used as in routine chemistry. Because if you look at
4 the first column, it says "CBC," complete blood count.
5 That's not a GC test, that's not a general chemistry
6 test. That's a hematology test.

7 So -- so -- and thyroid panel is all
8 immunoassays. So all of the assays here, TSH, T3,
9 T4 --

10 THE REPORTER: Can you slow down.

11 THE WITNESS: Yes.

12 All the thyroid panel listed here are
13 immunoassays, so -- which is what I was commenting
14 earlier that I don't know if we meant general chemistry
15 in the other slide as in the category general chemistry
16 or general chemistry as in, you know, routine
17 chemistry.

18 So here, now, this slide answers that
19 question that we were talking about what are the
20 routine chemistry? "General chemistry" is not the
21 right technical name to use here.

22 Q Did the TSPU do testing for the complete
23 blood count?

24 A Not at that time.

25 Q What about the complete metabolic panel?

0311

1 A Not at that time. We were obviously working
2 on the revisions to 3.0, and we thought at that point
3 that we would be able to -- we would put -- if we had
4 pursued this path, we would have put -- I think I
5 mentioned earlier that we were going to modify 3.0 and
6 add general chemistry to 3.5, and we were working on
7 the R&D phases at that time, even in March and April of
8 2013. We didn't pursue that because we didn't pursue
9 this path with Walgreens.

10 Q Okay. Doesn't the slide make it look like
11 Theranos was able to conduct that testing already at
12 the time this was presented in March 2010?

13 A No. I don't read it this way. Like I said,
14 a lot of the -- the slides here, if you read them -- I
15 mean, for example, the slide that I saw -- go to Page
16 Number 6812. That has individualized health system
17 application. A picture of an iPhone, and a Blackberry,
18 and automated health support, we didn't have any of
19 that stuff. And Walgreens understood that because if
20 we had it, we would have shown it to them. The
21 software is easy to demonstration.

22 And when we talked about this, we said, "This
23 is our vision that when a patient comes in, we will be
24 able to do the test. You can even" -- and, for
25 example, the quote here, "Don't forget workout clothes

0312

1 when we travel to Boston." And we couldn't do any of
2 that stuff.

3 The -- the purpose of a lot of these slides
4 was to show our vision of what we want to see happen.
5 And none of these was there and didn't happen even
6 until I left. So again, this was a slide we used to
7 start a conversation.

8 So it's the same thing there, is that if we
9 could have done all of those tests, then we wouldn't be
10 saying, "Let's launch with those two panels," it's why
11 wouldn't we launch with everything. But it was a
12 conversation starter that we have learned that this is

13 the -- these are the panels that are most commonly
14 ordered. And we were correct about that. That this is
15 what we should focus on as a company and as a
16 partnership it's offering.

17 BY MR. KOLHATKAR:

18 Q Did Theranos enter into a contract with
19 Walgreens in 2010?

20 A Yes, we did.

21 Q Just -- I mean, I don't want to go through
22 kind of each of the contract terms. But what was your
23 general understanding of -- of what the agreement was
24 between the companies at that time?

25 A That we would work together in deploying
0313

1 Theranos services at Walgreens locations. That we
2 would put a TSPU at Walgreens locations, perform some
3 tests there that we could from finger stick, and we
4 would build all the software on the back end that we
5 have to build in order to make consumer-facing portal
6 apps available.

7 We had to build out the CLIA lab. Obviously,
8 the CLIA lab didn't exist at that time because we
9 couldn't launch this without the CLIA lab. CLIA lab
10 was a prerequisite because all of the assays we were
11 going to run were -- would have been LDTs, or
12 lab-developed tests, which are -- which have to be
13 validated by a CLIA lab.

14 So all of that work didn't exist. Walgreens
15 knew we didn't have a CLIA lab because a CLIA lab
16 license was publicly available, and we didn't have it.
17 So the contract was signed so that now we can start in
18 that direction. And we pivoted and we started focusing
19 all of our R&D efforts from that point onward to
20 prepare for that.

21 Now, we spent a lot of time in the contract
22 negotiating, and one of the things that we -- I spent a
23 lot of time on was not to make any commitments around
24 timeline on when we were going to launch because we had
25 to build a ton of infrastructure. And Walgreens too,
0314

1 but we had to do a lot of heavy lifting. And I made
2 sure that we did not -- don't bind ourselves to any
3 launch date, and there's none in the contract.

4 Q And as part of that -- entering into that
5 contract, did Walgreens made any payments to Theranos?

6 A I don't think they made the payment at that
7 time. I think they made one payment over time once we
8 met a certain milestone. I forgot what it was. But it
9 was -- I think they made the first payment end of 2012.

10 Q And so you mentioned kind of that initial
11 contract, you didn't want to be bounded timewise --

12 A Actually, sorry, I just remembered, to
13 correct myself, they for sure didn't make a commitment.
14 It was only when we modified the contract in 2012,

15 after that, we had asked for the first payment.

16 Q And so you -- you sort of read my mind. What
17 would -- how did the contract get amended in 2012?

18 A Yeah. So as I mentioned earlier, our initial
19 thoughts were -- and keep in mind, this is 2010, April.
20 We are still about 50 or 60 people in the company.
21 Walgreens had come and visited us. They had seen our
22 entire company. We had walked them through our entire
23 building, including the shipping and handling dock.
24 So, you know, they literally saw everything.

25 And after that, we started hashing out the
0315

1 details of how things will work out. Obviously, we had
2 to open the CLIA lab, validate the assays as LDTs, put
3 the devices in the Walgreens stores. And then we
4 engaged counsel to make sure everything we are doing
5 perfectly complies with regulations.

6 And we had advice from our counsel that what
7 our plan of action was complies. Walgreens had hired
8 consultants who told them it does, but it's a risky
9 proposition. It may be that some components of this,
10 the FDA may not like. And so we started exchanging and
11 started having a dialogue with Walgreens. In the
12 meantime, obviously, our entire company was focused on
13 working in this direction that we had chosen.

14 And around, I think, 2011ish is when we
15 realized that, you know, Walgreens didn't want to bear
16 that risk because they were a big company. They were
17 also in the media. They were in hot water because they
18 had done something with another company in 2010 or 2011
19 where they started distributing something that was not
20 FDA cleared. Not -- nothing related to us. It was
21 some other third company. And the FDA sent them a
22 warning letter, either to them or to the company whose
23 product Walgreens was distributing.

24 So Walgreens was gun shy at that time, and
25 they said, "We don't want to be in the media. We don't
0316

1 want to take any risks." So things kind of slowed down,
2 but we pursued this path of TSPUs capabilities.

3 And in -- I think in 2012, I don't remember
4 which month, we started exploring the possibility what
5 if we are running everything in the one lab and there's
6 only one CLIA lab and we just ship samples from the
7 field.

8 And we started prototyping nanotainers and
9 CTNs, and put a ton of effort in there. Pretty much, I
10 think -- I would say the majority of our company or a
11 large chunk of our company was working on CTN because
12 it was a very monumental undertaking. There are
13 companies out there who do nothing but make these
14 Vacutainers or vessels for transferring blood. This is
15 their entire business, multibillion-dollar companies.
16 And for us, obviously, this was a big project. It had

17 to be done right.

18 So we started focusing on CTNs, and once we
19 got to the point where we thought it was a good
20 solution, a good path forward, we approached Walgreens
21 again, saying, "What do you think about this?" Or maybe
22 it was Walgreens who came up with the idea and we did
23 the R&D around it. And then we met together and we
24 said, "This seems like a good path." Obviously, it
25 shifted a lot of what we were doing from focusing on

0317

1 TSPUs and all of our R&D who were there -- again, we
2 are still a small company. This is 2012, so maybe we
3 are at, I don't know, a hundred people or so. And now
4 we started running after the CTN, the centralized lab
5 model, and that's how it came about.

6 Q So --

7 A Sorry for the long answer.

8 Q No, no, it saves me from asking more obvious
9 questions.

10 But the -- how was the contract with
11 Walgreens amended in -- in 2012?

12 MR. COOPERSMITH: And if you're asking
13 specific contract language, I mean, obviously, you have
14 the contract.

15 MR. KOLHATKAR: No, no. Sure. I'm just
16 trying to get directionally in terms of the business
17 strategy of the company and -- and its relationship
18 with Walgreens.

19 BY MR. KOLHATKAR:

20 Q Why did Theranos amend the contract in 2012?

21 A Well, we -- when we had this discussion,
22 Walgreens loved the idea.

23 Q The idea -- this idea of shipping?

24 A Shipping samples.

25 Q Yeah.

0318

1 A The reason is: It required even a lesser
2 investment by Walgreens because they didn't have to
3 build out space for our TSPUs, and power, and HVAC, and
4 all that stuff. And more importantly, this allowed us
5 to expand faster because we were no longer bound by
6 necessarily our production capacity, our TSPUs, and
7 cartridges, and training people on how to use the
8 machines, and so on and so forth.

9 So they -- they loved that idea. And, I
10 mean, obviously, their goal was -- from this whole
11 project was: Get more people in the store so they can
12 buy more stuff. More volume, more dollars per -- per
13 transaction.

14 And this was also the time where we started
15 talking to Walgreens about the threat from Amazon and
16 other tech companies to their core business. They knew
17 that retail business is going to change significantly
18 and -- as obviously, now, we are seeing it. But we

19 started discussing with them.

20 And they knew that if people started getting
21 pharmacies at home delivered by Amazon, and I thought
22 it was an inevitable thing. It's going to happen.
23 It's just a matter of time. But they were tuned to
24 that. And -- and people start -- stop coming to the
25 store to buy, you know, liquor, and tobacco, and other
0319

1 things, then how would they attract people? If they
2 had a service in the store that kind of forces you to
3 be in the store, like a finger stick, you cannot do it
4 remotely, at least for the foreseeable future, that
5 this would be a really great business for them because
6 they could continue to bring patients.

7 And the CTN, the shipping sample, basically
8 means we can grow faster. And that was their main
9 motivation. So this is how it came about.

10 Q And in your mind, I guess, what did -- what
11 did the 2012 amendment accomplish to -- to achieve
12 those business ends?

13 A From what I remember -- obviously, I would
14 love to see the language. But what I remember was that
15 we modified it so that we said we are shifting to a
16 centralized lab model as, quote/unquote, Phase 1. And
17 I called it Normandy, as I earlier said. And Phase 2,
18 which was going to be put the device on site, I thought
19 D-Day was a good name. But boring minds took over and
20 called it Phase 1 and Phase 2.

21 And so the contract was: Let's go full force
22 Phase 1, central lab model, ship samples to the
23 centralized lab. And -- and I'm sure there were a few
24 other things -- details that we may have changed there.

25 Q And did -- did the 2012 amendment, in your
0320

1 mind, change any commitments in terms of -- you
2 mentioned timeline being something that was important
3 to you in the original contract.

4 A Yeah. I don't recall that we still bound
5 ourselves to any specific timeline, but I may be wrong.
6 But I'm pretty sure if there were one, Walgreens would
7 have been calling me every day. So my guess is: We
8 didn't commit to a timeline.

9 The other thing that's important also is: We
10 also in either contracts, a second one, didn't commit
11 to any certain person to draw finger sticks in the
12 contract either. So we didn't commit to those two
13 significant things. Go ahead.

14 Q Why was that important to you?

15 A Well, we wanted to have control over our
16 business. You know, if a doctor said to us, "I'm going
17 to send you my patients. I love everything else about
18 you. I love the fact that you're cheap, you're
19 transparent, your service is great, you're convenient,
20 you're open weekends, you're open late nights. My

21 patients love coming to you. I love that bamboo tree
22 and the TV screen."

23 But finger stick is new and healthcare
24 changes very slowly, sometimes a decade, you know, for
25 them to just change simple things. We wanted to make
0321

1 sure that we don't lose their business. If a doctor
2 loves everything else about us, we don't want to, and I
3 explained, this example that I just gave you is an
4 example that I used with a lot of people, a lot of
5 investors, and certainly with Walgreens and they all
6 understood that. Yeah, what they care about is more
7 people coming into Walgreens. That was the most
8 important thing.

9 Q And, I guess, at what point in time did --
10 did Theranos decide that it was sort of ready to roll
11 out that -- that offering of the -- the store and --
12 and pursue that launch that we talked about, that soft
13 launch, followed by the -- the opening of additional
14 stores?

15 A It wasn't necessarily one moment when we said
16 that today is the day we are ready for launch. It's an
17 incremental process. You know, a -- what we were doing
18 was a fairly, like I said, complicated Rubik's Cube
19 kind of scenario where you have how many assays on the
20 CLIA lab, how many are validated, how many are finger
21 stick, how many are from serum, how many are from
22 plasma, how many venipunctures. Are people trained in
23 the field? Are the stores built out? How many people
24 you have in CLIA lab. The ability to pick up samples.

25 So there were a ton of moving pieces. Even
0322

1 if there was a deadline saying, "Okay. Now we are
2 ready for five stores," there was no way to be able to
3 measure that we are ready for five stores. You know,
4 you could only say, "I think we are ready to process a
5 hundred samples a day" or "50 samples a day. Let's
6 start."

7 And then as we learn, we will add more
8 capabilities. We will obviously recruit. One of the
9 things we wanted to do was get out so we could tell
10 people what we were doing so that could help with
11 recruiting, which it did.

12 So there was no one big bang moment. It was
13 more, "Okay. We need to launch, so we have to get
14 out." And then we learn in the trade and make products,
15 make our service better. Not necessarily any specific
16 product, but service.

17 Q I'll hand you what's previously been marked
18 as Exhibit 63.

19 Do you recognize Exhibit 63?

20 A I do.

21 Q What is it?

22 A This is a contract amendment that we had

23 signed on December 31st, 2013, with Walgreens, our
24 master purchase agreement.

25 Q And if you look on the page ending in 102 or
0323

1 Page 4 of the amendment --

2 A Yes.

3 Q -- there's a section entitled "Innovation
4 Fee."

5 A Yes.

6 Q The third sentence in says, "To that end,
7 subject to Section 7, the parties have agreed that
8 Walgreens shall accelerate payment of the innovation
9 fee so that 75M of the prepurchase would become
10 immediately due."

11 A Yes.

12 Q Why did Theranos pursue this contract
13 provision?

14 A Why did we pursue the acceleration of the
15 innovation fee?

16 Q Correct.

17 A There were a lot of things that we were doing
18 for Walgreens that we -- we thought we were delivering
19 on and -- for example, exclusivity. And Walgreens had
20 called us in December. They thought things were moving
21 slow and their -- they would have lost the exclusivity.
22 I think the original contract anticipated 12 months
23 national exclusivity. I'm not sure. It's in the
24 clause. And we had already launched in September and
25 November in Arizona.

0324

1 So they thought the clock on exclusivity was
2 ticking or -- and they would lose it in 12 months or
3 so. And they didn't want us to go to CVS. They
4 absolutely wanted to make sure this service and all of
5 the features that we were building, software and all
6 the other stuff, stays with Walgreens only.

7 And they didn't want -- and they knew that at
8 some point when the exclusivity expires -- that was the
9 whole intent that we will work -- we are free to work
10 with anybody else. So they wanted to tie us to
11 exclusivity more, and we said, "In order to do that,
12 you have to make a deeper commitment," which is where
13 this came from.

14 Q And what was your understanding of -- in 2013
15 of -- of how Theranos would earn the innovation fee
16 payment?

17 A Well, there were -- this -- there's some
18 language in the contract I obviously don't remember.
19 But this was meant as a nonrefundable payment to us,
20 the 75 -- a hundred -- they already -- had already paid
21 \$25 million before, which is clearly marked as
22 nonrefundable in the contract. And then this \$75
23 million in the contract, if you read the language, was
24 also nonrefundable as an innovation fee payment.

25 So kind of that was my understanding at that
0325

1 point that this is -- this is what it is.

2 Q So what you're saying is: At the end of 2013
3 when this was signed, you understood that the -- the
4 innovation fee was sort of entirely Theranos --
5 entirely Theranos's and not refundable to Walgreens?

6 A There were -- there were some minor clause --
7 I don't remember the language again in the contract. I
8 would have to see it. But there were some edge cases
9 in which it could have triggered returning bits and
10 pieces of it. However, if I remember correctly,
11 Theranos had the right to cancel the contract and --
12 and not have to return this money. There was no
13 provisions for returning this money if we cancelled the
14 contract.

15 So we had come up with a lot of language to
16 lock this down in an ironclad way so it's not
17 nonrefundable. Because what we were providing them at
18 that point was exclusivity over CVS and other retailers
19 and some other guarantees that we gave them, best price
20 guarantee during that time period, the first
21 announcements. Right? There were a bunch of other
22 soft things that we had given them.

23 So the idea was -- yes, my understanding at
24 that time was that this is 100 million nonrefundable
25 for the most part. Yeah.

0326

1 Q And did the \$100 million, other than
2 exclusivity, obligate Theranos in any way, in your
3 mind, at the end of 2013? I mean, did Theranos
4 obligate itself to provide services for Walgreens --

5 A Well, I think --

6 Q -- as part of that fee?

7 A -- there is language here that talks about we
8 cannot work with CVS. We have to work with Walgreens.
9 If we wanted to work with anybody else and we were
10 going to launch, let's say, in a certain state, we had
11 to go to Walgreens first and tell them, "We are
12 launching in XYZ state." And only -- if they said no,
13 in 30 days or 60 days or something like that, only then
14 we could launch with others.

15 And there were at least three states, I
16 remember, New York, California, Arizona, where
17 effectively, we couldn't work with others, like CVS,
18 particularly, which is -- was their main focus.

19 So -- so I don't know if I answered your
20 question or not. But the point is that we didn't
21 necessarily have to -- to launch a -- at a certain
22 cadence with them, if this is what you're asking, or
23 provide services to them, but we couldn't anywhere
24 else -- with anybody else either.

25 Q I guess, did -- I mean, did you ever provide

0327

1 any sort of services to Walgreens as part of this
2 hundred-million-dollar fee is my question.

3 A Well, I think I answered that. By "services"
4 is -- if you're talking about lab services,
5 specifically the answer is: I don't remember in the
6 contract if there was any specific language that we had
7 to provide lab services. It -- it was a payment. This
8 is why it was called an innovation payment. It was a
9 payment for us providing services at Walgreens, plus
10 these -- a lot of these soft assets that we provided to
11 them, like exclusivity over CVS, which was the -- I
12 mean, which was the main trigger for this amendment.
13 They wanted to make sure that they locked us down.

14 Q Did you understand that the -- that part of
15 the innovation fee could be convertible to equity at
16 any point in time?

17 A There was a very narrow window that they had
18 that -- if they had wanted to convert, which they
19 didn't. So I think there was some part of that that
20 technically could have been converted into equity, but
21 I don't think they -- they pulled the trigger on that.
22 That didn't happen, so -- and it's right here, I think.

23 Q Sorry, what are you looking at?

24 A Page Number 103, ending in 103, Page Number 5
25 of the contract.

0328

1 Q Okay. And is that Section 7?

2 A Yes. Additional Equity Rights. "The parties
3 agree that 50 million of 75 made by Walgreens may be
4 converted at Walgreens' option into equity on such
5 terms as are made available to investors in Theranos
6 prior equity financing in the first quarter of 2014."
7 And they didn't do that.

8 Q Okay. And then the next sentence says, "The
9 parties also agree that upon signing this agreement,
10 Walgreens will receive an option to purchase up to \$50
11 million in Theranos equity on terms made available to
12 investors who invested in the prior equity financing."

13 You didn't view that as part of the
14 innovation fee?

15 A No, not at all. Absolutely, that was
16 separate. If they wanted more equity, they had to -- to
17 give more cash.

18 Q And what --

19 A Let me tell you why. The reason is: We were
20 absolutely focused on the fact that we had already
21 provided them great service, which is our exclusivity,
22 not talking to -- not working with anybody else,
23 focusing our entire company on Walgreens. So, yeah,
24 absolutely.

25 Q I guess in your view, did this

0329

1 50-million-dollar option to purchase additional equity
2 similarly have a time limitation of the first quarter

3 of 2014?

4 A You know, I think the language seems to be
5 not clear about that, but I don't know the answer to
6 that. Had they come back and they said, "No, we want 50
7 million equity and there's no time limit on this one,"
8 you know, we would have dealt with that at that point.
9 But in general, we were not eager to have Walgreens'
10 equity -- give equity in general.

11 Q Why not?

12 A Well, they were our distributor, and we knew
13 the animosity between them and CVS and -- and others.
14 So giving equity to one basically meant the other will
15 just not -- it will be very a difficult partner to work
16 with. Even if you give them better terms, the fact
17 that the other guy may make a dime from their
18 partnership with us was a major issue for a retailer.
19 I mean, it's a pretty brutal competition.

20 MR. KOLHATKAR: It sounds like you may be
21 getting a little hoarse. Why don't we go off the
22 record. It's 4:41.

23 THE WITNESS: Thank you. I appreciate that.

24 THE VIDEOGRAPHER: Okay. It's 4:41. We are
25 going off the record.

0330

1 (A brief recess was taken.)

2 THE VIDEOGRAPHER: Rolling.

3 MR. KOLHATKAR: Back on the record at 4:51.

4 BY MR. KOLHATKAR:

5 Q Mr. Balwani, we didn't have any substantive
6 discussions during the break; is that correct?

7 A That's correct.

8 BY MS. CHAN:

9 Q Mr. Balwani, is it your testimony today,
10 then, that at the time that Walgreens and Theranos
11 signed Exhibit 63 in the summer of 2013 that the
12 75-million-dollar innovation payment adding to that the
13 \$25 million that had already been paid, that was
14 Theranos's to keep and was not returnable to Walgreens?

15 A Like I said, there were some clauses in the
16 contract that I don't remember that could have
17 triggered returning some of that money, but I don't
18 remember what those clauses were. But for the most
19 part, my understanding is that yes, that money was
20 nonrefundable.

21 Q Okay. And what portion do you recall may
22 have been refundable to Walgreens?

23 A I think it was not quantitatively defined, is
24 my recollection. Yeah, that's -- I don't remember
25 exactly what the quantitative number was. But -- but

0331

1 it could have been zero or it could have been more.

2 MR. COOPERSMITH: And it's obviously in the
3 contract if you want to go over the specifics.

4 BY MS. CHAN:

5 Q Right. And so -- and earlier in your
6 testimony before the break, you mentioned that the
7 75-million-dollar payment was being accelerated in
8 exchange for greater exclusivity rights for Walgreens.

9 Do you remember that testimony?

10 A That was one of the things. There were other
11 things here that we also had committed to as part of
12 that. One was: If you look on Page Number 1, which is
13 Page Number 0099 -- ending in 0099, it was that second
14 paragraph, first line, "It is the intention of the
15 parties to develop a mutually beneficial strategic
16 relationship that facilitates the successful deployment
17 where Theranos will nationally establish Walgreens as a
18 national partner for Theranos."

19 So we were --

20 THE WITNESS: Doing okay?

21 THE REPORTER: Yeah.

22 THE WITNESS: Yeah, I was cautious.

23 And we were making a commitment to Walgreens
24 on that front also. That we were a national partner
25 with them. And they are obviously -- as you can see

0332

1 here, that they are making a commitment that they are a
2 national partner.

3 BY MS. CHAN:

4 Q Okay. The exclusivity rights that had been
5 negotiated, at what point -- or how long would Theranos
6 have to perform under those rights and give --

7 A Uh-huh.

8 Q -- you know, Walgreens exclusivity before
9 Walgreens would be satisfied that Theranos had upheld
10 its share of the bargain?

11 A Yes. I think there are details here on Page
12 Number 2, which is the page number marked 0100.
13 There's an exclusivity section here that talks about
14 when and how long the exclusivity will last on a
15 market-by-market basis and also names over who. So
16 Walgreens is willing to commit to a higher level of
17 build-out -- do you guys see it (indicating)?

18 Q Where are you pointing to?

19 A Page Number 2, the exclusivity paragraph.

20 Q Okay. Oh, yes, I see that.

21 A Yeah. "The respective stores, in order to
22 provide service to Theranos, the party's expectation is
23 the majority of Theranos spaces will be gold or silver
24 spaces with no more than 20 percent bronze spaces and a
25 minimum 40 percent gold spaces. As such, the parties

0333

1 agree that increased exclusivity to present if a fair
2 market value in exchange for such commitment while the
3 parties acknowledge the need to further document --"
4 and then it goes in the next paragraph.

5 It talks about -- in the fourth line of that
6 paragraph that "With respect to California, Arizona,

7 and New York, the parties agree to the following
8 exclusivity framework: For each state listed above,
9 for the period of 18 months commencing on the date on
10 the 20th, Walgreens stores or other number of their
11 parties may agree located in such state are actively
12 collecting samples for commercial patients, Theranos
13 shall not provide testing services or samples collected
14 on its behalf to any of the following: Walmart, CVS,
15 Rite Aid, Target."

16 And then -- and there are a couple of other
17 things here. And then also, I think there's another
18 section that says exclusivity will last for 18 months
19 and then automatically renews for 12 months if
20 Walgreens has fulfilled its commitment.

21 Q Okay.

22 A And then the next paragraph -- do you want me
23 to continue?

24 Q Oh, I was going to ask you: So it sounds
25 like there was some period of time that was set in the
0334

1 contract for which Theranos needed to give -- to give
2 exclusivity to Walgreens and not work with other retail
3 pharmacy partners; is that right?

4 A The net effect was going to be that, yes.

5 Q Okay. It was something at least 18 months
6 and there was some renewal provision --

7 A Yes.

8 Q -- if all went well?

9 A And there were some exclusions for, I think,
10 Safeway and then ultimately for Walmart also.

11 Q Okay. So -- so why would it be appropriate,
12 then, to think that Theranos could keep the
13 75-million-dollar payment if Theranos still had to
14 perform on its exclusivity obligations?

15 A I'm not sure if I understood the question.

16 Q If Theranos still had to ensure that it was
17 being exclusive to Walgreens --

18 A Right.

19 Q -- and not work with other partners --

20 A Right.

21 Q -- why was it appropriate for Theranos to --
22 to believe that it could keep the \$75 million? If
23 Theranos walked away and went with CVS --

24 A Right.

25 Q -- wouldn't Theranos have to return the money
0335

1 to Walgreens?

2 A If the contract says so, but the contract
3 doesn't say that.

4 Q Okay. So what would be Walgreens' remedy,
5 then, if that happened?

6 A Well, we -- I will have to look in the
7 contract. There's a whole remedy section under
8 contracts if this happens and that happens, then what

9 happens? But I know there's a remedy section in the
10 contract.

11 Q Okay. But it's your understanding that the
12 \$75 million would not be returnable to Walgreens, that
13 would not be a remedy that they could get back?

14 A Again, I don't know the language, but my
15 understanding at that point was that the -- the
16 language, the way it was written was because the -- the
17 thing is: We didn't have to necessarily give them
18 exclusivity all the way through 36 months. For
19 instance, just picking a number -- for us to have
20 earned that money because the clock had already started
21 ticking. We had already delivered on our exclusivity
22 commitment to Walgreens long before we started and -- I
23 mean, long before we signed this contract.

24 So exclusivity commitment -- now, from an
25 accounting perspective, if the accountant said, GAAP

0336

1 says you can only recognize it by month by month -- I'm
2 not an accountant. That was not my forte. And how do
3 you recognize that on the -- on the books.

4 But my understanding was that the way we had
5 structured the contract was -- we had long discussions
6 about that. This was not something that they forgot.
7 We had long discussions that "Look, we are doing all
8 these things for you. We will continue to do this for
9 you, but this hundred million dollars is not coming
10 back to you," except for those couple of clauses that
11 we had talked about. If we didn't succeed or we didn't
12 deliver on some things, then it may not come to you.
13 And that's -- the language is there in the contract.
14 But we had very clear discussions about this. A
15 hundred million was ours.

16 Now, the reason -- also, there's a reason why
17 the innovation payment was called innovation payment.
18 Because Walgreens didn't want to just be, you know,
19 say, "Here's a hundred million dollars," and then
20 somebody who -- some of the lawyers, I think, were
21 ultraconservative told them Medicare may think of that
22 as a kickback. That, you know, Theranos is performing
23 services in your location and now because of that,
24 you're getting patients.

25 So referring Medicare patients between two

0337

1 healthcare providers is I think there are laws against
2 this. Again, I'm speaking out of my league here. But
3 it was -- even this term was used intentionally to make
4 sure that hey, this is not anything like that. This is
5 actually an innovation payment in exchange for all of
6 these things that you're providing us.

7 Q And it was also your understanding that if
8 Theranos decided not to go out and roll out with
9 Walgreens that also Walgreens wouldn't be able to get
10 back that 75-million-dollar payment?

11 A I mean, the way -- again, the way we read the
12 contract, at least at this point, because we were
13 delivering to Walgreens, my -- my understanding was:
14 Yes, we had already done the heavy lifting, the
15 contract was already signed in 2012, the amendment, and
16 at this point, we have mostly delivered and will
17 continue to deliver. But if Walgreens changed its mind
18 and stopped rolling out with us and we had to go over
19 to CVS, the money is non -- nonrefundable to you.
20 Absolutely, that was my understanding.

21 BY MR. KOLHATKAR:

22 Q But what if -- what if Theranos changed its
23 mind and decided it -- it wasn't worth it to keep
24 working with Walgreens in -- in, say, January 2014?

25 A Well, we were stuck. We couldn't just work

0338

1 with anybody else.

2 Q Right. So I'm saying, if Theranos just
3 decided it's not worth it, the -- the Walgreens
4 relationship isn't worth it. We're not going to stick
5 to this exclusivity provision. We're going to go
6 contract with Rite Aid or something --

7 A Well, you're talking about what happens if we
8 breached the contract.

9 Q Right.

10 A Well, I don't know. Again, I'm not a lawyer,
11 so that would be out of my league to say what happens
12 when you breach the contract. Obviously, when you sign
13 a contract -- at least my understanding of this was:
14 You don't anticipate breaching the contract when you're
15 signing the contract.

16 I mean, there's remedy provision --
17 provisions there, and I think my understanding was:
18 The worst that would happen is: If we don't work with
19 them, we can't work with anybody else either, at least
20 CVS. Which is -- would have been still a good outcome
21 for Walgreens, that as long as we didn't work with CVS.
22 This is why the whole thing was structured this way.

23 MR. COOPERSMITH: I just want to say for the
24 record, and maybe this is coming, but we're talking
25 about specific terms of a contract as to whether

0339

1 something is going to be refundable or not refundable.
2 And the contract exists. We could look at it. We
3 don't need to have speculation about whether it's
4 refundable or not. I mean, we can look at it with
5 reference to this specific language.

6 So that seems to me a better way. But
7 obviously, you control the record, it's your
8 examination. So -- so go ahead. But I just want to
9 make sure our position is clear that we could go to the
10 contract and then look at the chapter and verse to make
11 sure we're all clear on what it says and what it
12 doesn't say.

13 MR. KOLHATKAR: Sure, sure. And I don't want
14 to get into a long discussion about contract
15 interpretation.

16 BY MR. KOLHATKAR:

17 Q I'm just trying to get a sense of what your
18 understanding of the contract said and -- and what it
19 meant to you at the time.

20 A Yeah. That was my understanding.

21 Q Did --

22 A Again saying -- having said that, I'm -- I
23 will also add that I'm speaking from memory. I'm sure
24 there are specifics there that if I see them, it may
25 refresh my memory and things. I may be misremembering

0340

1 things.

2 Q Did Theranos seek the acceleration of the
3 innovation fee because it was running out of money at
4 the end of 2013?

5 A No, that was not the key reason. We would
6 have, you know, raised the money. We would have --
7 money -- I mean, plus I was there. I would have --
8 just like I bridged the loan to the company before, I
9 could have done that.

10 Q What were Theranos's discussions around its
11 cash position at the end of 2013?

12 A At this point we wanted to broaden our
13 partnerships. We wanted to start thinking about
14 working with CVS and others, and Walgreens knew that
15 that was always the top of our mind. That we didn't
16 want to be just exclusive with Walgreens.

17 And so a lot of this was, you know, done by
18 then in -- just a month before -- or actually, even a
19 couple of weeks before we started negotiating this
20 amendment, the Walgreens CEO and their executive team
21 had invited Elizabeth Holmes and myself to a dinner,
22 executive dinner, in Arizona, and -- I think it was
23 November, but I may be off by a few months. It was
24 cold. It was November.

25 And in the executive meeting, we were the

0341

1 guests of honor, and his -- the CEO and his entire
2 direct reports were there, most of them were there.
3 And he went around the table and he asked pointedly
4 every single person "Tell me how you're going to make
5 sure that Theranos is going to be the most successful
6 project that we have done in the company," or something
7 like that.

8 So these guys were already thinking that we
9 need to make sure that we own this thing and we run
10 with it and we accelerate this thing. They requested
11 this meeting. We didn't go there. We didn't ask for
12 that.

13 So it was their work that brought about these
14 discussions, that triggered it. And they wanted to

15 make sure that we get locked on with Walgreens and not
16 work with anybody else.

17 Q So you're saying that they proposed
18 accelerating the payment of the innovation fee?

19 A No. They proposed -- Walgreens is not going
20 to accelerate payment to you. They proposed the
21 amendment. They proposed increased exclusivity.
22 They -- we were complaining about building out stores
23 because we were not specific enough on what the store
24 is going to look, and they saw how our Palo Alto looked
25 like and this is kind of what we wanted everywhere.

0342

1 So they said, "Yeah, we will do it," but they
2 found an opportunity to lock us down further. And they
3 said, "Well, we want more exclusivity. We especially
4 want to make sure you don't work with CVS." So that
5 triggered it.

6 Q So once you had this amendment in place and
7 you -- you were working on the Walgreens rollout, on
8 the Theranos team, sort of who led the -- I guess, you
9 know, the oversight of the Walgreens project?

10 A I was involved quite a bit, especially
11 through 2014, and I think over time, my involvement
12 with the rollout of the project decreased. I had hired
13 a general manager in Arizona. (b)(6); (b)(7)(C)

14 (b)(6); (b)(7)(C) And we built a team under her, who was an
15 operations person. She was basically making sure that
16 trains are running on time, the phlebotomists are being
17 managed, the couriers are picking up the samples. We
18 also had a lab in Arizona at one point, and she was
19 also managing the administration side of the lab also.

20 Q I guess, who -- who were your primary points
21 of contact on the Walgreens side at the sort of late
22 2013 or late 2014 time period?

23 A There were -- there was no one person. It
24 was a broad team. (b)(6); (b)(7)(C)
25 (b)(6); (b)(7)(C) was one of my primary contacts. There was

0343

1 another person, his name was (b)(6); (b)(7)(C) He was, I
2 think, (b)(6); (b)(7)(C) I forgot his title. He was
3 (b)(6); (b)(7)(C) at that time. And he was put in
4 charge of this project from Walgreens' side, so he
5 became my point of contact.

6 He had another person reporting into him.
7 (b)(6); (b)(7)(C) He also got in touch with
8 me around the operational details, but he was also
9 engaging with my team in Arizona. But he was an ops
10 guy, operations stuff.

11 (b)(6); (b)(7)(C)
12 (b)(6); (b)(7)(C)
13 (b)(6); (b)(7)(C) So he was a,
14 you know, close associate. I used to talk to him.

15 And there was another guy they brought, and
16 (b)(6); (b)(7)(C)

17 [b](6); (b)(7)(C) I forgot the title. I would talk to him.
18 He would be on the calls often.
19 So there were quite a few people who were
20 my -- my point of contact. And obviously, [b](6); (b)(7)(C)
21 were close to [b](6); (b)(7)(C) You know, we loved
22 working with him. So we were a pretty close, like,
23 family with these guys at that time.

24 Q And, I guess, is it fair to say that
25 Walgreens and Theranos in this time period were in

0344

1 pretty constant communication about the specifics of
2 the rollout in Arizona?

3 A I don't know about constant communication
4 about every aspect because there were a lot of
5 things -- there were a ton of details. I mean, this
6 was our entire business. So chances are, not
7 everything. But to the extent possible, people were
8 talking at the operation level, and I was talking to
9 the executives quite often. Yes.

10 Q And, I guess, what did you -- what metrics
11 were important to Walgreens from your perspective?

12 A Well, a number of people coming to the store.
13 If more people come, the more Walgreens makes money.
14 So certainly more people coming to the store. And
15 obviously -- excuse me -- the quality of service was
16 important to both of us because Walgreens technicians
17 were interfacing with the patients. They were checking
18 them in.

19 And in 2014, under the current model, this
20 contract, Walgreens technicians were performing finger
21 sticks. We had spent a lot of time training them. We
22 had created training curriculums. So that was one of
23 the things we were following also that what percentage
24 of customers we were performing a finger stick on. And
25 then the turnaround time of patients samples.

0345

1 So there were a few matrices (sic) related to
2 the service being performed in the store.

3 Q And was -- was the percentage of blood draws
4 that were taken on finger stick verse venous important
5 to Walgreens from your perspective at this time?

6 A From 2013 and '14, yes, it was important. We
7 usually talked about that, so I assumed it was
8 important.

9 Q You described the -- the number of people
10 coming into the stores. Was that commonly referred to
11 as patients per day or patients per month?

12 A Yes.

13 Q I guess, would "patients" be --

14 A They were all terms we used. But, yes, we
15 used to call them patients. But at some point, I think
16 we changed the terminology. We said we should -- we
17 should call them guests like Target does. That's a
18 better name than patient. They may not be sick, so why

19 call them patients?

20 So then we changed it to guests. But
21 unfortunately, the old patients per day kind of stuck.
22 And at least in the software side of the world, once
23 you code something -- and actually, were calling them
24 patients in the code, so it stuck for a while.

25 Q I'm going to hand you another document that's
0346

1 been marked as Exhibit 173.

2 Do you recognize Exhibit 173?

3 A I don't recall it, but it seems like a
4 Walgreens deck.

5 Q Do you -- I mean, does the format look like
6 PowerPoint decks that you had received from Walgreens
7 from time to time?

8 A It looks like a deck prepared by Walgreens,
9 yes.

10 Q And what -- what was the purpose of these
11 decks?

12 A It -- it was -- it was different, I think,
13 most of the times. But this one has a title saying
14 "Executive Steering Committee Meeting," so I guess this
15 was a presentation that some people at Walgreens -- and
16 I forgot, I didn't notice the names -- were making to
17 somebody else at Walgreens.

18 Q And, I guess, what was the Executive Steering
19 Committee?

20 A You know, I didn't understand Walgreens'
21 naming conventions. I think it was people who were
22 responsible for managing a project or steering a
23 project to success, but --

24 Q If you turn to the page ending 48212 --

25 A Yes.

0347

1 Q -- it's sort of a type of org chart that --
2 that describes the Executive Steering Committee,
3 Operating Committee, and then some other information
4 below that.

5 A Yes.

6 Q Do you see that?

7 A I do.

8 Q And do you see that you're listed as a member
9 of the Executive Steering Committee?

10 A I do.

11 Q Did you understand that you were part of sort
12 of the steering committee?

13 A No. I don't -- this -- this was very nice of
14 Walgreens to put my name in there. And I may have
15 attended a meeting. I actually don't even remember
16 attending a steering committee meeting because I don't
17 recognize some of these names.

18 But -- yeah, I don't -- I didn't pay
19 attention to that. I think it may be -- I may be an
20 honorary member because I don't think I was going to

21 Chicago and doing these meetings with these guys.

22 BY MS. CHAN:

23 Q Did you receive this presentation in May of
24 2014?

25 A It seems like it. I mean, it has my --

0348

1 e-mail of my -- I just saw my assistant's e-mail here.

2 It has my e-mail, actually, (b)(6); (b)(7)(C)

3 Q That's the (b)(6); (b)(7)(C) ?

4 A Yes.

5 Q That's your e-mail address?

6 A Yes. That's my e-mail, yeah.

7 BY MR. KOLHATKAR:

8 Q Do you -- do you -- do you recall reviewing

9 it on or about March 14, 2014?

10 A I don't. If they had asked me to look at
11 something over the phone, I may have looked at it, but
12 otherwise -- it may have been that because, as you just
13 pointed out, I was on the steering committee that they
14 sent it to me as an FYI, or maybe I participated over
15 the phone. I don't remember. But I didn't pay
16 attention.

17 Q If you look at the page ending 48215, there's
18 a section on venous draws.

19 A Yes.

20 Q It says, "Originally estimated that by the
21 end of February 2014 would be below 20 percent of draws
22 and below 10 percent by end of August."

23 A Yes.

24 Q Who was providing projections of -- or
25 estimates of venous draw percentages to Walgreens?

0349

1 A Yeah. So, first of all, if you'll notice, I
2 think this is still 2014, so we are early, and end of
3 February, I think we were in three or four stores. So
4 if they were given any estimates on projections on what
5 we were targeting -- I don't think these are
6 projections. This is what I was -- we were targeting
7 because we were also learning and modifying a lot of
8 things in our store.

9 So it probably would have come from me. At
10 this level, I don't think anybody else would have given
11 them these projections, so chances are they came from
12 me.

13 Q And do you see that the -- it says, "Current
14 projections: Below 20 percent by the end of August, 90
15 percent confidence level. Below 10 percent by the end
16 of October, 95 percent" --

17 A Yes --

18 Q -- "confidence level"?

19 A -- I do see that.

20 Q Again, who from Theranos would be providing
21 those confidence levels?

22 A Well, I don't know the confidence level, but

23 the projections on what our targets are for certain
24 timelines probably came from me in conversations with
25 Walgreens around what we were planning on doing in
0350

1 those stores.

2 But I would not -- because a lot of what we
3 were doing depended on Walgreens also. Because they
4 were the ones who were executing. They were the ones
5 who were providing us the technicians who could do the
6 finger sticks. Many times, they didn't have enough
7 people to do finger sticks, so we had to jump in and
8 perform the testing.

9 So this probably was a collaborative effort
10 between me and Walgreens, but primarily, I would have
11 been the person providing this.

12 Q In March of two -- or sorry, May of 2013, did
13 you --

14 MS. CHAN: 2014.

15 BY MR. KOLHATKAR:

16 Q Sorry, 2014, did you have a high degree of
17 confidence that Theranos would have less than 10
18 percent of its test venues at Walgreens on venipuncture
19 by the end of October?

20 A I mean, if I -- if I said this, then I must
21 have had the confidence. The reason was: If you look
22 at how we started performing our services at Walgreens,
23 our contract called for Walgreens' techs performing the
24 services. So only finger stick. And of course, urine.
25 Like you said, that's easy. Walgreens' techs were
0351

1 going to do finger stick. We had put our phlebotomists
2 in those stores to train them and make sure they
3 performed those services correctly.

4 And then our plan was: By May or June, our
5 phlebotomists would leave the store, and they would go
6 on and train the next batch of Walgreens technicians.
7 At that point, only Walgreens' tech would be doing the
8 finger stick, and they could only perform tests that
9 were done -- that were being done by finger stick.

10 Now, as we launched, we started to listen to
11 the market and learn about if that's the right
12 strategy. One of the things that we learned was, like I
13 think I mentioned earlier, that physicians wanted us to
14 do -- provide a broader menu including venipuncture.
15 Physicians generally didn't care about finger stick or
16 venipuncture. And since we had the phlebotomists in
17 the store anyway, and since Walgreens' technicians were
18 not quite trained yet, we added more tests and we
19 started doing venipuncture.

20 So one of the discussion points we were
21 having at Walgreens' was: "Hey, look, there's a value
22 add to having a broader menu, which includes
23 venipuncture because physicians like it, patients
24 certainly like it because of the pricing and they save

25 a lot of money." So we decided that around May, June,
0352

1 or as we moved our technicians out from the stores, we
2 would put them in the Walgreens 24-hour stores.

3 So Walgreens' retail pharmacies have two
4 types of stores. One is just a typical Walgreens
5 store, but then they have a 24-hour pharmacy, which is
6 open 24 hours. And they are -- in almost every state,
7 they have those 24-hour pharmacies. And they also --
8 because they're 24-hour pharmacies, they're bigger,
9 they have more space. They actually have also a
10 dedicated room.

11 So it was easier for us to actually put our
12 phlebotomists there full-time. Maybe also at -- also a
13 Walgreens' technician. The reason was: Now when a
14 patient comes to our store, if we cannot do finger --
15 that -- that lab order from finger stick, we can
16 redirect them to a 24-hour store so we can do
17 venipuncture on them.

18 So some Walgreens stores would have a vena --
19 the 24-hours stores would have a phlebotomist provided
20 by Theranos doing venipuncture. At all of their
21 stores, we were going to do finger stick. Makes sense?
22 Of course you don't have to answer it.

23 But that's the -- but the point was: As we
24 moved towards that model, as it was anticipated in the
25 contract, and obviously, we would have modified the
0353

1 contract to reflect that -- that either Theranos's
2 phlebotomists, or even at some point Walgreens wanted
3 to hire phlebotomists for 24-hour stores --

4 MR. MCKAY: Slow down a little.

5 THE WITNESS: -- by default, the -- most of
6 the tests that were being performed would have been
7 finger stick in the non24-hour stores and only the
8 24-hour stores would do venipuncture, and that would
9 have given us these finger stick percentages.

10 So long answer, but that's the kind of
11 background.

12 Q Just if I could summarize that answer, what
13 you're saying is: Your thought was, these below 10
14 percent would be specific to those --

15 A 24-hour stores.

16 Q -- 24-hour stores?

17 A Correct. It was not just the thought, it was
18 a very serious detailed discussion with Walgreens that
19 that was an excellent plan. Because the choice was:
20 Either you do that or you have a narrower menu, which
21 is finger stick only, and -- and then you lose some
22 customers.

23 But that was the thought that Walgreens liked
24 for a different reason because they thought if all you
25 do is finger stick, and Walgreens' technician across
0354

1 the country can do this, it can scale faster. Because,
2 you know, it's easier to do finger stick.

3 And they had done something similar with --
4 with shots, like flu shots and other shots, so they
5 thought it was an easier model to scale across the
6 nation.

7 Q If you look at the bottom of the slide, it
8 says, "Why a high number of venous draws so far?"

9 A Uh-huh.

10 Q And there's two bullet points provided.
11 "Learning process around ordering patterns" and
12 "Ordering patterns are different than anticipated and
13 Theranos is adding new cartridges rapidly to address
14 these patterns."

15 Do you see that?

16 A Yes, I do.

17 Q In other words, Theranos's validation of
18 assays on finger stick technology was sort of not
19 identified here as -- as a reason; right?

20 A Yeah, because that was not the limiting
21 factor here.

22 Q Why were these the only limiting factors?

23 A Because by May, like I said earlier, we had
24 learned from the market that what people cared about
25 was price transparency, low prices, convenience, quick
0355

1 turnaround time. Those attributes were actually
2 gaining more traction than finger stick. A lot of
3 patients actually didn't care about patient -- finger
4 stick. That's -- the learning -- the ordering patterns,
5 this is what we were learning. The reason --

6 Q I mean -- I'm sorry to interrupt, but I guess
7 this -- this looks like, you know, this is specifically
8 related to a reason for the high number of venous
9 draws.

10 A Yes. Of the -- yeah, go ahead.

11 Q So -- so can you explain, I guess, why these
12 are the -- the two reasons for the percentage of venous
13 draws?

14 A Yeah. Unfortunately, it has a little bit
15 more detailed answer.

16 Even if a -- a doctor orders a whole bunch of
17 test that can be done from finger stick easily, if you
18 order more than a certain threshold, they trigger a
19 venipuncture. Right? Makes sense? Sorry. It does.

20 And -- and what happens is: As we learned
21 more and more, we wanted to go -- and one option was
22 to -- for us to go and optimize our assays to reduce
23 the volume requirements for the assays which are
24 causing the threshold triggers.

25 And this is what it means by -- even on the
0356

1 general chemistry or the most routinely ordered test,
2 the reason why we still triggered a venipuncture is

3 because people just ordered more tests. And what we
4 had anticipated, that some tests will not be ordered
5 with others, was being proven wrong. Also, primarily,
6 because we had a broader menu available.

7 So the combination of those two meant doctors
8 are ordering more tests in some cases, which just
9 directly triggered venipuncture, and in many cases,
10 doctors are ordering tests that we could have done from
11 finger stick, but they were triggering venipuncture
12 because there was more of them.

13 Actually, if I may take just a -- one minute
14 and explain one more thing, if that's okay.

15 Q Sure.

16 A This is one thing that has been difficult to
17 understand for most people because they think you have
18 finger stick, you have venipuncture. What percentage
19 do you do finger stick and what percentage do you do
20 venipuncture? And the simplest way I used to explain
21 to people was using this example. And if that's okay,
22 I would like to share that with you.

23 Q Go ahead.

24 A Yeah. Let's assume a doctor sends you ten
25 patients, and those ten patients have the identical ten
0357

1 tests. Right? Nine of those tests, we can do from
2 finger stick, one we cannot. It requires venipuncture.
3 Okay?

4 And these ten patients came -- and assume the
5 doctor has told us "Fulfill the whole order. Don't
6 remove anything." Now, nine out of those ten tests, we
7 could have done from finger stick, but because of that
8 one test, it triggers venipuncture.

9 Let's say there's another group of ten
10 patients, they also have ten tests -- different doctor,
11 different patients. They have ten tests. All of
12 those, we can do from finger stick, but if you put all
13 ten of them together, it becomes too much blood from
14 finger sticks. It triggers a venipuncture. Right? So
15 in those cases, assuming again the doctor says, "Do all
16 of them or I'm going to send them somewhere else," it
17 triggers a venipuncture.

18 The third one is: In our software, we used
19 to keep track of people who are good bleeders and who
20 are not. And good bleeders is people who can bleed
21 easily from fingers. Even when you give them water,
22 some people just have really tiny fingers, and people
23 who have different fingers, they just didn't bleed very
24 well.

25 So we would capture that information in
0358

1 our -- in our software so that next time when the
2 patient came, we just wouldn't even try a finger stick
3 unless they asked for it. We would just default the
4 venipuncture because the patient experience was being

5 negative.

6 So let's assume ten of those people showed up
7 also, and the software prompted all ten of them be
8 venipunctured. Now, let's assume there's another, a
9 fourth group, and this is the last group that had ten
10 patients. We could do all ten of them from finger
11 stick, but the doctor has said, you know, the example I
12 gave you earlier that "This finger stick is new. I
13 love you for everything else, but not finger stick
14 yet." Let's assume this is that doctor.

15 Now, again, we could have done all those ten
16 tests from finger stick, but the doctor has instructed
17 us to do venipuncture, and the software will
18 automatically default venipuncture.

19 So in this case, we had 40 patients, 400
20 tests. Only ten of those we couldn't do from
21 venipuncture, 390, 97.5 percent, we can do from finger
22 stick. That's just one example of ordering patterns,
23 how it impacted our finger stick versus venipuncture
24 decisions.

25 So even though, technically speaking, if you
0359

1 asked me what percentage of tests you can do from
2 finger stick, in that example, let's assume that's the
3 whole world, I would say 97.5 percent. But if you
4 stood outside the door of the Walgreens and talked to
5 those 40 patients, every one of them got a
6 venipuncture.

7 Q I guess, at this point in time -- so I -- so
8 I understand that, you know, you felt that venipuncture
9 versus finger stick was maybe not the most relevant
10 measure of success given the feedback you were getting
11 from -- from -- from doctors.

12 A Right.

13 Q Did Walgreens continue to track finger stick
14 versus the venipuncture as a metric to the value that
15 Theranos was offering?

16 A Yes, they did.

17 Q I guess, was that a point of frustration to
18 you, then, given -- given this other information that
19 you had?

20 A Not -- not necessarily. In 2014 -- first of
21 all, Walgreens had raw data around finger stick
22 percentages. They always knew anytime a patient came
23 in, when you checked them in, the person doing the
24 check-in is a Walgreens technician. So they, of
25 course, know how many percentages they are getting --
0360

1 of finger sticks that they are getting. So they
2 already know.

3 In 2014, I was still working with them
4 because our contract and business model was what I
5 explained to you earlier, which is: Non24-hour stores,
6 Walgreens technicians doing finger sticks, and then

7 24-hour stores, venipuncture and finger sticks, both.

8 So, no, 2014 all the way through

9 Septemberish, August, not a point of frustration. But

10 around that time -- sorry. Do you want --

11 Q Yeah.

12 A Around that time frame, we started discussing

13 the model shift that it may make sense, more sense for

14 us to take over more and more of performing the

15 service, put our phlebotomists, and -- and we started

16 shifting.

17 Now, even after --

18 Q Sorry, just can you clarify what time frame

19 you're talking about that --

20 A Yes.

21 Q -- that it started shifting.

22 A I think it was around August, September, we

23 had early -- September, these early conversations.

24 Q Of 2014?

25 A Yeah, 2014. Sorry. Yes, good point.

0361

1 And then October 2014, the conversations got

2 deeper, and there were changes -- monumental changes

3 happening at Walgreens. Their management was changing.

4 And I can get into that if you want.

5 And -- but the finger sticks were still

6 important to them and us because it was a

7 differentiating factor. But that's -- that's what it

8 was.

9 And in 2015 -- after 2015, January, the

10 equation shifted because then they effectively became

11 the landlord and we became the tenants. And then that

12 was a different -- a different world for us.

13 Q I want to turn to another slide in this deck.

14 It's ending in Page 48220.

15 And do you see this diagnostic testing

16 timeline? Are you able to read that all or --

17 A I can try.

18 Q You know, I can mark as a -- as another

19 exhibit a blown-up version of this slide.

20 A Oh, you have a bigger one?

21 Q Yeah. I'll mark it as -- I'll mark it as

22 244.

23 A Thank you.

24 (SEC Exhibit No. 244 was

25 marked for identification.)

0362

1 BY MR. KOLHATKAR:

2 Q Do you recognize review -- reviewing

3 timelines like these from -- from Walgreens?

4 A No, I don't recall. Not often. I don't --

5 if -- if they sent me this one, this is probably the

6 only time, or a couple of times more, but not often.

7 Q It looks like in -- you know, there's -- it

8 says, "Pilot ten stores in Arizona, May 14." And then

9 it looks like it's still got pilot stores, 11 stores by
10 August 21. And then it looks like Q1 '15 and Q2 '15,
11 it's market selection and store selection. And then
12 in, looks like, Q4 2015, 500 stores.

13 Do you see that?

14 A Where is the 500 stores?

15 Q Under --

16 A Oh, yeah.

17 Q -- scale, 500 stores.

18 A Yes, I see that.

19 Q And then -- and then there's another market
20 selection and store select --

21 A Yeah.

22 Q -- selection and scale to a thousand stores
23 by Q4 fiscal year '14?

24 A Yes, I see that.

25 Q And was it your understanding in May 2014

0363

1 that Walgreens only planned to open 500 stores by the
2 end of fiscal 2015?

3 A No, that was not.

4 Q What was your understanding about the number
5 of stores Walgreens was planning on?

6 A We had -- we had different meetings and we
7 had different numbers. I recall -- and these are --
8 these are fiscal years, as you pointed out, so you're
9 talking about Q2 fiscal year 2015 would be around
10 January of 2015. Actually, even sooner. August,
11 September, October, November, December, January,
12 February. So in the winter of 2015.

13 We had another meeting, I think, in summer --
14 I don't know when -- when is this slide is from?
15 What's the date for this?

16 Q It looks like to me --

17 A May.

18 Q -- from the May slide.

19 A Yeah. I think we had another meeting that I
20 had participated in in July or August with Walgreens
21 that had 2,000 stores that we had discussed and
22 committed that we are going to push hard to roll out to
23 by fiscal 2016. So these numbers changed.

24 The other thing also is: As I learned, the
25 Walgreens -- this is for an internal audience. This is

0364

1 not for us. And Walgreens guys were very cautious not
2 to overcommit themselves to the CEO and executive
3 committee on what they were going to do. They wanted
4 to under-promise and over-deliver. So -- so that was
5 their mindset also.

6 Q I guess, what -- what gave you the impression
7 that they wanted to under-promise to their executive
8 committee and --

9 A They used to tell me all the time.

10 Q Who at Walgreens?

11 A [REDACTED]
12 This would come up constantly. Like, "Don't" -- and
13 when you're in front of the executives -- because, you
14 know, I'm an entrepreneur, I get excited about
15 technology, so I used to talk to them, and I used to
16 say, "Yeah, we have these possibilities of doing these
17 cool things."

18 And they would say, "No, no, don't -- don't
19 mention those things in front of our executives because
20 then they'll push us to move faster."

21 And so this was a constant, like, not once or
22 twice, constant at Walgreens, always under-promised to
23 the executives.

24 Q You can put -- you can put that aside and you
25 can put --

0365

1 MS. CHAN: I have a couple of questions.

2 BY MS. CHAN:

3 Q So in your earlier testimony, I think you
4 mentioned that one of the metrics that Walgreens was
5 focused on was patients per store per day.

6 A Yes.

7 Q Do you remember that?

8 A Yes.

9 Q What was your understanding as to what
10 Walgreens wanted to see that number get to be?

11 A Well, that's a good question. I think,
12 again, what they had promised the executives is, what I
13 had heard from them was a 10- to 15-patient number is
14 what they -- they -- they talked about. But between us
15 what we used to talk about is, you know, blowing past
16 that number, you know.

17 So we -- we knew from our firsthand
18 experience observing traffic at Quest Diagnostics and
19 LabCorp that those guys get, on average, 80, a hundred
20 plus, sometimes 200, 250 patients per day. So we knew
21 that the potential was very significant in terms of the
22 upside. But that was me -- my conversation with them.

23 Q And who did you discuss the goal of getting
24 to 10 to 15 patients per store per day with?

25 A That was not my goal. I didn't discuss with

0366

1 them. They shared with me that this is what they're
2 telling the senior executives is their goal. I didn't
3 see their -- I didn't see their model. They didn't --
4 they didn't share that with me.

5 Q Who shared that with you?

6 A [REDACTED] There was a guy, he was an
7 accountant. [REDACTED] His name was [REDACTED]

8 [REDACTED] There was -- then [REDACTED]

9 [REDACTED]

10 [REDACTED] I mean, it was a common
11 theme. I used to spend a lot of time with these guys.
12 Like I said, we were close like family.

13 But they used to say, "We are telling
14 everybody 10 to 15. When you get in front of the
15 executives, do not mention a number higher than that
16 because we will under-promise and over-deliver."

17 Q And what was your understanding in the 2014
18 time frame as to the number of patients per store per
19 day that you were seeing?

20 A In what time frame?

21 Q In 2014.

22 A Which time frame?

23 Q Did it -- did it change from --

24 A I don't recall.

25 Q Do you have an understanding as to what it

0367

1 was in 2014?

2 A I think it depended on the stores. There
3 were some stores we were seeing receive 10, 15, 20, and
4 some stores we were seeing five or even less per day on
5 average.

6 We learned later, unfortunately too late for
7 us, that a lot of these stores that were assigned
8 Theranos -- to Theranos were the -- what Walgreens used
9 to call underperforming stores. These are the stores
10 that were not performing for them as pharmacy and other
11 products they sell in Walgreens.

12 So they used to measure the metrics, and they
13 put us in those stores thinking somehow we will
14 sprinkle magic dust and make them high-performing
15 stores.

16 So what happened was: When a certain store
17 doesn't get enough Medicare patients, we -- we also
18 don't get it by -- by default. So -- but it was later.
19 But that's the --

20 Q Who -- who told you that you were being
21 placed in underperforming stores?

22 A We got a spreadsheet from Walgreens that had
23 data on every single store Walgreens owned across the
24 country, the entire country. Sales broken down by the
25 front end, the pharmacy, growth revenue numbers,

0368

1 ranking in a given geography. I had the entire
2 spreadsheet for literally all eighty-eight -- 8,800
3 stores, a ton of data.

4 Q When did you receive that?

5 A I don't remember the date. I think it was
6 maybe 2014ish, mid-2014 probably.

7 Q Who did you receive it from?

8 A I personally didn't receive it. The person
9 who received it was (b)(6); (b)(7)(C) and then he
10 forwarded it to me. Because it was -- we were --
11 actually, it was a follow-up -- follow-on, I think, to
12 the comment that I made earlier that June -- July or
13 August time frame, we were talking about, you know,
14 2,000 stores and we started thinking about where we

15 want to be, what geographies.

16 And in that -- that meeting, I told

17 Walgreens, "Look, you know, you guys, you really
18 need -- the next 200 stores you give us, you've got to
19 give us good stores so we can go and succeed and show
20 that this is a great idea. Because you have given us
21 the toughest market in the nation, Arizona, and the
22 toughest -- the worst stores in the nation -- in your
23 geography. And you need to step up and give us good
24 stores and good geography, good states like New York
25 and California so we can hit home runs."

0369

1 Q And did you understand, when you had these
2 discussions with the Walgreens executives, that, you
3 know, 10 to 15 patients per store per day was the goal
4 and that there would be trouble convincing
5 management -- higher management to roll out Theranos
6 services in Walgreens stores if you weren't able to
7 meet that goal?

8 A No. That's completely not my understanding,
9 and that would also be wrong. Because as you saw in
10 the contract, Walgreens made a hundred-million-dollar
11 commitment to us for a national rollout, and if we went
12 to them and said, "Look, we want to launch in
13 Illinois," and they said, "No, we are not committed,"
14 then we would have launched with CVS. The amendment
15 shows that very clearly. So -- you have -- you have a
16 question, it seems like.

17 Q I don't think anyone wants to interrupt your
18 answer.

19 A Okay.

20 Q So go ahead.

21 A Oh, yeah. So -- no. So it was very clear to
22 us that if they said no, great. We are going to work
23 with CVS or somebody else is the first answer.

24 The second thing is: It is just simple math.
25 If you look at a hundred million dollars and how they

0370

1 were going to make any profit, let alone break even, it
2 meant a very large number of stores with a large number
3 patients coming in.

4 So these guys were all in -- all in. As

5 (b)(6); (b)(7)(C) told us at the dinner that I talked
6 about in Arizona, that this company is all in. We --
7 we are going to make this successful.

8 Q So you're saying because Walgreens had
9 already paid Theranos a hundred million dollars, and as
10 we talked about earlier, that was not returnable to
11 Walgreens, they already made that commitment, so it
12 wouldn't have made sense that Walgreens would want to
13 slow down the expansion of the stores because they
14 wouldn't be able to make that hundred million dollars
15 back?

16 A Well, that's -- that's one reason. What

17 I'm -- there, I'm saying it's just simple logic and
18 math that tells you that's the case. However, there's
19 also the contract where they're saying they're a
20 national partner and the enormous number of
21 conversations that we had with (b)(6); (b)(7)(C) where
22 they said, "We are all in. We want to roll this
23 nationally," there -- there were constant dialogues
24 just all over the place.

25 The reason I was talking about a hundred

0371

1 million dollars is: Walgreens has -- had done a fair
2 market value analysis on the services Walgreens was
3 providing as part of Theranos services and -- under
4 this contract. Which means, you know, they had a
5 technician who was going to do the finger stick.
6 Somebody had to check in the patient. The IT guys have
7 to install the terminal. All of that stuff. They did
8 a fair market analysis. And they told me it was --
9 \$9.65 was their cost of services. That meant only
10 \$0.35. And if they were getting 10 bucks from us as
11 part of the service, \$0.35 was their profit.

12 So in order to even break even on the hundred
13 million dollars innovation payment, you know, you can
14 do the simple math, but my math is: We had to be not
15 only in 1,600 stores, but much more -- many stores.
16 And 10 patients at 1,600 stores would take them years
17 to even break even. And assuming they're not making --
18 making any interest on that investment.

19 So I can do the simple math for you if you
20 want, but it would be -- they -- they needed a large
21 volume to be able to recover that investment.

22 Q But why continue rolling out with new stores
23 if Walgreens wasn't breaking even, as you say, wasn't
24 making money because the patient traffic in stores
25 wasn't meeting the 10 to 15 patients?

0372

1 A You're talking about in Arizona or in
2 general --

3 Q In general.

4 A -- as a -- conceptually?

5 Q Yes.

6 A Yeah. So it's highly likely that if, for
7 instance, we didn't succeed, and let's say we went --
8 went to California and New York, right, we opened a
9 hundred stores or whatever. Right? Let's assume we
10 only went to California, and Walgreens is not -- we are
11 not being successful, then we go to them saying, "Go to
12 the next state." They may say, "No, we don't want to."
13 Fine. We would go work with somebody else like CVS.

14 At that point Walgreens may not grow with us.
15 I don't think there was a commitment from them that
16 we're guaranteeing you 2,000 stores, so --

17 BY MR. KOLHATKAR:

18 Q What was the patients per day number that

19 mattered from Theranos's perspective? I mean, it
20 wouldn't make sense from Theranos's perspective to
21 offer services, you know, to a thousand stores if
22 you're only seeing two patients per day; right?

23 A Yeah. Yeah. I mean, we had modeled that
24 in -- in the financial model to see what the break-even
25 would be, and those -- there were a lot of different
0373

1 assumptions as to how many -- how much money we were
2 spending on the stores. Was it in the current model or
3 the new model because the economics were changing
4 between us and Walgreens.

5 So there were a lot of factors that -- that's
6 the whole purpose of the model. That can you just
7 modulate some -- one and the other, and you'll see
8 where the break-even happens, at what volume per store
9 per day per patients.

10 Also the requisitions, the revenue per
11 requisition, or per patient. Right? And revenue --

12 Q Sorry -- and sorry. I've just -- seen that
13 term a couple of places. Did you always understand
14 revenue per requisition to mean revenue per patient?

15 A No. Requisition is different from patient.

16 Q Can you explain the difference.

17 A Yes. A patient can bring two requisitions.
18 Let's say a doctor gave you a req., and you didn't go
19 to the lab because you didn't want to, and then some
20 other doctor said, "Get this test done." Now you have
21 two requisitions. Right? And you bring them to a
22 Walgreens store. Those are two requisitions. They may
23 be different tests, they may have similar tests, but
24 they're two requisitions even to one patient.

25 Q I guess was it common for a patient to bring
0374

1 in requisitions from multiple doctors?

2 A I don't know if it was common, but it did
3 happen. I don't know how common. I didn't track that.
4 But yes, absolutely, it happened.

5 Q And how would that be tracked in the -- in
6 the patient per day metrics that -- that you were
7 tracking with Walgreens? Would they be tracked as one
8 patient or two?

9 A It would be tracked as one patient. That
10 information didn't split that into requisitions.
11 Because we were providing patients per day, not
12 requisitions.

13 Q In that situation, would you pay Walgreens
14 just one 10-dollar fee?

15 A Yes. Correct.

16 BY MS. CHAN:

17 Q Just looking back at the Exhibit 173. Sorry,
18 if you --

19 A No worries.

20 Q -- can grab that back.

21 So if you'd just turn to the page with Bates
22 ending 214.

23 A Yes.

24 Q You'll see there is an average patients per
25 store per day. As of February 7th, 2014, it's .8.

0375

1 A Yes.

2 Q Do you see that?

3 A Yes.

4 Q And as of May 1st, 2013, it's 3.1?

5 A Yes.

6 Q Was that consistent with your understanding
7 in May of 2014 as to the average patients per store per
8 day that you were seeing in stores --

9 A Yeah.

10 Q -- for these days?

11 A If they're here, then chances are, they're
12 right. Because Walgreens had full visibility into this
13 data because obviously, they were checking people in.
14 So I would guess they are right.

15 Q Okay. And then with respect to the venous
16 draw percentages, as of February 7, 2014, it was 43
17 percent, and then May 1st, 2014, it's 39 percent.

18 Was that also consistent with your
19 understanding of percentage of venous draws that were
20 being performed at that time?

21 A Yeah, venous draws. This is not finger
22 stick, yeah. So that seems reasonably consistent,
23 yeah.

24 Q Okay. Did either of these numbers change
25 significantly in 2014?

0376

1 A I think they -- they used to fluctuate over
2 time. They did change, yes.

3 Q Okay. Did the percentage of venous draws
4 ever go above 50 percent?

5 A I don't remember top of my head, but at some
6 point, they did, yes.

7 Q When?

8 A I don't recall the top of my head, but --

9 Q And how do you know that?

10 A Because I just have a vague memory that our
11 finger sticks and venipuncture fluctuated, and 50
12 percent, they -- you know, at some point they dropped.
13 So I know for sure they went down. I just don't
14 remember when.

15 Actually, you know, you asked me in 2014.
16 The answer to that is: I am not sure about 2014, but I
17 have a memory that it did go down below 50 percent.

18 Q That venous draws went below --

19 A Sorry, venous draws went up.

20 Q Venous draws went --

21 A Above 50 -- 50 percent.

22 Q Or do you mean finger --

23 A No. This one has venous draws here. So it
24 says 43 percent. That's -- actually, when you asked me
25 that question, this is why I did a double-take here.

0377

1 The venous draw percentage went up above 50 percent.
2 That means finger sticks fell -- went down below 50
3 percent.

4 THE REPORTER: 15 or 50?

5 THE WITNESS: 5-0.

6 BY MR. KOLHATKAR:

7 Q What -- do you -- and you said you don't
8 think that happened in 2014 or --

9 A No, no, no. I'm saying I don't remember if
10 it happened in 2014 or not. I know it happened during
11 this time. I just don't know when it happened.

12 BY MS. CHAN:

13 Q And do you know if the -- the average
14 patients per store per day ever changed in 2014? Did it
15 go up?

16 A I wouldn't be able to recall that because as
17 we got to 40 stores -- because the 10 or 20 stores were
18 new, so I don't remember what was the patient volume
19 initially in new stores. But I don't know if they --
20 it changed or not.

21 BY MR. KOLHATKAR:

22 Q I'm going to hand you a document I'll mark as
23 Exhibit 245.

24 (SEC Exhibit No. 245 was
25 marked for identification.)

0378

1 BY MR. KOLHATKAR:

2 Q And just for the record, Exhibit 245 is a
3 multipage document Bates-stamped TS-1052342.

4 It's -- it's a long document. I don't need
5 you to review the whole thing, but generally, do you
6 recognize what Exhibit 245 is?

7 A Yes. It seems like an e-mail from (b)(6);
(b)(7)(C) to
8 me, and Elizabeth, and a bunch of other people.

9 Q And do you recall reviewing this e-mail on or
10 around May 14, 2014?

11 A I don't recall exactly, but it has my name on
12 it, so I probably looked at it.

13 Q If you just look on the first page of 245,
14 the e-mail from (b)(6); (b)(7)(C) And it's sent to WAG
15 Daily Report.

16 A Uh-huh. Yes.

17 Q What is WAG Daily Report?

18 A In Microsoft Exchange, you can create aliases
19 and put a whole bunch of people under that alias. It's
20 like Twitter. So -- and then when you send an e-mail
21 to that alias, it goes to everybody who is on that
22 distribution list. It's called a distribution list,
23 actually.

24 Q Do you know who was on this distribution

25 list?

0379

1 A It changed over time. I mean, I don't recall

2 exactly, but it seems like I certainly was.

3 Q Does it look like from the response that Ms.

4 Holmes was? It looks like she's responding, asking for

5 some additional information to be put on these reports.

6 Do you see that?

7 A Yeah. So she either was on it or somehow she

8 got the e-mail and she responded to it.

9 Q Did -- did Ms. Holmes keep track of -- of

10 this venous versus finger stick percentage that

11 customers were experiencing at Walgreens?

12 A No.

13 Q How do you know?

14 A We didn't have many conversations about it.

15 We had a few. And anytime she needed the number or

16 detail, she would always reach out to me saying, "Can

17 you give me an update of what's going on." And I would

18 give her an update and I would explain to her what in

19 detail was going on. But it was not a frequent

20 occurrence, which led me to believe she's not tracking

21 it.

22 BY MS. CHAN:

23 Q Was Ms. Holmes ever -- was she ever aware

24 that Walgreens thought it was important to reduce the

25 venous draw percentage?

0380

1 A At what -- what time?

2 Q In 2014.

3 A I don't know if I communicated that to her or

4 not. I mean, she knew in general that before summer

5 of -- like I mentioned earlier, that our model was that

6 we will, you know, scale with Walgreens only around

7 finger stick tests.

8 So in that regard at that point, it was

9 important, but I was not -- actually, not briefing her

10 on the operational details or even had Walgreens

11 conversations because that would double the time that I

12 had to spend on the same project, so I was not doing

13 that that often.

14 Q And do you know if she was aware of the fact

15 that Walgreens thought that patients per store per day,

16 that metric, was important to them?

17 A It was important to everybody in general

18 because that's what's -- that was our business. But

19 again specifically if Walgreens had asked me if it was

20 important or not, I don't know. And the reason for

21 that is: A lot of the reasons why we were not seeing

22 the patients per day was because of Walgreens'

23 execution. So they couldn't complain to us too much

24 about patients per day because they were the roadblock

25 on many of those reasons why we were at a low -- low

0381

1 patient count.

2 Q So are you saying that she wouldn't have been
3 aware that that was important to Walgreens?

4 A She may have been aware it was important to
5 Walgreens, but then she was also aware because I told
6 her that these are the reasons why we are slow, and
7 those reasons were Walgreens.

8 So it is possible. And the reason why we
9 didn't talk too much about this topic was: She assumed
10 that at some point, Walgreens was going to get their
11 act together and fix these issues so we could scale it.
12 But that would be my guess. I didn't talk to her about
13 that.

14 BY MR. KOLHATKAR:

15 Q You can put that document aside.

16 In 2014, were you generally familiar with
17 something that's called the Walgreens' Well Experience?

18 A I recall the name, yes.

19 Q What was your understanding of Walgreens'
20 Well Experience in 2014?

21 A Walgreens had started this project where they
22 were going to try to make Walgreens stores better, look
23 better for patients' experience. And so they were
24 doing construction in a lot of stores.

25 And so, for example, (b)(6); (b)(7)(C)

0382

1 (b)(6); (b)(7)(C) had a vision of turning
2 Walgreens into a healthcare company away from their
3 current business, which is, you know, alcohol, tobacco,
4 and candies, and sugar, and this and that. And he
5 really wanted Walgreens to be -- just like Starbucks is
6 the third location between home and office, let's say
7 he wanted Walgreens to be the third location between
8 your doctor and your home. So people could come there.

9 So he was building these Well Experience
10 format stores where they could provide more healthcare
11 services. And as -- part of that would have been a
12 space dedicated to Theranos -- as we scaled the gold
13 and silver locations was part of that.

14 Q And was it your understanding that Theranos
15 would be part of all Well Experience stores, or just
16 that in the process of building out the Well Experience
17 stores, some would be selected for -- for Theranos
18 Wellness Centers?

19 A It won't -- my understanding at that time
20 was: It wasn't necessarily all because we didn't have
21 to be in all stores in a geography to begin with. I
22 mean, they have 8,000 stores. We didn't think we had
23 to be in 8,000 stores. And if you go to New York Times
24 Square, they had like, seven Duane Reades. If you're
25 in just one, you can see the others. Right? So we

0383

1 didn't have to be at all seven Duane Reades.

2 So I would -- I would say not all 2,000, but

3 in general, those stores were picked to be a Well
4 Experience store for a reason. Because they were
5 either more profitable, they had a better clientele.
6 So the assumption was: Those reasons are good enough
7 reasons for whatever other services Walgreens intended
8 to offer. They were thinking about growing their clinic
9 business, the healthcare clinic.

10 Walgreens had a -- I don't know if you know,
11 Walgreens had an urgent care clinic business. They had
12 about 400, 500 locations. And CVS now has about 1,500.
13 So CVS ran with that business and Walgreens, I think,
14 slowly shut it down because they didn't succeed.

15 But that -- those stores were meant for
16 healthcare services, and my expectation and
17 understanding was from many conversations with
18 Walgreens that those were the gold and silver,
19 quote/unquote, locations.

20 Q I'm going to hand you a document that's
21 previously been marked as Exhibit 176.

22 And again, without reviewing the whole thing,
23 do you generally recognize this document?

24 A Yes, I do.

25 Q What is it?

0384

1 A This is a meeting minutes from a meeting that
2 we had had with Walgreens. I cannot tell where we had
3 it, but seems like a meeting minutes.

4 Q And was it -- what were these partnership
5 meetings?

6 A Where?

7 Q What were they?

8 A They changed over time depending on the
9 participants. I would attend when I could. When I --
10 when I did, then the subject would -- matter would be
11 more strategic also, not just operational details. But
12 there were many that I didn't attend, and then in that
13 case, they would be mostly operational in nature.

14 Q And did you receive this document on or
15 around August 11, 2013?

16 A Yeah, it seems like it. That's the -- my
17 name is on the e-mail, I think. Yes, it is there.

18 Q And was it your general practice to review
19 these minutes after these meetings?

20 A No.

21 Q Did anyone at Theranos take a look at these
22 after the meetings?

23 A I never asked anybody, but I wouldn't be
24 surprised if (b)(6); (b)(7)(C) who basically
25 ran these meetings for me when I was in Arizona, would

0385

1 review them to make sure they're accurate and include
2 some of our grievances.

3 Q If you take a look at the last page of the
4 document. It's Bates stamp ending in 54644. Looks

5 like -- and this is all under the heading -- sorry. If
6 you look at the page before, it's all under the heading
7 Plan For Fiscal Year '15.

8 A Yes. Right.

9 Q There's a bullet point that says, "Initial
10 goal for Fiscal Year '15 Sept-Aug was 500 stores. Need
11 to redefine this goal."

12 A Correct.

13 Q And then there's -- there's sort of a chart
14 down below where it shows Well Experience stores in
15 2015 and Theranos stores in 2015.

16 A Actually, sorry for interrupting you. The
17 next line is also important here. It says, "Initial
18 goal for Fiscal '15 was 500," and that's the slide that
19 you showed me earlier, and this is probably the memory
20 that I have. She says, "Need to redefine this goal.
21 Nationwide 2,000 to 2,500 stores" is -- is -- is her
22 comment. So that was my understanding from the
23 meeting.

24 Q And what is the 25 to 35 MSAs?

25 A Metropolitan service areas.

0386

1 Q So you were at this meeting. Your
2 recollection from this meeting in August 2014 was that
3 Walgreens wanted to define up the -- its target for
4 expansion in -- in Fiscal Year 2015?

5 A Yeah. Actually, the -- another key reason
6 for that was the -- around the same time frame,
7 (b)(6); (b)(7)(C) had visited us I think July or August
8 time frame. I may have mentioned that earlier. And he
9 actually wanted to see if we could be in 4,000 stores.

10 Q In 2015?

11 A Yes. And -- because they said, "We have
12 experience rolling services out." They -- he used to
13 talk very proudly about their vaccination services,
14 about how they train people and within, I don't know
15 how many, a year or so, they would roll this thing out
16 nationwide.

17 So they thought they could do it. They had
18 enough training skills. And if we committed to that,
19 we can do it. And I said, "You know, I don't think we
20 will be ready before because there's a lot of work we
21 have to do." And we were also familiar with the
22 performance of Walgreens' technicians, which was not
23 ideal. So we didn't want to pursue that.

24 Q So as of August 2014 or mid-August 2014, what
25 was your view of the number of stores you felt

0387

1 comfortable with projecting for the next year?

2 A I wouldn't remember, but it would be in one
3 of the models that I have. So if I -- you know, I
4 probably had a model that was time-stamped around the
5 September, August time frame, and I probably would have
6 included the number there.

7 BY MS. CHAN:
8 Q Did you say [REDACTED] or --
9 A [REDACTED]
10 Q Yeah.
11 A [REDACTED] Yeah.
12 Q Oh, [REDACTED]
13 A And --
14 Q -- [REDACTED]
15 A Yeah. And he had visited us with [REDACTED]
16 [REDACTED] So they
17 had visited us to have the conversation.

18 BY MR. KOLHATKAR:
19 Q And it's your memory that they communicated
20 at the time that they wanted to expand beyond 2,000
21 stores?
22 A Yes. He actually, I think, may even had used
23 a bigger number than 4,000. But that's too big for my
24 brain, so I remember 4,000. But yes, he mentioned can
25 we roll it out nationally, like 4,000 stores, and be in
0388
1 every 24-hour store. He told me they have 1,600
2 24-hour pharmacies. So he said, "That's easy. You can
3 do that because we already have staff."

4 BY MS. CHAN:
5 Q And when was that meeting?
6 A I think it was the same time, around July,
7 August. I may be off by a month or so, but summer of
8 2014, about this time frame.
9 Q When did [REDACTED] leave the company?
10 A I believe October of 2014.
11 Q And so you were -- in terms of your main
12 contact at Walgreens, was -- was it still [REDACTED]
13 during this time frame?
14 A He was not our main contact. I mean, we --
15 like I mentioned, there were a bunch of other people.
16 He was a busy guy, so he couldn't serve as a main
17 contact. He was one of the main contacts. But during
18 this time, [REDACTED] had direct access to [REDACTED]
19 [REDACTED] so he was a contact. But
20 there were other people we could talk to. And
21 obviously, we could have reached out to him if we
22 wanted, but I wouldn't say he was the main contact.

23 BY MR. KOLHATKAR:
24 Q Around the time that you heard this desire to
25 expand, and -- and your memory thinks that this
0389
1 partnership meeting involved expanding to -- to a
2 higher goal for a Theranos rollout, did -- did [REDACTED]
3 [REDACTED] ever express concerns to you about expansion
4 beyond Arizona?
5 A No. No. Because [REDACTED] was a champion
6 of rolling it out as fast. And he used to say, "If you
7 think 40 is difficult, wait until we are in 5,000" or
8 "4,000" or -- a big number, like nationwide. So no,

9 he -- Walgreens prided themselves at being an execution
10 machine. We just needed to get our stuff ready.
11 Walgreens can roll it out over night. I mean, I'm
12 paraphrasing here, but they had very high confidence.

13 MR. KOLHATKAR: Okay. Go ahead.

14 BY MS. CHAN:

15 Q So if you're looking back at, you know, the
16 page with Bates number ending 54644, there's this table
17 that's under the bullet point that we were just talking
18 about --

19 A Right.

20 Q -- that's got 2015, 2016, 2017. And it's got
21 Well Experience of 2,000 for 2015, 2,000 for 2016, and
22 a 2,500 for 2017?

23 A Right.

24 Q Then it's got Theranos underneath?

25 A Yes.

0390

1 Q And 200 for 2015.

2 A Right.

3 Q 800 for 2016 and eight -- 800 for 2017.

4 What was your understanding as to what this
5 table was portraying?

6 A Yeah. If you go back to the discussion we
7 had about the gold and silver stores, the gold stores
8 are marked as Theranos-only places dedicated to
9 Theranos with an attached bathroom. The silver spaces
10 were developing stores. They -- these -- some stores
11 in some cases had an attached bathroom. I would say
12 most cases, it didn't, but it was possible to build a
13 bathroom. We needed a bathroom for lab services.
14 Sorry, I should have made that clear.

15 So Well Experience is what was, in my memory,
16 constituted as silver stores. And I think they had
17 sent me a few e-mails which explained what a gold and
18 silver would look like and which would confirm this.
19 But gold was a dedicated Theranos space like you see
20 here.

21 Q So your understanding is that these numbers
22 represent the number of gold-level stores that Theranos
23 would be rolling out in?

24 A These would be Theranos-only stores. Now, I
25 don't know if they meant that these are rolling out or

0391

1 they're building out. I cannot tell.

2 But the -- the point I was making earlier was
3 that when we met in this meeting and I remembered the
4 2,000 number was: We talked about in this meeting that
5 we need to roll out because this is an order from the
6 executives that we need to roll out faster. Like I
7 said, [b](6); (b)(7)(C)] visited us with [b](6); (b)(7)(C)] and
8 talked about even bigger numbers.

9 So I didn't read this document then, but in
10 looking at it now, it's consistent with the

11 conversation we had. That when it says "Theranos,"
12 those are gold spaces. That means Theranos-only spaces
13 because Well Experience, we were sharing with other
14 services.

15 Now, they were not really performing other
16 services, so it was kind of exclusive to us, but Well
17 Experience was mostly a shared space. In some cases,
18 it was a small room, in some cases you could actually
19 see -- some of the Well Experience stores, I think in
20 San Francisco, where they have a sliding door. And you
21 do all the patient check-in. All the patients wait
22 outside the door, and then one at a time, you take the
23 patient in and perform the service. And we actually
24 had some Well Experience stores in Arizona that we were
25 using already.

0392

1 MR. KOLHATKAR: Mr. Balwani, we're almost out
2 of time on the tape, and I promised at 6:00 to end for
3 the day. So I think those are all the questions we
4 have for you today. And we appreciate your time today
5 and we look forward to resuming tomorrow. So thank you
6 for your time.

7 We're off the record at 5:59 p.m.

8 THE VIDEOGRAPHER: Off the record 5:59.

9 (Whereupon, at 5:59 p.m., the examination was
10 concluded.)

11 * * * * *

12
13
14
15
16
17
18
19
20
21
22
23
24
25

0393

1 PROOFREADER'S CERTIFICATE

2

3 In The Matter of: THERANOS, INC.

4 Witness: Ramesh "Sunny" Balwani

5 File Number: SF-04030-A

6 Date: Wednesday, August 9, 2017

7 Location: San Francisco, CA

8 This is to certify that I, (b)(6); (b)(7)(C)

9 (the undersigned), do hereby swear and affirm that the
10 attached proceedings before the U.S. Securities and
11 Exchange Commission were held according to the record
12 and that this is the original, complete, true and

13 accurate transcript that has been compared to the
14 reporting or recording accomplished at the hearing.

15

16

17 _____
(Proofreader's Name) (Date)

18

19

20

21

22

23

24

25

0395

1 UNITED STATES SECURITIES AND EXCHANGE COMMISSION

2

3 In the Matter of:)

4) File No. SF-04030-A

5 THERANOS, INC.)

6

7 WITNESS: Ramesh "Sunny" Balwani

8 PAGES: 395 through 768

9 PLACE: Securities and Exchange Commission

10 44 Montgomery Street

11 Suite 2800

12 San Francisco, CA

13 DATE: Thursday, August 10, 2017

14

15

16 The above-entitled matter came on for hearing,

17 pursuant to notice, at 9:05 a.m.

18

19

20

21

22

23

24 Diversified Reporting Services, Inc.

25 (202) 467-9200

0396

1 APPEARANCES:

2

3 On behalf of the Securities and Exchange Commission:

4 RAHUL KOLHATKAK, ESQ.

5 JESSICA CHAN, ESQ.

6 MONIQUE WINKLER

7 MICHAEL FOLEY

8 JASON HABERMEYER, ESQ.

9 Division of Enforcement

10 44 Montgomery Street, Suite 2800

11 San Francisco, CA 94104

12 (b)(6); (b)(7)(C) Fax (415) 705-2501

13 (b)(6); (b)(7)(C) @sec.gov

14 (b)(6); (b)(7)(C) @sec.gov

15

16 On behalf of the Witness:

17 JEFFREY B. COOPERSMITH, ESQ.

18 JOHN MCKAY, ESQ.

19 Davis Wright Tremaine LLP

20 1201 Third Avenue, Suite 2200

21 Seattle, WA 98101-3045

22 (206) 757-8020 fax (206) 757-7020

23 jeffcoopersmith@dwt.com

24 johnmckay@dwt.com

25

0397

1 APPEARANCES (CONT.)

2

3 JAMES E. TOPINKA, ESQ.
4 KELLY M. GORTON, ESQ.
5 Davis Wright Tremaine LLP
6 505 Montgomery Street, Suite 800
7 San Francisco, CA 94111-6533
8 (415) 276-6500 Fax (415) 276-6599
9 jet@dwt.com
10 kellygorton@dwt.com

11

12 Also Present:

13 (b)(6); (b)(7)(C) Videographer

14

15

16

17

18

19

20

21

22

23

24

25

0398

1

C O N T E N T S

2

3 WITNESS: EXAMINATION

4 Ramesh "Sunny" Balwani 400

5

6 EXHIBITS: DESCRIPTION IDENTIFIED

7	246	Exemplary Reports From	
8		Pharmaceutical Partners	
9		Bates TS-000496 through	
10		TS-000546	481
11	247	E-mails Bates THPFM0001145643	
12		through 1145647	519
13	248	Article from Fortune magazine	
14		Bates TS-613 through TS-621	528
15	249	E-mails Bates THPFM0000833200	538
16	250	E-mails Bates TS-0400455	
17		through TS-0400456	569
18	251	Native printout of an	
19		Excel document Bates PFM0017759	572
20	252	Engagement letter from Aranca	
21		Bates SEC-ARANCA-E-0000059	
22		through 69	651
23	253	Aranca report	
24		Bates TS0021420 through 21507	669

25

0399

1

C O N T E N T S (CONT.)

2

3	EXHIBITS:	DESCRIPTION	IDENTIFIED
4	254	E-mails Bates THPFM0000677241	
5		through 677245	675
6	255	E-mails Bates THPFM0003870572	697
7	256	Copy of one version of slide deck	
8		Bates TS315637 through TS0315903	697
9	257	E-mails Bates THPFM0000868711	732
10	258	E-mails Bates THPFM0000868708	732
11	259	E-mails Bates THPFM0000878985	732
12	260	Document	764

13
14
15
16
17
18
19
20
21
22
23
24
25
0400

1 PROCEEDINGS

2 Whereupon,
3 RAMESH "SUNNY" BALWANI
4 was called as a witness and, having been previously
5 duly sworn, was examined and testified further as
6 follows:

7 THE VIDEOGRAPHER: Rolling.
8 MR. KOLHATKAR: We are on the record at
9 9:05 a.m. This is the second day of Mr. Balwani's
10 testimony.

11 MR. KOLHATKAR: Mr. Balwani, do you
12 understand that you're still under oath?

13 THE WITNESS: I do.

14 MR. KOLHATKAR: Just for the sake of the
15 record, would counsel enter their appearances again.

16 MR. COOPERSMITH: Yes. Jeff Coopersmith from
17 Davis Wright Tremaine, representing Mr. Balwani. With
18 me again are John McKay, Kelly Gorton, and Jim Topinka,
19 also for the -- for Mr. Balwani.

20 EXAMINATION

21 BY MR. KOLHATKAR:

22 Q And, Mr. Balwani, since we adjourned your
23 testimony yesterday evening to -- to this morning, you
24 haven't had any substantive conversations with the SEC
25 staff; is that correct?

0401

1 A That's correct.

2 Q So when we -- when we left off yesterday, we
3 were talking about the -- Theranos's relationship with
4 Walgreens. And I want to sort of continue on that

5 topic a little more --

6 A Sure.

7 Q -- at this point if that's okay.

8 I'll hand you a document that's been

9 previously marked as Exhibit 179.

10 THE WITNESS: (Witness reviewing document.)

11 Okay.

12 BY MR. KOLHATKAR:

13 Q Do you recognize Exhibit 179?

14 A I do.

15 Q What is it?

16 A It's an e-mail from [REDACTED] to

17 myself and [REDACTED]

18 [REDACTED] at

19 Walgreens.

20 Q And do you see the sentence that begins "Two

21 areas which must be focused on are patients per day

22 with a four-plus experience"?

23 A Yes.

24 Q "And venous percentage in the 10 percent

25 range"?

0402

1 A Yes.

2 Q What is a four-plus experience?

3 A We used to have an app, it was a survey app,

4 where when the patients were leaving our store, we

5 would ask them "How was your experience?" And we would

6 give them the app. And on the app, they would tell us

7 how things are going. So they would get to rate the

8 checkout and check-in process, the finger stick

9 process, finding the location process on the scale from

10 1 to 5.

11 And so this -- this was an iPad app, and we

12 had, I think, tens of thousands of people who used the

13 app rated our service. And so that's what it was.

14 Q Was it your understanding here what he's

15 telling you is that -- that the companies need to focus

16 on improving the patient experience and the venous draw

17 percentage?

18 A That's what he's saying here.

19 Q And did you understand -- the -- the next

20 line down says, "We need to have a documented detailed

21 plan on both or it will be difficult for me to convince

22 expansion beyond AZ."

23 Do you see that?

24 A I see that.

25 Q In August 2014, did [REDACTED] communicate

0403

1 to you that it would be challenging to expand Theranos

2 services beyond Arizona?

3 A No, he did not. It was also not [REDACTED]

4 [REDACTED] call. [REDACTED]

5 [REDACTED]

6 [REDACTED]

7 Q Did he ever express concerns about convincing
8 more senior management to expand beyond Arizona?

9 A This is what he's saying in this e-mail, but
10 like I said, if there were any concerns about expanding
11 beyond Arizona, they would have been discussed at my
12 level with his senior executives. So the CFO at that
13 time, CEO at that time, and a lot of other people.

14 More importantly, this is also at the same
15 time, maybe a day or two before or after, the document
16 that we got from Walgreens that you showed me yesterday
17 that showed, you know, a plan for 500 has to be revised
18 to 2,000 or whatever stores.

19 So he's -- I don't know what he means by
20 that, but this was not his call whether Walgreens is
21 going to expand beyond 40 stores or not.

22 Q Did he ever, in the -- in August, or
23 September, or October of 2014, did (b)(6); (b)(7)(C) ever
24 provide you with the impression that Walgreens was not
25 going to roll out to additional stores beyond the 41

0404

1 that -- that were currently open?

2 A No. Let me actually give you more
3 information there. In the month of September of 2014,
4 Walgreens' world shifted very significantly. In -- I
5 think it was September or October, in that time frame,
6 Walgreens discovered a 1.1 billion accounting error,
7 and the entire company went in a panic mode.

8 They -- at that -- in October, we had
9 conversations where he said, "Look, every project is
10 being reevaluated because we need to dig ourselves out
11 of this billion-dollar error. We need to save costs.
12 I won't even be able to travel for our meetings
13 anymore. We have to" -- "need to do travels on tele" --
14 "videoconferencing."

15 So in October, things changed. In October,
16 we started talking about, "Look, if you are not going
17 to build out the gold stores, Theranos can do it in
18 good faith." And we started negotiating with them and
19 started the process of saying, "Look, we can take over
20 a lot more responsibility that you originally had
21 anticipated you will be doing, but we need to modify
22 the terms of the contract."

23 So that conversation started in October, but
24 it was not in August.

25 Q And so -- and is that the conversation that

0405

1 ultimately led to a discussion of a rental model in the
2 Walgreens?

3 A Correct.

4 Q And when in October do you think that began?

5 A I don't remember the exact date, but I think
6 it was around early or mid-October. But I believe that
7 in November, again we met with the Walgreens
8 executives. I explained to them, "Look, we can take

9 over more responsibility. That's not a problem. We
10 just want to grow faster."

11 And they said, "We love that idea." They
12 didn't want to spend money on build-outs.

13 And then I think in early December, either
14 the Walgreens executive visited us or maybe around
15 November, I got an e-mail from his boss, the senior
16 executive, who were the decision -- decision-makers,
17 not (b)(6); (b)(7)(C) that they had spoken with (b)(6); (b)(7)(C)

18 (b)(6); (b)(7)(C)
19 (b)(6); (b)(7)(C) And I believe he said he had spoken to

20 (b)(6); (b)(7)(C)
21 (b)(6); (b)(7)(C) And

22 he may also have said that he also spoke with (b)(6);
23 (b)(6); (b)(7)(C) (b)(7)(C)

24 So he sent an e-mail saying, "I've discussed
25 this model. Great model. We should" -- "we should
0406

1 move forward on that."

2 Q And, I guess, what would the -- you -- you
3 said this -- remind me of the time frame you were
4 discussing for that.

5 A Yeah. October would have been after the
6 accounting error was discovered. He -- (b)(6); (b)(7)(C)
7 said, "We won't be able to make investments, but we
8 love this business. We want to grow with you. But we
9 won't be able to make the kind of investments that we
10 had anticipated we will do because of this accounting
11 error."

12 So I said, "That's okay. We can take more
13 responsibility. We will have to change the economics,
14 of course."

15 But -- and they said, "You know, we want your
16 service in our stores. We love your service in our
17 stores."

18 As a matter of fact -- that was in October,
19 sorry, to answer your question.

20 Q And then you had a meeting with -- with the
21 senior executives, you said, in November?

22 A I think it was in November. And I believe in
23 early December, their top brass has -- had visited us.
24 I may be off by a month or so, but about that time
25 frame.

0407

1 Q I'm just trying to get your best memory.

2 A Yeah.

3 Q The -- so this move for the rental model, was
4 that -- was that something that was going to -- that
5 would require more up-front costs for Theranos; is that
6 right?

7 A Well, we were negotiating that. It may have
8 required some up-front costs, but it could have also
9 been because we were going to pay rent to Walgreens.
10 One of the points we were discussing was: Walgreens

11 was still going to build it out, but we would include
12 that in the rent and we were going to lease the space
13 for eight or ten years.

14 So that part was not confirmed or decided.
15 We were still negotiating that piece.

16 Q And was the rental model -- were the
17 discussions around the -- that rental model also going
18 to include a change in the per-patient fee?

19 A Given to Walgreens, yes.

20 Q And what would -- what would -- what would
21 the change be?

22 A You know, I think it was either \$4 per
23 patient or \$6 per patient. I don't remember the exact
24 number. Either it was \$6 per square foot rent and \$4
25 per patient or it was \$4 square -- per square foot, \$6.

0408

1 But it was some combination of those two.

2 So we were going to give them a lease like a
3 landlord, but then there were still some services
4 Walgreens' technicians -- Walgreens' staff still needed
5 to provide. And that \$4 or \$6 was the fair market
6 value of those services.

7 Q So was it your understanding that Theranos
8 didn't roll out to more than 41 Walgreens store because
9 of this shift in management at Walgreens?

10 A It was -- it was -- I think it was -- at that
11 time we thought it was a slow-down because, yes, there
12 was a monumental shift happening at Walgreens, but --
13 and we also, quite honestly, thought this was a great
14 opportunity to negotiate the contract because if we had
15 more control over our spaces, we could maintain our
16 branding. One of the things that we were unhappy about
17 was the patient experience.

18 And this gave us the best of both worlds. We
19 would still be at Walgreens. We would still be able to
20 take advantage of all the reasons why we went to
21 Walgreens. But now we have our own space, our own
22 brand, our own patients. So it was a good trade-off
23 for us.

24 BY MS. CHAN:

25 Q You mentioned there was a conversation with

0409

1 (b)(6); (b)(7)(C) --

2 A Yes.

3 Q -- that you had?

4 Who -- who was (b)(6); (b)(7)(C) at the
5 time?

6 A I think that e-mail came to me from (b)(6); (b)(7)(C)
7 (b)(6); (b)(7)(C) or it may have been from (b)(6); (b)(7)(C) --
8 (b)(6); (b)(7)(C) sorry. (b)(6); (b)(7)(C)

9 Q (b)(6); (b)(7)(C)?

10 A Yeah. (b)(6); (b)(7)(C) His name is (b)(6); (b)(7)(C)

11 (b)(6); (b)(7)(C) So it
12 may have been one of those two people, but I think the

13 e-mail was November or December of 2014. I'm pretty
14 sure it was those months, Q3 -- Q4 of 2014.

15 BY MR. KOLHATKAR:

16 Q So -- so throughout this time period, you
17 know, while you were discussing the Walgreens
18 relationship with senior executives, with more of the
19 operational folks, were -- were you also keeping track
20 of the -- the venous draw percentages that -- that
21 Theranos and Walgreens were discussing?

22 A I must have been. I mean, that was one of
23 the regular things that I would -- I would track, yes.

24 Q And do you recall Theranos improving
25 significantly in terms of the percentage of offering in

0410

1 finger stick versus venous in that time frame?

2 A Do you mean the tests, or the visits, or
3 either?

4 Q The -- well, for --

5 A Yeah.

6 Q -- for -- for the patients.

7 A Yeah. So no, I don't think the number of
8 percentages in -- for finger stick improved
9 significantly, or may -- it may even have gone down.
10 But like I said yesterday that at that point, once we
11 knew we were taking over as the landlord, our focus on
12 chasing an arrangement that we knew we were replacing
13 got downgraded, which would have been add more finger
14 sticks to our menu. Because we had learned now that
15 finger stick is a great differentiator. And it was
16 still in the future, so we were not shying away from
17 it, but other things were more important at that point.

18 For example, if you were going to work with
19 Walgreens in this new capacity, there was this new
20 workload, a new project that was going to be on our
21 shoulders, which was construction and planning about
22 the stores, and finding locations, and so on and so
23 forth. So there was more work coming our way.

24 So yes, I was still tracking finger sticks,
25 but that equation was changing, our relationship was

0411

1 changing with Walgreens.

2 BY MS. CHAN:

3 Q And would all of that -- you know, the
4 construction that you were just mentioning and being
5 able to roll out, would that have slowed down the pace,
6 then, of Theranos's roll out of services in Walgreens
7 stores?

8 A You know, if -- in the short term, it may
9 have had impacted. I don't know. When we met with the
10 Walgreens executives I think in December and again in
11 January, this was a very important project for them.
12 They didn't want to lose it because they were -- the
13 reason we met with them and they all came -- except for

14 (b)(6); (b)(7)(C) (b)(6); (b)(7)(C) came, (b)(6); (b)(7)(C)

15 (b)(6); (b)(7)(C) came. (b)(6); (b)(7)(C)
16 (b)(6); (b)(7)(C)
17
18

19 (b)(6); (b)(7)(C) came to discuss this
20 arrangement. And they made a commitment saying, "Look,
21 don't think of this as our disinterest in what you guys
22 are doing. We still love what you're doing and we
23 still want the exclusivity. We still don't want you to
24 go to CVS. It's just that we cannot make those
25 commitments in construction. So your idea of you
0412

1 spending the money, we love that idea. So we are fully
2 committed and we will get the contract done within 30
3 days."

4 So yes, there was a risk that it may have had
5 slowed things down, and unfortunately obviously, in
6 reality, it did, but, you know, it was not -- it may
7 have been the short term, but I think -- I thought in
8 the long term, we would have more control over how fast
9 we could grow, longer term.

10 Q And this meeting that took place, was that in
11 December of 2014?

12 A I think it was in December of 2014 or early
13 January of 2015. I remember it was cold weather for
14 the Bay Area and it was around Christmastime. So it
15 may have been -- the reason I remember it being around
16 that time was: Because this was very important for
17 them, and for these guys, in their peak holiday season,
18 which is busy season for Walgreens, for all five to
19 find time at the same time, or six, to come visit us in
20 Palo Alto instead of asking us to come was a big
21 gesture, big, big deal.

22 Actually, if I may add a few more things, it
23 was that even all the way through summer of 2015, even
24 now things were absolutely slowing down, the overall
25 long-term plan at Walgreens was still not changing
0413

1 it's -- maybe a part evidence of that was: Two of the
2 participants who were in that meeting who were actually
3 my counterparts at Walgreens, (b)(6); (b)(7)(C)
4 (b)(6); (b)(7)(C) had spoken to me about joining Theranos, to
5 work for me directly. And they had offered to come and
6 help me build the operations organization and scale
7 this business, as we scaled with Walgreens, other
8 retailers.

9 And (b)(6); (b)(7)(C) actually sent me a long
10 e-mail with his resume attached. So they wanted to
11 come work for me and be the point people rolling this
12 project out.

13 BY MR. KOLHATKAR:

14 Q So I guess in October 2014, did you have --
15 did you have an expectation that Walgreens was going to
16 open any more stores within the year?

17 A I had a strong understanding that absolutely,
18 they will. They slowed down. We were modifying the
19 arrangements, but I had absolutely no reason to believe
20 that the overall project is not going to get rolled
21 out.

22 Q My -- my -- my question is specifically did
23 you think in October of 2014 that -- that Walgreens was
24 going to open more Theranos Wellness Centers in 2014?

25 A I don't remember. Our expectation was -- and

0414

1 actually -- I actually think we were planning to -- we
2 had given them a list of additional stores we wanted to
3 open. But like I said, in September there was a change
4 to it. So I don't remember if they -- if that list
5 went to them, we e-mailed them what the stores we
6 wanted -- I actually think we did. We had a
7 conversation with them. We gave them the list of stores
8 that we wanted to open, and we were talking about those
9 stores.

10 But no, I did not get an inclination from

11 them that they were not going to open any stores.

12 Q I guess, how would the process work when --
13 when Walgreens would open a Theranos location? I mean,
14 so, you know, you went from 11 to 41; right?

15 A Right.

16 Q How would -- how would Theranos be informed
17 about potential stores and how -- how would the site
18 selection process work in that time frame?

19 A Well, the first 40 stores, Walgreens picked,
20 as I shared with you yesterday. But we wanted to make
21 sure the next -- that doesn't happen with the next set
22 of stores.

23 So we -- based on the data that we had and
24 based in the -- based on the data that we got from
25 Walgreens -- I shared with you the spreadsheet they

0415

1 sent us. I forgot what time frame -- but we had enough
2 data from Walgreens that told us which stores in
3 Arizona are good.

4 So our team started compiling the stores that
5 we thought we wanted to be in. There were some
6 contracts with Medicaid we were going to lose if we did
7 not have statewide coverage. So I believe we actually
8 picked stores that we wanted to be in, in Tucson,
9 Flagstaff, and a few other places in Arizona so we can
10 have the statewide footprint so we can bid for
11 Medicaid.

12 So it may have been -- I actually don't
13 remember exactly, but I would say it may have been that
14 we took a more aggressive approach on what the next
15 stores that we wanted to be.

16 Q Did Walgreens ever -- in October 2014, did
17 anyone at Walgreens express an affirmative commitment
18 that they would open additional Theranos stores in

19 2014?

20 A I mean, I -- I don't think anybody said
21 explicitly, but my understanding was: Because we had
22 the contract in place and our deal was that we are
23 growing nationally, then, yes, my expectation was:
24 They we will grow. Nobody explicitly told me "Yes, in
25 the month of November, we're opening five," or "In the
0416

1 month of December, we're opening five." That
2 month-by-month plan, we had not laid out. But my
3 expectation was: We will continue to grow and we're
4 going to continue to grow nationally.

5 Q Okay. So just -- so just so I understand
6 your answer there, no one at Walgreens explicitly told
7 you that specific stores would roll out -- let me
8 rephrase that.

9 In October of 2014, no one -- no one from
10 Walgreens gave you sort of a month-by-month rollout
11 plan for the rest of the year; is that --

12 A That's correct.

13 Q -- fair?

14 A Or that's my recollection.

15 BY MS. CHAN:

16 Q When was the last store opened out of the 41
17 stores?

18 A I think it was end of August.

19 Q End of August 2014?

20 A Yes.

21 Q Would September 2014, would that surprise you
22 if that was when the 41st store --

23 A Yeah, it's possible. I may be off by a week
24 or two. Yeah. Yeah.

25 Q Okay.

0417

1 BY MR. KOLHATKAR:

2 Q I'm going to hand you a document that's been
3 previously marked as Exhibit 221. Sorry, it's large.

4 I'm not going to ask you to review this
5 entire document, Mr. Balwani, but I'll just represent
6 to you that Exhibit 221 is a document that represents
7 text messages between -- or messages between yourself
8 and Ms. Holmes --

9 A Uh-huh.

10 Q -- produced by Theranos from her -- from her
11 Theranos-issued phone.

12 A Uh-huh. Yes, sorry. Didn't mean to say
13 "uh-huh."

14 Q And if you turn to the page ending in
15 1036392.

16 A Okay. I have it.

17 Q I mean, just -- I mean, do you understand the
18 general format of what this spreadsheet represents?
19 It's got a date and time, the content of the message,
20 and the -- the recipients, and to/from information?

21 A Yes, I see that.
22 Q If you -- if you look about the fifth line
23 down, do you see an SMS message from sunnybalwani
24 mac.com to Elizabeth Holmes saying, "We can't scale
25 with WAG"?

0418

1 A Page 392?

2 Q 292.

3 A Oh, sorry.

4 Okay. I see that.

5 Q And do you see it's dated November 19, 2014?

6 A I do.

7 Q And did you send Ms. Holmes this message?

8 A Yes. That's my -- my e-mail address.

9 Q And you go on to say, "They are terrible and
10 we need SWY and CVS"; is that right?

11 A Yes. That's Safeway and CVS.

12 Q And if you look a little further down,
13 there's a message from you time-stamped 5:09:5.57?

14 A I see that.

15 Q It says, "They told" -- "They told" -- I
16 guess it looks like "our team in WAG meeting that they
17 don't intend to open more PSCs until July because we
18 missed their IT integration deadline"?

19 A I see that.

20 Q Do you recall that meeting?

21 A I don't recall that meeting, but it seems
22 like that's my text message.

23 Q Did you have a belief in -- in November 2014
24 that -- that Walgreens wasn't going to expand until
25 July?

0419

1 A No, I did not.

2 Q Why not?

3 A First of all -- there are many reasons here.
4 First of all, this one points to a team meeting where
5 some IT guy said they cannot do IT integration.
6 Walgreens' IT, in my opinion, was like dealing with the
7 Soviet Union. If it didn't -- if something didn't make
8 part of their five-year plan, you had to wait for the
9 next five years to -- for that to happen.

10 So just because somebody from IT said
11 something is not possible, I had dealt with Walgreens'
12 IT for -- since 2010. They couldn't even install a
13 printer, but we still were -- managed -- able to
14 launch. When we launched in the 41 Walgreens stores,
15 they couldn't give us Internet connectivity and we put
16 our own DSR routers in Walgreens store.

17 So somebody from IT saying something cannot
18 be done from Walgreens' IT literally meant absolutely
19 nothing.

20 Q Why did you say, "We can't scale with WAG"?

21 A Because I had a lot of frustrations with WAG
22 that I used to communicate to WAG all the time. I

23 mean, in my meetings. I sent a long e-mail in 2015 to
24 their CEOs -- to their president and others. So their
25 quality was not good because we were constantly

0420

1 fighting a battle in making sure that people get
2 trained.

3 So I had frustrations with Walgreens, and
4 this is what -- what I was expressing in this text
5 message.

6 Q I guess, how did your frustrations relate to
7 Theranos's ability to scale?

8 A I don't think we had issues that were -- on
9 our side on inability to scale. We could have scaled
10 to more stores, but --

11 Q I mean, you know, to me, it looks like you're
12 telling -- this message could -- could be read to say
13 that you don't believe that you can scale with
14 Walgreens. Is that -- is that a fair reading of that?

15 A No. I mean, this is a text message. If an
16 important business decision like that was going to be
17 communicated to (b)(6); (b)(7)(C) their company and the board,
18 I would have taken a more formal approach. This is
19 just me expressing my frustration after probably
20 having interfaced with Walgreens' IT guys, which I
21 really didn't like interfacing with.

22 BY MS. CHAN:

23 Q So if you didn't believe that they actually
24 didn't want to scale with you guys and wouldn't be
25 opening any more patient service centers until July,

0421

1 why did you even write this to Elizabeth?

2 A No, I was informing her that somebody from
3 Walgreens' IT made the stupid comment of our team.
4 Now, it demoralized our team. So it was worth sharing
5 with Elizabeth so that if she interfaced with Walgreens
6 (b)(6); (b)(7)(C) she could remember this data point so
7 she can talk to them about it. So it was worth sharing
8 our frustrations.

9 And I often used to bubble my frustrations
10 with Walgreens to Elizabeth because she used to talk to
11 (b)(6); (b)(7)(C) and she would say -- they would ask
12 her how things are going. And she would tell them, you
13 know, we need better chairs. Our check-in process is
14 not good. So there are other things we were
15 communicating.

16 I mean, this was, you know, a big change for
17 these guys to work with an independent company like us,
18 so we always had frustrations with them.

19 Q So earlier, you said that you didn't recall
20 this meeting. Are you recalling that meeting now?

21 A No, no, I don't recall -- I don't think I
22 even attended this meeting. What I'm saying is: In
23 general, the IT guys at -- at Walgreens, what they said
24 something can or cannot be done, I ignored it.

25 Q Okay. But do you remember somebody telling
0422

1 you from Walgreens that they would not be opening any
2 more patient service centers --

3 A No.

4 Q -- until July?

5 A No.

6 Q So you don't remember this text message at
7 all?

8 A No, I don't. I'm just reading it out and
9 saying like I said when I started out, that my
10 assumptions or my -- my experience with Walgreens
11 technicians was that -- the IT guys was: If they said
12 something, I basically ignored it.

13 BY MR. KOLHATKAR:

14 Q I guess if you're ignoring it, why -- why are
15 you raising it to (b)(6); (b)(7)(C)?

16 A Because it's -- like I said, it is important
17 for her to know what our frustrations in the field. We
18 were a small company. This was not IBM where I cannot
19 share this with (b)(6); (b)(7)(C). This was an important
20 partnership. Anytime she spoke with (b)(6); (b)(7)(C)
21 Walgreens and (b)(6); (b)(7)(C) others, which she used to, I
22 wanted her to have this data so that if they say, "Can
23 you do this for us," she could ask, "Then can you
24 please ask your IT to move faster."

25 And that happened all the time. So this was
0423

1 not the only instance when I said, "Hey, you know what?
2 The bathroom space that Walgreens had promised us is
3 terrible. So the next time when you talk to them, can
4 you mention that to them."

5 So this is a routine thing, and she used to
6 escalate these things to (b)(6); (b)(7)(C)
7 often.

8 Q And just so -- just so I'm clear, your best
9 recollection is that the statement here, "We can't
10 scale with WAG," doesn't mean that in your mind,
11 Theranos was going to have -- was going to have
12 difficulty scaling with WAG?

13 A Not at all. Not even remotely. Not even
14 close.

15 BY MS. WINKLER:

16 Q So if you don't remember this meeting that
17 this message was talking about, how did you know that
18 it was an IT person that told you this?

19 A I think it says here, right here, "the IT
20 integration deadline."

21 Q Where does it say that an IT person told you
22 that?

23 A Well, one of the IT guys or somebody on
24 behalf of IT would say that. But typically the
25 corporate guys are not going to be able to make a
0424

1 commitment or a comment on behalf of IT.

2 But like I said, also, I dealt with
3 Walgreens' IT since 2010. And, I mean, I knew people
4 in Walgreens' IT, I knew their systems, what code they
5 wrote. And like I said, literally getting them to even
6 install a printer in the stores was going to be part of
7 the next five-year phase.

8 BY MS. CHAN:

9 Q So you're guessing that it's an IT person who
10 made that comment to you at a meeting?

11 A Yeah, but I'm -- I'm confident this is a good
12 guess that it's an IT person or a corporate person
13 speaking on behalf of IT, which would happen.

14 BY MR. KOLHATKAR:

15 Q If you -- you could turn to the page ending
16 in 6354.

17 A Okay.

18 Q Do you see the message chain starting at --
19 on April 9, 2015, from you to Ms. Holmes? "If contract
20 terms and we don't have 1,000 stores, what happens to
21 50M remaining innovation payment?"

22 Do you see that?

23 A Where are you?

24 Q At the top of 6354, about the --

25 A Yes, yes, I do.

0425

1 Q What are you asking there? Do you -- do you
2 remember having this -- this exchange with Ms. Holmes?

3 A No, I don't, but I can read it and see if I
4 can recall something or understand something.

5 Q Sure. Why don't you just read this page, and
6 then we can go through it.

7 A (Witness reviewing document.) Okay.

8 Q Okay. So going back to my initial question,
9 that line, "The contract terms and we don't have 1,000
10 stores, what happens to 50M remaining innovation
11 payment," what are you asking Ms. Holmes there?

12 A I think I'm discussing with her the --
13 something about the -- we were negotiating the contract
14 back and forth with Walgreens at this point, so it's
15 probably something from that that I'm discussing with
16 her. I don't recall exactly what was the context here.

17 Q Yesterday, we were talking about -- we talked
18 at some length about the -- the innovation payment; is
19 that right?

20 A Correct.

21 Q And you -- and you recall generally saying
22 that it was your general view, subject to minor
23 exceptions, that the innovation payment was -- was
24 Theranos's to keep?

25 A That's right.

0426

1 Q What are you referring to when you're talking
2 about the 50 million remaining innovation payment

3 there?

4 A Right. So we were at this point trying to
5 incent Walgreens to build out faster. This is -- again
6 notice this is April of 2015. So we were trying to
7 incent Walgreens to build out faster. As a matter of
8 fact, we put incentives for Walgreens to move faster at
9 this point in our draft contract. And as part of
10 that -- and this is the discussion that I referred to
11 earlier, which is: Who is going to pay for the
12 construction?

13 We had the 100 million dollars that we
14 thought -- or 50 -- I forgot what was the budget. But
15 we said, we can invest that in construction or have
16 Walgreens invest that in construction and use that
17 money towards growing within Walgreens.

18 Q So, sorry, this is a discussion around using
19 part of the innovation payment for the --

20 A Yes, that -- that was ours that we were going
21 to either invest directly in the stores constructing
22 them or we would provide this as a sweetener, some
23 money of that, to Walgreens to move faster, build out
24 the stores, and if they hit a certain milestone then we
25 will give them cash incentives.

0427

1 Q I guess, what does that have to do with
2 contract terms?

3 A Because we were negotiating the contract.

4 Q "Terms" meaning -- you think that means the
5 terms of the contract and not termination?

6 A Yeah, I think it means if the contract
7 terminates.

8 Q Okay.

9 A Right.

10 Q So I guess what does -- what does the issue
11 of termination have to do with building out more
12 stores?

13 A I mean, I don't recall. I'll have to read
14 the contract to see what terms were -- we were
15 discussing. I mean, if I had the draft, I would be able
16 to probably recall.

17 Q You see Ms. Holmes responds to you at -- at
18 2054:40, "Scale now if need."

19 A Yeah.

20 Q And you respond, "So force build 1,000
21 stores? I don't think that's intelligent."

22 A Yes.

23 Q What did you understand her to be suggesting?

24 A "Scale now" means -- and I'm guessing here
25 again. If I see the contract, I will be able to fill a

0428

1 lot more gaps, but I don't remember that. And this is
2 back and forth that I was doing with Walgreens was that
3 build 8,000 stores ASAP right now and give them
4 incentive to do that.

5 Q If you look a little further down the chain,
6 it looks -- at -- at 2115 and 17 seconds, it looks like
7 you say to her, "I will say we keep 25 no matter what."

8 Do you see that?

9 A Yes.

10 Q What are you suggesting there? I mean, are
11 you talking about keeping \$25 million of the innovation
12 payment no matter what?

13 A Like I said, if I see the contract, I would
14 be able to tell, but it's hard to guess what I meant
15 here.

16 Q I guess you were -- you were familiar with
17 the -- with the innovation payment at this point in
18 time?

19 A Of course, yes.

20 Q And you previously testified that by the --
21 at the time Walgreens paid that innovation fee, it was
22 your understanding that Theranos would get to keep it?

23 A Absolutely. It's also, like I said,
24 documented in the contract pretty well.

25 Q So I'm trying to understand what about the
0429

1 innovation payment you're -- you're negotiating --

2 A We --

3 Q -- here in 2015?

4 A We were not negotiating an innovation
5 payment. We were using this term internally between us,
6 how to use money to incent Walgreens. We were just
7 using this term to define, you know, either 50 or 60 --
8 we -- we had decided that the money that we got from
9 Walgreens, we would invest in the build-out. And this
10 is what the discussion was with Walgreens also. When
11 the executive visited us, we said, "Look, we will pay
12 for the build-out. We have the money."

13 And so we are using that as a -- as a
14 reference point probably at this point. But again, if
15 I see the contract, I would be able to see if this
16 language -- I doubt we used this language in the
17 contract. I'm pretty sure we didn't.

18 Q If you look at the -- your message at
19 2115:44, "But if natural terms, then we return 25."

20 Do you see that?

21 A Yes.

22 Q I guess, in that contract negotiation you
23 were discussing, what -- what -- what would be returned
24 to Walgreens?

25 A I'd like -- I don't remember. If I see the
0430

1 contract, I would be able to -- I'm sure you have a
2 draft of that. I can see if the --

3 BY MS. CHAN:

4 Q What about the contract will refresh your
5 recollection about this exchange?

6 A It will show me what we were negotiating with

7 Walgreens and what were we referring to, which
8 payments, what 25s.

9 BY MR. KOLHATKAR:

10 Q I guess, is it -- what payment would there be
11 to return to Walgreens at this point in time?

12 A It would probably be in the contract, in the
13 draft. If I see this, it would refresh my memory. I
14 would be able to give you a better answer. But I don't
15 know what we were negotiating at Walgreens, what were
16 the specifics.

17 BY MS. CHAN:

18 Q So you think there was a payment other than
19 the innovation fee payment that you're talking about
20 returning to Walgreens?

21 A No, I didn't say that. We are -- this is
22 2015. The contract that I'm -- we were referring to
23 of -- with the -- from -- about the innovation payment
24 was 2012 and the amendment in 2013. That was clear,
25 the innovation payment was us -- was ours.

0431

1 Now we are talking about renegotiating the
2 contract and see if we can incent them to build out
3 more stores on -- the way we wanted to. And we were
4 open to using, you know, 50 million, 60 million, a
5 hundred million, or more if it took, to be able to
6 build out stores and accelerate the expansion the way
7 we wanted it.

8 Now, we may be labeling -- again, if I see
9 the contract, I can probably tell you what we were
10 talking about, but we may be thinking in our heads that
11 "Look, we have this \$100 million. We can use that for
12 construction or for other purposes to build our
13 business."

14 So if I see the contract, I would be able to
15 give you more details.

16 BY MR. KOLHATKAR:

17 Q But, I guess, to the best of your memory,
18 this returning 25 doesn't refer to returning \$25
19 million of the innovation payment?

20 A There's no other money that we had from
21 Walgreens. The innovation payment was ours to keep.
22 We may be using this term internally to describe a
23 concept that we are investing something -- 25 million
24 in Walgreens, so it may be returning that. But again,
25 if I see the contract, I'll be able to respond more

0432

1 accurately.

2 But at this point even here, I had no doubt
3 in my mind that the \$100 million innovation payment was
4 ours per the contract.

5 Q At some point in time, did Theranos stop
6 using its nanotainers in its retail offering through
7 Walgreens?

8 A Yes.

9 Q When was that?

10 A It was around September of 2015, maybe end of
11 August, early September.

12 Q Why did Theranos make that decision?

13 A Well, it was a complicated decision. We had
14 an audit from -- by FDA staff in August of 2015 and it
15 started and concluded in three weeks. And as part of
16 the audit, we were having discussions. The FDA staff
17 looked at one of the two -- we were using two different
18 types of CTNs in the field at that time. One was
19 lithium heparin. The other -- other one was EDTA.
20 They have two different types.

21 Q And lithium heparin, was there an
22 abbreviation used internally?

23 A Li hep.

24 Q Li hep.

25 A Yeah. And that was the -- they were -- they
0433

1 were different chemically and then the design was
2 different.

3 And the FDA had asserted at that time in the
4 audit that the lithium heparin CTN was a Class 2
5 device. During that audit, we reached out to FDA and
6 said, "You know, you have not told us to stop using
7 these CTNs. If you want us to, we will be happy to do
8 it."

9 And they said, "No, that's your decision. We
10 cannot tell you that."

11 You know, FDA sends you a warning letter
12 usually when they want you to do something.

13 Q I guess, were you part of those FDA
14 discussions?

15 A In 2015, I was involved with those
16 discussions, yes.

17 Q Did you receive that communication from FDA
18 saying that -- that they weren't --

19 A I was on the call along with Elizabeth Holmes
20 and our general counsel with (b)(6); (b)(7)(C) from
21 the FDA side, who is the head of OV -- OIV, I think.
22 Wrong acronym maybe.

23 Q Do you remember when that call took place?

24 A Yeah. It was the end of August while the
25 audit was ongoing.

0434

1 And we had called (b)(6); (b)(7)(C) We said,
2 "We don't understand. The audit was supposed to be" --
3 "You know, we don't understand what's the purpose of
4 the audit. Just tell us what you" -- "what you want us
5 to provide so we can provide them."

6 Because the audit was slightly unusual.

7 Instead of the inspector from the field, there
8 were two people from the DC office also, and they were
9 kind of going back and forth between the field
10 inspector and the DC guys where to focus on.

11 So we just called (b)(6); (b)(7)(C) because we
12 had a pretty good relationship with the FDA in the DC
13 office. And we said, "If you want" -- "if you have
14 issues with the CTN, please tell us. We have always
15 worked with you and we will do whatever the FDA tells
16 us to do, and we will make any short-term decision we
17 have to do."

18 And -- and we had submitted the CTN to the
19 FDA for clearance early on in 2014. In December of
20 2014, (b)(6); (b)(7)(C) had a call with Elizabeth Holmes
21 that --

22 Q I guess, were you on this call with --

23 A No, I was not, sorry.

24 Q Okay. So I --

25 A Oh, sorry.

0435

1 Q We can talk about the FDA in a little bit
2 more detail in a minute.

3 A Sure.

4 Q I guess my -- my question was sort of, you
5 know, when did Theranos stop using the --

6 A Yeah.

7 Q -- the CTN at -- at Walgreens and why?

8

9 A That was the answer. So when we talked to
10 the FDA, he said, "I mean, that's your decision." At
11 that point, we said, "Look, we already have a lot of
12 data. We are close to submission." And we as a team and
13 a few members from the board said, you know, "Let's
14 just stop using CTNs, submit all the data, even for the
15 CTNs that are not Class 2 devices," which is what we
16 don't have to submit to the FDA, "and then get all of
17 them cleared, and we'll start using them."

18 So we made the decision to stop using CTNs at
19 that time.

20 Q Who on the board did you have that discussion
21 with?

22 A I think it was general counsel and (b)(6);
23 (b)(7)(C)

(b)(6); (b)(7)(C)

24 Q Okay. Was he on the board at that time?

25 A Yes.

0436

1 Q The -- did you communicate the decision to
2 stop using the CTN to Walgreens?

3 A No, I didn't.

4 Q Did anyone from Theranos?

5 A No.

6 Q Was that an internal company decision not to
7 communicate that to Walgreens?

8 A Yes.

9 Q Why did you reach that decision?

10 A First of all -- this is, again, September of
11 2015. We were in the landlord/lessee model. We were
12 already executing that in the field. I had to stop

13 providing them, to the best of my knowledge, the finger
14 stick percentages. We stopped even discussing that for
15 the most part. And I didn't think there was a need for
16 them to know. We were the lab. We were making all the
17 right decisions for -- for our business.

18 Q If you turn to the page ending in 6476. And
19 this is a -- this is a chain dated October 20 -- 16,
20 2015.

21 Do you see that?

22 A Yes.

23 Q And do you understand that to be after the
24 time the Wall Street Journal started reporting
25 negatively about Theranos?

0437

1 A Yes.

2 Q And if you -- if you just read kind of the --
3 the chain starting at "Okay, WAG freaking out. Lack of
4 transparency." If you could read just kind of through
5 the rest of the page, and then let me know when you've
6 had a chance to review it.

7 A Uh-huh. (Witness reviewing document.)

8 Okay.

9 Q Do you see -- do you see the suggestion from
10 Ms. Holmes there, "Then let's show them that this is
11 literally" -- you understand this discussion to be
12 Walgreens freaking out about --

13 A Yes.

14 Q -- not knowing about the discontinuation of
15 the CTN use?

16 A Yes.

17 Q How did you know that Walgreens was freaking
18 out?

19 A I think I had a call with either (b)(6); (b)(7)(C) or
20 somebody from Walgreens that they said, "We read about
21 this thing, and you should have told us."

22 And I said, "You know, I don't think we have
23 to."

24 But I think I had a brief conversation with

25 (b)(6); (b)(7)(C)

0438

1 Q I guess just talk about your relationship
2 with Nim for a second. I mean, would you call him a
3 friend as well as a colleague?

4 A No. I mean, I would say colleague. I mean,
5 he applied for a job and -- at Theranos, so I
6 wouldn't -- if he was a friend, I would have probably
7 talked to him more about it. But I wouldn't call him a
8 friend.

9 Q I guess, did you communicate on friendly
10 terms generally?

11 A I was on friendly terms with a lot of people
12 at work, but I wouldn't say he was my friend.

13 Q Okay. I guess -- I mean, did he generally
14 give you the impression that he was trying to support

15 the Theranos relationship at Walgreens?

16 A I wouldn't say that. I think he generally
17 gave me the impression that he wanted this project to
18 succeed for Walgreens.

19 Q What's the distinction in your mind?

20 A Supporting -- doing a favorable impression of
21 Theranos means that he was trying to present us in a --
22 in some kind of light, and I don't believe that was the
23 case. Yeah.

24 Q Okay. But he -- he wanted the -- he wanted
25 Walgreens to have a successful rollout of Theranos
0439

1 services?

2 A Yes. That would be my impression.

3 Q Okay. And so it would be natural for him to
4 be concerned with -- by the Wall Street Journal
5 reporting; is that --

6 A Yes.

7 Q -- is that fair?

8 A Yes.

9 Q And Ms. Holmes suggesting here -- I guess,
10 what is Ms. Holmes suggesting here? That --

11 A Which line are you looking at?

12 Q I'm looking at the line that says, at 1931
13 and 12 seconds, "Then let's show them that this was
14 literally" -- "that this literally is still up in air,
15 so we literally just decided since the discussions" --
16 "this discussion is getting aired out in press."

17 You say. "Okay." You say, "However, issue
18 is: We didn't tell them in advance about switching."

19 And then she says, "We'll have to present
20 well that we hadn't decided to"?

21 A Right.

22 Q And you say, "Bad idea. At this point they
23 know, so need to be transparent."

24 What did you understand Ms. Holmes to be
25 suggesting here?

0440

1 A I'd have to read this carefully to put it in
2 context. (Witness reviewing document.)

3 I don't -- at this point, I don't know what
4 she means by "this discussion" -- "since the discussion
5 is getting aired out in the air" -- or sorry, "we
6 literally just decided." So I don't know what she means
7 by that. But if I were to guess, in my previous
8 comment, I said, "I actually even thought about it, but
9 I got too busy to chat."

10 So again, it wasn't -- if it were important,
11 I would have called them. But it was more an FYI.
12 That "Hey, by the way, we are going to do that." But I
13 didn't execute on that. Like I said here, I got -- I
14 am busy with that. And I actually don't remember what
15 she meant -- means by that.

16 Q What are you saying is a bad idea?

17 A That's what I'm trying to remember here.

18 Yeah, I don't recall exactly what the context was
19 there.

20 Q I mean, generally, do you recall what --
21 what -- what suggestion she's making that you think is
22 a bad idea?

23 A I wouldn't be able to guess by looking at
24 just these three lines.

25 Q I'm asking you to look at three lines in the
0441

1 context of, you know, your extensive experience at
2 Theranos.

3 Do you have an understanding based on that
4 experience and -- and reviewing this document what
5 she's suggesting here?

6 A I don't. I don't. Because we were having a
7 lot of discussions, so I don't know which specific
8 point she was referring to.

9 BY MS. CHAN:

10 Q This seems to me like she's saying we should
11 just tell them that we just decided to stop using the
12 nanotainer. Do you not share that view?

13 A No, because Walgreens would know when we
14 stopped using the nanotainer because like I said, they
15 had access to the raw data. They were seeing patients.
16 They were checking in the patients. Every time a
17 patient walked in, they always went to the Walgreens
18 who was using the app to check them in.

19 So Walgreens technician -- if -- Walgreens
20 would absolutely have access to the data on when the --
21 the CTNs were stopped -- stopped using them.

22 Q Okay. Then why are you saying that you got
23 busy and so you never told them? If they already knew,
24 why would there be a need to tell them?

25 A Just as a courtesy.

0442

1 BY MR. KOLHATKAR:

2 Q I guess, would there be any reason for
3 Walgreens to freak out about a lack of courtesy at this
4 time?

5 A You know, Walgreens was worried about the --
6 the media and the negative article. So people were
7 asking them questions that they could answer --
8 couldn't answer, and that was the key issue here is
9 that you should have told us what you're doing because
10 when people ask us and we can't answer, we look like
11 fools. So that was the point -- the reason why they
12 were unhappy.

13 Q I want to turn to Theranos's relationship
14 with Safeway.

15 A Should I put this away (indicating)?

16 Q You can put it on this (indicating). Yeah,
17 why don't we -- there's a rubber band. Why don't we --

18 A I'll just put it -- yeah. Oh, okay.

19 Q Thank you.

20 At some point in time, did you become aware
21 that Safeway was considering writing down its
22 investment with Theranos?

23 A I -- I don't recall that.

24 Q I'm handing you what's previously been marked
25 as Exhibit 126.

0443

1 A Okay.

2 Q Do you recognize Exhibit 126?

3 A I do.

4 Q What is it?

5 A It's an e-mail exchange between myself and

6 (b)(6); (b)(7)(C) at
7 Safeway.

8 Q And was he your primary contact at Safeway
9 for -- for Safeway issues?

10 A Yes.

11 Q And, you know, we talked a little bit
12 yesterday about Ms. Holmes' relationship with -- with

13 (b)(6); (b)(7)(C) is that right? Was (b)(6);
(b)(7)(C)
14 (b)(6); (b)(7)(C) sort of the person you -- you dealt with more
15 after (b)(6); (b)(7)(C) left?

16 A Yes.

17 Q I want to turn to the third paragraph of your
18 e-mail dated February 18, 2014. You say, "I would also
19 like to emphasize that if Safeway" -- or "SWY" --

20 A Yes.

21 Q -- "chooses to write off the note, as
22 communicated to your CFO, there must not be a mention
23 of Theranos."

24 Do you see that?

25 A I do.

0444

1 Q Does that refresh your recollection about a
2 discussion with Safeway about writing down their note?

3 A It does.

4 Q What do you recall about those discussions?

5 A I had a meeting with Safeway I think a week
6 before this e-mail or around this time frame. The

7 other person mentioned here, his name is (b)(6); (b)(7)(C)

8 (b)(6); (b)(7)(C) -- he's CC'd here in the e-mail from (b)(6);
(b)(7)(C)

9 (b)(6); (b)(7)(C) Safeway at that

10 time. They had invited me to meet with them in the
11 headquarters in Pleasanton.

12 And they had said, "You know, we have not" --

13 "we have a need for" -- "there's an audit happening at

14 Safeway, and we need to be able to show that this \$30

15 million that we gave to Theranos, you still have it and

16 you have the ability to repay it. And if you don't do

17 that, then we will have to write it off. And

18 that's" -- "we don't want to do that. It's going to be

19 negative for us, and" -- "and we will have to also say

20 we are writing it off because of Theranos, and it will

21 be negative for you."

22 I said, "No, you cannot do that. If you
23 choose to do that, you cannot mention our name and put
24 some negative attention on us."

25 So that -- that was the discussion.

0445

1 Q And in early 2014, I guess, did -- did
2 Theranos have the ability to repay the \$30 million to
3 Safeway?

4 A I believe so, yes.

5 Q With what funds?

6 A I think we had -- I don't remember exactly
7 how much cash we had, but I think we had funds
8 available at that time. We had 29 or \$30 million cash
9 already. We had raised some capital in December or
10 January of 2014. Actually, PFM had invested as part of
11 that. And we had the payment from Walgreens.

12 Q The -- why was it important to you that there
13 not be any publicity around Safeway's decision to -- if
14 they had decided to write down the note, why Theranos
15 couldn't be mentioned?

16 A Because we had not announced to anybody that
17 we had a contract with Safeway. It was not known in
18 the public yet. And any contract that we were going to
19 announce -- any announcement we were going to make with
20 Safeway we wanted to be a positive announcement.

21 This was also one of the reasons why we were
22 going back and forth with Safeway around where the
23 pilot is going to be, at which location, because we
24 didn't want any negative spin on why Safeway cancelled
25 this model.

0446

1 Q So, I guess, putting this in the context of
2 the Safeway relationship at this time frame, did you
3 understand that Safeway required a pilot before it
4 would roll out to additional Safeway stores in early
5 2014?

6 A Not -- no, not necessarily. Even though it
7 was in the contract, our last discussions with [b](6);
8 [b](7)(C)] which were pretty clear is that he's -- he
9 wanted to move on to the national rollout. And that
10 was the point we were making with Safeway several times
11 that we are past pilot, and we are moving to a national
12 rollout.

13 And as a matter of fact, there was a
14 milestone payment for which we sent the invoice to
15 Safeway because we had an e-mail interchange with [b](6);
16 [b](7)(C)] And I remember that. Elizabeth Holmes had [b](6);
17 sent that e-mail to [b](6); (b)(7)(C)] That "You had already
18 kind of announced to everybody that you are launching a
19 new service in your stores, and that's us, and we are
20 going to do a national launch."

21 And [b](6); (b)(7)(C)] said, "Yes. We are going all
22 the way."

23 Q Okay. But after [REDACTED] left, did -- did
24 other people at Safeway agree with that?

25 A I don't -- I don't think so.

0447

1 Q Why not?

2 A Well, other people at Safeway were trying to
3 forget a lot of things that we had agreed with with [REDACTED]
4 [REDACTED] while [REDACTED] was in the room and they wanted
5 to go back to the contract. And we were pointing out
6 to him that a lot of things that we had agreed on in
7 the contract, as we had agreed on, immediately after we
8 signed the contract, will need to be modified.

9 And we pointed out to him that, for example,
10 the first payment they had made to us of \$25 million
11 was already something that was -- reflected that the
12 contract had changed -- the terms of the contract had
13 changed.

14 Q At any point in 2014, did anyone from Safeway
15 communicate plans to open over a hundred Safeway
16 locations for Theranos in 2015?

17 A Not explicitly. But throughout 2014, several
18 times, I was negotiating with [REDACTED] about, you
19 know, launching our service. We were negotiating the
20 contract. We were going back and forth. But no, I
21 don't recall explicitly if anybody did.

22 Q And in 2014, did you have expectations that
23 Theranos would open more than a hundred Safeway
24 locations in early 2015?

25 A I don't recall. I'll have to see the model

0448

1 to see what I had -- what's modeling.

2 Q I guess any assumptions you had would be
3 reflected in the model?

4 A Yes, I would say so.

5 Q In 2014, were the -- were the two companies
6 discussing the use of a rental model at Safeway stores?

7 A I believe so. I -- I forget the time frame,
8 but I think it was 2014.

9 Q What would the -- what would that rental
10 model have meant for -- for -- for Theranos?

11 A It meant we could take over the Safeway
12 locations that the -- they had built out about 900-plus
13 stores for us. They sold out -- actually, close to a
14 thousand. They spun off some Safeway stores, so I
15 think the final number was about 800 or 850 by this
16 time because they were getting rid of stores as part of
17 their merger with Albertsons.

18 So what that meant was: We would be able to
19 rent or lease that space that was built out for us at
20 Safeway stores and use it and provide our services any
21 way we wanted to. And pay them rent, obviously, in --
22 in exchange.

23 Q And what were the terms of those -- that
24 rent, if you recall?

25 A We were negotiating. I mean, we were going
0449

1 back and forth about what the terms would be.

2 Q In 2014, did anyone at Theranos threaten to
3 terminate the contract with Safeway?

4 A (b)(6); (b)(7)(C) and I had a good relationship, so
5 we used to kind of play that button on each other
6 sometimes, and say, "Look, if you don't want to work,
7 we should terminate." And we both knew we were not
8 going to terminate because they had made a big
9 investment in this, we had made a good investment in
10 this. So we're not planning on terminating. But as
11 part of the negotiations, we used to put pressure on
12 each other.

13 Q Did you tell the board that you were
14 considering terminating the relationship with Safeway?

15
16 A No. We had a discussion with the board that
17 Safeway is not going as fast as we wanted to. There
18 were a few members on the board who knew members on the
19 board at Safeway. (b)(6); (b)(7)(C) was one of them. And
20 he said, "I can call somebody and get them moving
21 faster."

22 And we said, "Yeah, please do."

23 And so we were trying to triangulate that
24 problem from different angles, but our intent was: We
25 are working with Safeway as best as we can.

0450

1 Q I guess, did you communicate to (b)(6); (b)(7)(C)
2 that you had this sort of relationship with (b)(6); (b)(7)(C)
3 where you could, you know, play the termination card,
4 so to speak?

5 A Yes. Yeah. We knew that Safeway guys are
6 extremely tough negotiators, very tough. (b)(6); (b)(7)(C)

7 (b)(6); (b)(7)(C)
8 And he actually, I think, testified in his testimony

9 (b)(6); (b)(7)(C)

10

11

12

13 Q What testimony are you referring to?

14 A (b)(6); (b)(7)(C)

15 Q The -- did you review (b)(6); (b)(7)(C) -- were
16 you there for his --

17 A No, I heard that headline.

18 Q Okay. And again, I'm not going to inquire
19 about what -- you know --

20 A Yeah, thanks.

21 Q -- what was communicated to you through
22 counsel.

23 The -- did you -- I guess, did you speak to

24 (b)(6); (b)(7)(C)?

25 A No.

0451

1 Q Separate and aside from the pilot, I guess,
2 specifically, was Safeway still looking for some sort
3 of proof of concept from Theranos in 2014?

4 A There were some people at Safeway -- they had
5 a new ownership like Walgreens, unfortunately, who, you
6 know, wanted to review what this technology was going
7 to be and what is Theranos. They didn't know about us.
8 And we didn't want to reopen the entire thing -- an
9 entire dialogue with them again, at least with new
10 parties. We wanted to focus on people who had the
11 background because we were not going to engage in
12 another 12 months of contract negotiations with a new
13 group. So yeah.

14 Q Did -- I guess, did -- did Theranos propose
15 any solutions to sort of complete that proof of concept
16 or --

17 A Yeah. We said, "If you really want to do
18 proof of concept as a gesture of goodwill, we can pick
19 a place remote and do a proof of concept there." We
20 actually signed a contract in Wyoming, Blue Cross Blue
21 Shield of Wyoming, in anticipation that we will do a
22 pilot if we need to be in Wyoming with Safeway.

23 And they had 11 locations that would have
24 been away from the public eye. Quietly we could have
25 done a pilot. And if things didn't work out, if they
0452

1 want to cancel at that point, fine.

2 But they wanted to do a pilot in Bay Area
3 right here in San Francisco, and we said, "No." To
4 us -- this is what we talked to (b)(6); (b)(7)(C) about that
5 this is a national launch. This is what we were
6 preparing for. So no, we are not doing a small pilot in
7 California. That was the disagreement.

8 Q And in your mind when you were thinking the
9 national launch with Safeway, were you thinking about
10 using that mix of TSPU, commercially modified --
11 modified commercially available analyzers, and
12 unmodified analyzers?

13 A At Safeway, the services we were providing?

14 Q The blood collected at Safeway would be
15 tested on sort of those three categories of machines?
16

17 A It would be a central lab -- centralized lab
18 model, which is why Safeway recognized that and gave us
19 the first payment when we got our CLIA license.

20 So, yes, it was going to be -- now, with the
21 rental model, we could have done anything. We could
22 have chosen not to offer finger sticks if we didn't
23 want to because they were a landlord. And they're
24 doing that with Quest Diagnostics in some stores. So
25 with the rent model, we owned everything.

0453

1 Q Did you ever communicate to Safeway that --
2 that Theranos was using commercially available

3 technology in its -- in its central labs?

4 A Yes.

5 Q Why?

6 A We had -- 2010, two thousand -- 2011 when we
7 opened our CLIA, all we were doing was venipuncture,
8 and we told them we run them on FDA-clear devices in
9 2010 and 2011. And as a matter of fact, I think some
10 of our reports may have even included the name of some
11 of our devices initially.

12 Q Well, I guess, when discussing the -- the
13 national rollout and the -- or the Wyoming pilot, was
14 there any discussion of using commercially available
15 machines?

16 A Yes. We communicated, like I said, to (b)(6); (b)(7)(C)
17 (b)(6); (b)(7)(C) explicitly we are using those machines now and we
18 will continue to use them for any venipuncture or
19 anything we wanted to use.

20 Q So you mentioned earlier that generally,
21 Theranos didn't disclose what machines it was using
22 because it was a trade secret; right?

23 A No, no, no. What was trade secret was the
24 modified machines, how we had modified commercially
25 available machines and made them do things that people
0454

1 thought was impossible. That was a trade secret. To
2 Walgreens, what I had said was: We didn't want
3 Walgreens to even find out which vendors we do business
4 with because Walgreens would write down those notes and
5 they will go to them at some point if they want to
6 build a lab.

7 So from Walgreens, we kept it as a trade
8 secret. Also from other people we -- that we didn't
9 trust, we kept it as a trade secret. Steve Burd was
10 not one of them. (b)(6); (b)(7)(C)

11 (b)(6); (b)(7)(C) and we didn't worry that under (b)(6); (b)(7)(C)
12 (b)(6); (b)(7)(C) Walgreens is going to violate a confidentiality.

13 So --

14 MR. MCKAY: You mean Safeway?

15 THE WITNESS: Sorry, Safeway. Yeah. Sorry.
16 Thank you.

17 So, yeah, (b)(6); (b)(7)(C) knew that we were using
18 commercial analyzers. Now, I don't think he ever dug
19 into, like, what machines. Which vendor? Tell me the
20 names, you know. That was not his interest.

21 BY MR. KOLHATKAR:

22 Q I guess I'm trying to just understand that.
23 So because you had more trust in (b)(6); (b)(7)(C) that you felt
24 more capable about disclosing more of the technology?

25 A No, not technology, just about -- you're
0455

1 asking me did they know we were using commercial -- the
2 machines that we were using. The answer to that is
3 yes. We were using them and we will continue to use
4 them.

5 Q I guess, what about (b)(6); (b)(7)(C) character,
6 moral character, in -- in your mind, made it okay to --
7 to explain that to him?

8 A Well, he was -- in my observation was -- he
9 was not trying to copy what we were doing. He was
10 trying to lock us down for even 10, 20 years. The
11 contracts that he was proposing was mutually beneficial
12 20-year contracts.

13 Q Did other people share -- at Theranos share
14 that view of trusting Safeway a little more than --
15 than -- than Walgreens?

16 A Trusting (b)(6); (b)(7)(C) more than Walgreens, yes.

17 Q Who shared that view?

18 A Mr. Holmes -- Ms. Holmes, I'm sure.

19
20 Q And what's your basis for that understanding?

21 A Just our interactions with (b)(6); (b)(7)(C)

22 Q I guess, can you recall a conversation with
23 Ms. Holmes where she sort of expressed that sentiment
24 to you?

25 A No. I mean, nothing specific. It was more

0456

1 of, you know, the impression we had of (b)(6); (b)(7)(C) that
2 he's somebody that he's not going to violate our
3 confidentiality.

4 BY MS. CHAN:

5 Q Did you ever disclose to Safeway that you
6 were modifying commercially available machines at -- at
7 Walgreens?

8 A No, we would never do that. Not at Walgreens
9 in our lab. We would not --

10 Q In your lab, but for the Walgreens rollout?

11 A No. For the same reasons, that was a trade
12 secret. We would not do that. And we didn't share
13 that with (b)(6); (b)(7)(C) also for the same reason.

14 Q So what was the status of the
15 Theranos/Safeway relationship in late 2014?

16 A I don't recall exactly, but like I said, 2014
17 and even parts of 2014, we were going back and forth in
18 negotiating the terms of the lease agreement. And I
19 think we were stuck on a couple of point -- key points
20 or two or three key points that we were negotiating
21 back and forth.

22 Q Were there any discussions in late 2014 about
23 rolling out Theranos services in Safeway stores, about
24 actually doing the work to roll out in stores?

25 A You know, the -- the work we had to do at

0457

1 Safeway was not different from Walgreens work. It was
2 identical workflow, same apps, same software. Safeway
3 actually was easier because they had connectivity they
4 were going to provide us. They were -- their IT was
5 better.

6 So there was nothing unique to Safeway that

7 we had to do. Obviously, print new lab order forms so
8 people know to go to Safeway versus Walgreens. But
9 there was nothing -- nothing really different for
10 Safeway that we had to do.

11 Q So my question was: Were there any
12 discussions with Safeway in late 2014 about actually
13 rolling out, printing out lab order forms, getting the
14 rooms ready to open in Safeway stores?

15 A So the lab order form, no. The rooms were
16 basically ready because they were designed for the
17 national launch, so there was nothing again in the
18 rooms that we had to change. Literally even the TVs
19 that we talked about, the bamboo trees were already
20 there. We had to pick them. Safeway had paid for
21 them. Even the chairs that we had wanted the way we
22 wanted. There were also pictures of entrepreneurs or
23 inventors on the walls. The bathroom was built.

24 And their bathroom are clean. We designed
25 them. Everything was done. There was nothing that we
0458

1 needed to do in the Safeway locations. We just needed
2 to move in. It was at -- all the locations were ready
3 to move in.

4 Q So were there any discussions for Theranos to
5 move into those stores --

6 A We were --

7 Q -- in 2014?

8 A No. We were negotiating the contract, and as
9 soon as the -- the terms of the lease agreed upon --
10 were agreed upon, we would have started.

11 MR. KOLHATKAR: Why don't we take a break.
12 Go off the record at 10:09 a.m.

13 THE VIDEOGRAPHER: Off the record.

14 (A brief recess was taken.)

15 THE VIDEOGRAPHER: Rolling.

16 MR. KOLHATKAR: We're back on the record at
17 10:22 a.m.

18 BY MR. KOLHATKAR:

19 Q Mr. Balwani, just to confirm, you didn't have
20 any substantive discussions with the staff during the
21 break; is that correct?

22 A That's correct.

23 Q I'll hand you a document which has been
24 previously marked as Exhibit 119.

25 THE WITNESS: Yes.

0459

1 BY MR. KOLHATKAR:

2 Q Do you recognize Exhibit 119?

3 A I do.

4 Q What is it?

5 A It's an e-mail conversation. An e-mail from
6 [REDACTED] from Safeway to Elizabeth on June 6,
7 2014, and then from [REDACTED] to Elizabeth again and CC'ing
8 two or three other people that I -- I recognize [REDACTED]

9 (b)(6); (b)(7)(C) but I don't recognize the other two.

10 Q And it looks like he's asking for a
11 follow-up -- a response to his e-mail from -- from
12 June.

13 Do you see that?

14 A I do.

15 Q Do you know if Theranos had had any
16 discussions between -- with Safeway from that June to
17 August time period?

18 A I don't recall, but like I said, in 2014, we
19 were talking to them on and off. So I don't remember
20 which month we started and when it stopped. Nothing
21 by -- specifically by month.

22 Q Okay. And the -- again, it looks like from
23 his e-mail, the -- from the original June e-mail, it
24 sort of discusses that point you addressed that (b)(6);
25 (b)(6); (b)(7)(C) would mention, which is the -- they wanted to
0460

1 return to the contract as written; is that -- is that a
2 fair --

3 A Where are you reading?

4 Q I'm sorry, so if you look at the fourth
5 paragraph of this message where he says -- the third
6 sentence, "The contract speaks --

7 A Yes.

8 Q -- "for itself. It has not been amended or
9 terminated."

10 A Yes.

11 Q Is that sort of in line with what you
12 described earlier that they wanted to return to a
13 contract that, I guess in your mind, had been updated
14 by the conversation with (b)(6); (b)(7)(C) ?

15 A Conversations and also by the actions that we
16 had taken since then.

17 Q So what were those actions?

18 A Well, they had given us a 25-million-dollar
19 payment when we had signed up as a centralized lab.
20 The contract as written originally was similar to what
21 we had anticipated we would do at Walgreens Phase 1.
22 And it -- it calls out for us putting our TSPUs on
23 site, and providing cartridges, and so on and so
24 forth.

25 But immediately after signing the contract --

0461

1 actually, (b)(6); (b)(7)(C) sent me an e-mail or message. I
2 recall him saying, "Okay. Now the contract is signed.
3 Let's start negotiating. There's much left here that
4 we need to hash out and discuss more details."

5 And I think around 2011 or 2012 was the time
6 frame where we -- 2011 was when we got the CLIA lab
7 license, actually, and by then, we had already spent
8 more than enough time. The centralized lab model is
9 better -- it's a better model to scale around. And
10 that's why they gave us the 25-million-dollar payment.

11 Because the contract asked for completely different
12 milestones for us until we have earned the
13 25-million-dollar payment.

14 Q At any point in 2015, did -- did (b)(6); (b)(7)(C)
15 (b)(6); (b)(7)(C) -- sorry, let me rephrase that.

16 You note this message still refers to -- to
17 discussion of a pilot.

18 Do you see that?

19 A I do.

20 Q The second paragraph?

21 A Yes.

22 Q At any point in 2014, did -- did Safeway
23 express to you that it was willing to forego the pilot?

24
25 A Yes. (b)(6); (b)(7)(C) did.

0462

1 Q In 2014?

2 A 2013, sorry.

3 Q Yeah. So in 2014, did they --

4 A No, I don't recall, no.

5 Q I'm just going to --

6 A Sorry.

7 Q -- let me get my question out --

8 A Yeah.

9 Q -- for -- just for the record.

10 In 2014, did anyone from Safeway express to
11 you their willingness to forego the pilot?

12 A No. However, in 2014, we were also
13 negotiating the contract. So the pilot would have
14 become obsolete had we signed the lease model. So the
15 two things going -- were going in parallel.

16 Q And when did -- did Safeway and Theranos sign
17 an amended agreement?

18 A No, we did not.

19 Q Okay. At some point, did Theranos terminate
20 its relationship with Safeway?

21 A Not while I was in the company. I think it
22 happened after I left, if it -- if it happened.

23 Q You have no firsthand knowledge --

24 A Correct.

25 Q -- of termination; is that fair?

0463

1 A That's fair.

2 BY MS. CHAN:

3 Q Do you recall having any discussions with
4 Safeway after this August 1st, 2014, e-mail?

5 A I don't recall, but like I said, in 2014, I
6 was engaged in a dialogue with (b)(6); (b)(7)(C) and many
7 times we spent long times on the phone, in person. So
8 I was engaged with him, but I don't recall which month.

9 BY MR. KOLHATKAR: Q. I want to turn -- you
10 can -- you can put that document aside. Thank you.

11 I want to turn to Theranos's relationships
12 with the Department of Defense. We talked about that a

13 little bit yesterday. And is it fair to say that -- I
14 think your testimony was that you weren't the closest
15 at Theranos to -- to those relationships; is that
16 right?

17 A That's correct. I think -- I would say,
18 actually, I barely spent any time on those.

19 Q Did you have some understanding of how much
20 money Theranos received from the Department of Defense?

21 A If it happened before I joined, the answer
22 is: No, I didn't necessarily look at where the money
23 came from prior -- prior to I joined. But after I
24 joined, I don't think it was a significant amount.

25 Q And what do you mean by you don't think it
0464

1 was a significant amount?

2 A I don't know, maybe less than a million. But
3 even that, I'm guessing. But anything more than a
4 million I would have known.

5 Q Okay. So in your words -- in your mind, you
6 didn't think of the DOD as -- as providing a
7 significant amount of funds to Theranos after the time
8 you joined the company?

9 A Significant amount of revenue to the company.
10 Correct.

11 Q Do you know if they provided funds to
12 Theranos beyond what would be considered revenue?

13 A I would not know. I was -- like I said, I
14 was not involved with the DOD significantly.

15 Q At any point in time, did you become aware
16 that the -- that the DOD was reluctant to test
17 Theranos's device absent FDA approval?

18 A So I think that's a very broad statement.
19 The question is: Who at the DOD because they are
20 obviously such a huge department. I recall one meeting
21 that I had -- I was -- I had attended with one guy from
22 one unit from -- I think Fort Detrick or -- actually,
23 maybe -- I may be getting that one wrong. I don't
24 remember where he came from, but he was a lab director
25 from the DOD. It was one of the DOD departments. And
0465

1 he had visited us and he had made that comment.

2 Q Do you recall maybe ballpark when that was?

3 A I don't recall.

4 Q Even generally by year?

5 A I would guess maybe 2012 or 2013ish.

6 Q Did you ever represent to any investors that
7 Theranos had placed a TSPU on an Apache helicopter?

8 A No.

9 Q Did you ever represent to any investors or
10 potential investors that Theranos had placed a TSPU on
11 the battlefield in Afghanistan?

12 A No.

13 Q Would either of those -- would either of
14 those have been true statements?

15 A To the best of my knowledge, I mean, we
16 shipped some units -- some units in the military. I
17 think they went to Africa. If any of them happened to
18 be Afghanistan, I would know. But I knew -- I know
19 that one -- or a few units went to Africa. But to the
20 best of my knowledge, I don't recall Afghanistan.

21 Q So just to answer my question, to the best of
22 your knowledge, that wouldn't have been a true
23 statement?

24 A To the best of my knowledge, that would not
25 be true.

0466

1 BY MS. CHAN:

2 Q Do you ever recall representing to investors
3 or potential investors that Theranos had placed a TSPU
4 on a medevac helicopter?

5 A No. To the best of my knowledge, neither I
6 or nobody I heard say that.

7 Q And had Theranos deployed a device on a
8 medevac helicopter by 2014?

9 A If it happened, I wouldn't know -- I didn't
10 know.

11 BY MR. KOLHATKAR:

12 Q Did you ever hear Ms. Holmes discuss the
13 possibility of using a Theranos device on a medevac
14 helicopter?

15 A Yes. We used to talk about different
16 applications of TSPUs for the future. So including we
17 used to assess what modifications we'll have to make to
18 put a TSPU in a place like Afghanistan and Africa where
19 there's no connectivity. From the software side, I was
20 involved in how would we use cellular connectivity
21 instead of WiFi or Bluetooth and conditions like this.

22 And we did spend a lot of time thinking about
23 the modifications. We actually also had done a
24 demonstration for NASA, and we had actually spent a
25 little time thinking about how we would put something

0467

1 like this in space because the laws of gravity don't
2 work.

3 So we had spent some time on those too. So
4 we did have internal discussions, and with other
5 visitors, we used to say, "Is it possible to do
6 something like this?" And we used to talk about a lot
7 of different possibilities.

8 Q Do you recall any investors or potential
9 investors that talked specifically about the -- the
10 potential military applications?

11 A I don't recall any specific investor. I
12 mean, we didn't used to talk too much about the DOD
13 work with investors because we were not doing much, and
14 at least for the foreseeable future, we didn't have any
15 plans for that. It was not even part of the model. So
16 we didn't spend much time on that.

17 Q So is it fair to say, in your mind, that
18 towards the end of 2014, work for the DOD was not
19 something that was part of the business model in the
20 foreseeable future?

21 A It was not a significant part. If we were
22 going to continue doing some projects for the DOD,
23 which I think we were doing one or two projects, but I
24 was -- like I said, I was not involved with those, it
25 would have not been a significant part of the business

0468

1 which is why I was not modeling it in the -- in the
2 model.

3 Q I guess, did you have concerns with Theranos
4 spending, you know, its limited resources on the
5 project with the DOD?

6 A I mean, every project is a -- is a concern
7 for me. Anytime somebody says, "I'm working on some
8 project," and you have 80-plus PhDs, hundreds of smart
9 people -- people love projects. And I used to go
10 around killing projects and telling people to focus on
11 what we had in front of us.

12 So any project was a concern for me, but DOD,
13 I would say I think the work we were doing was one of
14 those missions for the company that we do want -- want
15 to -- if we had more resources, we would have spent
16 more time and resources even if there was no income.
17 But we didn't want to not do that.

18 Q Why was Theranos pursuing a relationship with
19 the DOD?

20 A Well, we had made a decision that -- first of
21 all, we had unique applications of what we could have
22 done for the soldiers in the field, and there were a
23 lot of applications that we had envisioned that when we
24 put TSPUs in a battlefield -- a lot of our soldiers
25 die, you know, in the first hour or two hours of the --

0469

1 of the time when they get wounded in the field, and
2 there's no way to do a blood test. We were told that
3 soldiers were actually taking blood and putting them on
4 a screwdriver and spinning it manually to centrifuge
5 them in the field. There's no power. There's no
6 connectivity. So we wanted to do something for that.
7 It was part of our mission that we would contribute
8 towards the work that the military is doing. We
9 were -- we were very passionate about that.

10 And we had also made a commitment, like we
11 did with the Medicare and Medicaid, that we will charge
12 the lowest price to the government, to the taxpayers.
13 And our mission was -- and we used to talk about this
14 internally. We may have even mentioned that to a
15 couple of investors, I don't recall, that when we do
16 the work for the military, most likely it's going to be
17 a nonprofit. We are not going to try to make money off
18 of them. We will cover our costs, of course. But we

19 hadn't gotten that far. It was just a discussion.

20 Q You mentioned the centrifuge point. Did --

21 did any of the TSPU 4.X models have an internal

22 centrifuge?

23 A All of them.

24 Q And what about the 3 series?

25 A I think a prototype of the 3 series had a

0470

1 centrifuge also, yes.

2 Q So none of the -- none of the TSPUs -- none

3 of the Theranos -- sorry, let me rephrase that.

4 None of the TSPUs used for commercial testing

5 had centrifuge capability; is that correct?

6 A It was not required in those use cases.

7 Correct. But we could have put a centrifuge, if there

8 was a use case. Like I said, we had a prototype, but

9 none of the commercial ones currently were using

10 centrifuges in the device. Your answer is -- your

11 question is correct.

12 BY MS. CHAN:

13 Q Did you tell Dignity Health or anyone at

14 Dignity Health at the time that they were considering

15 to invest in Theranos that 75 percent of Theranos's

16 revenues came from the DOD?

17 A No, we would not do that.

18 Q And what -- besides deploying Theranos's

19 devices to Africa, are you aware of any other

20 deployments of Theranos's TSPUs to any other part of

21 the military?

22 A Like I said, I was not engaged with the

23 military. There were always, you know, interest, and

24 some devices would go to the military for them to run

25 it themselves, which is why when we sent this to

0471

1 Africa, I recall -- the reason I remember that is: I

2 think we got a letter from the team that evaluated the

3 device who recommended it to the -- some authority in

4 the DOD that of all the promising technology they had

5 seen, Theranos was number one on their list.

6 And so this is why I remember it. But there

7 were other projects like this that could have been

8 ongoing. I spent close to zero time on that.

9 Q So you don't know of any others?

10 A There was one that we were doing for long

11 term. It was a long-term clinical trial that we were

12 doing for burn patients. Whenever soldiers get burned,

13 there are certain markers that we could have identified

14 faster. And I think we had developed all those assays

15 and we had provided all that -- a bunch of devices to

16 the burn unit, I think it was Fort Detrick. But there

17 was some burn unit that was doing research for burned

18 soldiers.

19 And again, we were doing that below cost or

20 cost, is my recollection. Because like I said, it was

21 part of the mission. We were not going to make money
22 from the military.

23 Q Do you know how much Theranos made from the
24 burn study?

25 A I don't recall. It was a long project, so it
0472

1 was started before I joined the company.

2 Q So besides the burn patients and the units
3 that went to Africa, are you aware of any other
4 Theranos TSPUs that were deployed by the DOD?

5 A I mean, nothing comes to my mind. It is
6 possible. Like I said, things are happening. And if I
7 see a document, it may refresh my memory, but nothing
8 specifically comes to mind.

9 BY MR. KOLHATKAR:

10 Q I want to turn to Theranos's relationships
11 with pharmaceutical companies. I think you mentioned
12 yesterday most of Theranos's work for pharmaceutical
13 companies took place before you joined the company; is
14 that -- is that right?

15 A That's correct.

16 Q Do you know how much money Theranos earned
17 from that work?

18 A I don't recall. Because like I said, I
19 didn't pay attention to the revenue prior to '09 when I
20 joined. I knew there was one contract we had with
21 Celgene. I think it was for 3 or \$5 million. Again, I
22 didn't spend much time on that. It was a long time ago
23 in 2009, so I don't remember the particulars.

24 Q And I guess, in the 2013 time period, was
25 Theranos doing any work for -- for pharmaceutical
0473

1 companies?

2 A Not that I recall.

3 Q How about 2014?

4 A Not sure. Not really, no.

5 Q In 2014, was Theranos planning on doing any
6 work for pharmaceutical companies?

7 A Yes. We had plans for that.

8 Q What plans?

9 A We had long discussions with Walgreens about
10 doing clinical trials at our PSCs, our locations. That
11 was part of our project plan. And in the 2010 contract
12 and I believe in the 2012 contract, we had called out
13 us working together to do clinical trials.

14 And my understanding was that Walgreens' --
15 actually, (b)(6); (b)(7)(C) and a few other individuals had
16 told us that they actually had built a team at
17 Walgreens that was calling on pharmaceutical companies
18 and starting -- starting to build the business. And,
19 you know, as soon as we start -- we are ready, we could
20 start on the clinical trials business.

21 The second piece was: We already had these
22 relationships in place that the company had, and now

23 that we had a much more potent solution, a more
24 powerful platform, our plan was to reengage with those
25 customers that we basically had neglected because of

0474

1 the work we were doing for Walgreens because that was
2 kind of an all-or-nothing effort for the company.

3 Q So just on that first point, the Walgreens
4 team you mentioned that was focused on pharmaceutical
5 work, did any of Theranos employees have any contact
6 with that Walgreens team?

7 A I believe there were a couple of meetings
8 that I had with [REDACTED]. I forget other people.
9 But he used to bring people from a lot of other
10 different teams to introduce me to them. And I've met
11 a couple of people from the team. I don't recall the
12 names, but if I hear the names, I'll -- I'll be able to
13 tell.

14 Q Did he ever describe which pharmaceutical
15 companies he was targeting?

16 A He may have mentioned some names. I don't
17 recall which ones. Walgreens actually used to make a
18 point of talking their partnership with Theranos as a
19 key benefit to the pharmaceutical companies when they
20 were negotiating contracts. [REDACTED] told me
21 that. That it was part of the package of working with
22 Walgreens.

23 And same thing with hospitals. They were
24 negotiating a lot of contracts with hospitals to be
25 part of their ACO, Accountable Care Organizations,

0475

1 deals, and they always mentioned Theranos, and a lot of
2 those people wanted to come visit us. Yesterday when I
3 mentioned that not all of my meetings were VIPs, some
4 of them were just meeting people because Walgreens used
5 to bring them, that was part of that -- that effort.

6 But they did -- they -- they mentioned a
7 couple of names to me, I just don't recall which ones.

8 Q How would Theranos have to change its
9 offering in a Walgreens store to -- to account for a
10 pharmaceutical trial?

11 A Well, there are multiple things we could have
12 done. First of all, fundamentally, we didn't have to
13 change anything because when the clinical trial
14 patients come, they usually ask for a lot of these
15 tests we're offering, plus specialty and esoteric
16 tests. Those speciality and esoteric tests, many of
17 them we could have brought on commercial analyzers and
18 also on finger stick as we needed. We had already done
19 that in the past. That was kind of the pharmaceutical
20 business we had -- all the projects we had.

21 So we had a bunch of assays that were used
22 for pharmaceuticals. Now, every clinical trial, my
23 understanding, again, I'm speaking from my
24 understanding, with pharmaceutical companies, when

25 they're developing a new drug, requires a new marker --
0476

1 a new -- assay for a new marker, because they're a
2 novel. You develop those assays, you use them three,
3 six, nine months, twelve months, and then most likely,
4 nobody uses them because they're specific to that drug
5 from that pharmaceutical company.

6 So -- and we had expertise in that. We
7 obviously knew how to develop those assays, especially
8 immunoassays. We were good at that.

9 Q So was part of the strategy then, that
10 Theranos would -- would develop the assays that these
11 pharmaceutical companies would use?

12 A Over time, yes.

13 Q Did Theranos do any work to develop any
14 assays for pharmaceutical companies in 2013?

15 A No, we hadn't started.

16 Q Same in 2014?

17 A Correct.

18 Q The -- the second point you made about
19 Theranos had existing relationships with pharmaceutical
20 companies, do you remember which companies those were?

21 A There were a few meetings that I attended, so
22 I remember those. There was a meeting that I attended
23 with GSK, GlaxoSmithKline. There was one meeting I
24 attended -- one or two with Celgene. I may have
25 attended a couple of other meetings, but those are the

0477

1 ones I had attended personally.

2 Sanofi-Aventis, I had attended a couple of
3 meetings in Europe. I had gone to Europe to do those
4 meetings. But I think I mentioned yesterday in most of
5 those meetings or all of those meetings, I was a fly on
6 the wall because that was early on in my process at
7 Theranos, and I was just learning and being there as an
8 additional executive.

9 So -- but I don't remember the rest. I mean,
10 the company had done work with others. I think we had
11 a project with -- did I mention Centocor? There was a
12 project we had done with Centocor. And that was a very
13 positive project. They gave us a beautiful letter
14 showing how good our technology was.

15 Q So you mentioned those meetings with GSK,
16 Celgene, and Sanofi. Do you think those were all sort
17 of early on in your tenure with the company?

18 A That's correct. I think 2009 or 2010.

19 BY MS. CHAN:

20 Q Did you have any meetings with them in 2013
21 or 2014?

22 A I personally did not.

23 Q Do you know if anyone at Theranos did?

24 A Not that I knew of.

25 Q Did -- were you aware of any meetings taking

0478

1 place between Theranos and any pharmaceutical companies
2 in 2013 or 2014?

3 A Not that I was -- I was a part of. Elizabeth
4 or somebody else was doing that. Again, just like the
5 DOD, if I was not engaged with that part of the
6 business, then I wouldn't necessarily remember on that.

7 Q And so who was responsible for the
8 pharmaceutical services business at Theranos?

9 A Well, I mean, it depending on -- depended on
10 how you look at it. Initially when we were engaged
11 with pharmaceutical companies, there was a direct model
12 where we were going to work with them on clinical
13 trials. We used to put our TSPUs on the site, ship
14 them cartridges. They used to run everything, or their
15 doctors or the nurses, whoever. And so that was one
16 model. That happened before I joined the company, and
17 I think, like I mentioned, there were a couple of
18 projects that were ongoing.

19 The other model that I was talking about, the
20 clinical trials at Walgreens, I would have been
21 responsible for that. But that, again, is not, like a
22 special service necessarily to pharmaceutical
23 companies. It's more a joint clinical trial where
24 Walgreens would be the location. Walgreens wanted the
25 pharmacists to play a bigger role. They thought
0479

1 pharmacists was a very underutilized resource they had,
2 and they wanted to bring the pharmacists out. And they
3 thought what we were providing in laboratory services
4 and diagnostic services and with the pharmacists was
5 the killer combo.

6 So there was not much work that we had to
7 do -- I had to do to be differentiated for
8 pharmaceutical services. The contracts could have been
9 signed by us, Walgreens, and the pharma guys, or maybe
10 just Walgreens and the pharma guys, and we would just
11 provide services.

12 Q So I understood your answer to be that you
13 would have been responsible for any of the clinical
14 trials work that you were discussing with Walgreens.
15 What about the other work that you might start up again
16 with the pharmaceutical companies, who would have been
17 responsible for that?

18 A We probably would have hired some other
19 person to lead that.

20 Q Okay. And then historically, who was
21 responsible for the pharmaceutical --

22 A Well, historically --

23 Q -- company relationships --

24 A Sorry.

25 Q Sorry. Who was responsible for the

0480

1 pharmaceutical company relationships?

2 A Like I said, historically, all the work was

3 done before I joined the company. Elizabeth was the
4 CEO of the company at that time, and I think there were
5 40 or 45 people. So she must have been involved would
6 be my guess.

7 And there were other people -- like I said,
8 it was a small company. So my guess is (b)(6); (b)(7)(C)
9 (b)(6); (b)(7)(C) was a point of contact with the technical people,
10 and there were a few other people in those days, I
11 don't remember the names, that were involved with
12 the -- with the work.

13 Q Okay. But Elizabeth would have been
14 overseeing the relationship?

15 A She would be part of that. We actually had
16 another guy. I forgot his name. He's a -- he was a
17 French guy. And we hired him from GSK because he was a
18 VP who used to work for GSK. And when he saw our
19 product and he saw, you know, he used it. He was the
20 customer. And then after some time, he said, "I want
21 to be part of what you guys are doing."

22 And he was a VP-level guy. So he came and he
23 oversaw our relationship. But I forgot -- he was early
24 on. I forgot the guy's name.

25 Q Okay. And he left Theranos sometime before

0481

1 2013?

2 A Yes.

3 BY MR. KOLHATKAR:

4 Q I'm going to hand you what I'll mark as
5 Exhibit 246. For the record, 246 is a document
6 Bates-stamped TS-000496 through TS-000546. I'll
7 represent to you that the company -- that Theranos has
8 provided this as the -- as part of the materials that
9 were provided to (b)(6); (b)(7)(C)

10 A Okay.

11 (SEC Exhibit No. 246 was
12 marked for identification.)

13 BY MR. KOLHATKAR:

14 Q Have you seen Exhibit 246 before?

15 A Probably not as compiled here, but there's
16 some content here that I may have seen.

17 Q So I understand that the 246 is comprised of
18 a couple of different -- a couple of different things.

19 So have you seen like -- why don't we start
20 with the cover page. Have you seen the cover page
21 before, just the exemplary pharmaceutical reports
22 from -- exemplary reports from -- sorry, let me
23 rephrase that and slow down.

24 Do you see the cover page? It says
25 "Exemplary Reports From Pharmaceutical Partners"?

0482

1 A I do.

2 Q Do you recognize this?

3 A No, I don't.

4 Q The -- the second page, it looks like a

5 document that has the Biothera and Theranos logos?

6 A Yes, I do see that.

7 Q Do you recognize that document?

8 A I don't.

9 Q If you turn to the page ending in 530,
10 there's a document that has the Schering-Plough and
11 Theranos logos.

12 Do you see that?

13 A I do.

14 Q Do you recognize that document?

15 A I don't.

16 Q Turn -- and those are the two in there -- in
17 this one.

18 You don't recognize either of these two
19 documents?

20 A I don't.

21 Q Did you review them during your time at
22 Theranos?

23 A Like I said, I may have seen bits and pieces
24 of this in other places, but I don't recall reviewing
25 the way it is here.

0483

1 Q And it looks like I missed one. If you could
2 turn to TS-524, there's a document with the GSK and
3 Theranos logos on it.

4 Do you see that?

5 A I do.

6 Q Do you recognize this document?

7 A I don't.

8 Q Do you have any understanding of -- of who
9 drafted the reports that appear in Exhibit 246?

10 A I wouldn't be able to tell. If I --
11 actually, I never saw -- I don't recall -- I don't
12 recall seeing them, so maybe I shouldn't even guess.
13 But no, I don't.

14 Q Did you ever hear anyone at Theranos describe
15 drafting these reports?

16 A No, I don't.

17 BY MS. CHAN:

18 Q Did you ever send reports that was portraying
19 work that Theranos did with pharmaceutical partners to
20 potential investors or investors of Theranos?

21 A I wouldn't specifically recall if this or
22 something like this was sent to an investor or more
23 than one investor, but it wouldn't surprise me because
24 sometimes you will engage in a dialogue and somebody
25 will say, "Oh, that's interesting. Send me more

0484

1 content" or "literature" or "something to read," and we
2 would send them something on that.

3 So it wouldn't surprise me. But I don't
4 recall me sending this, at least. Or if I did,
5 somebody gave it to me and I just attached it and sent
6 it out.

7 Q Were there occasions when you would -- you
8 know, somebody would just give you documents and you
9 would just attach it to an e-mail and send it to
10 potential investors?

11 A I don't recall. I was just saying that if
12 something like this that I don't remember and is not my
13 expertise, if I did send it to somebody, then it would
14 be like that. But I don't recall me doing that. It's
15 possible. Yeah.

16 Q I'm trying to understand what your
17 practice --

18 A Sure.

19 Q -- is usually.

20 So if you were sending materials to potential
21 investors, would you, in practice, review them prior to
22 sending them out?

23 A No, not necessarily. If it is something that
24 I didn't understand, it's like me trying to focus on
25 Chinese letters. You know, I recognize some of them,
0485

1 but looking at them harder doesn't help me. So if it's
2 something I didn't recognize, I would just assume if
3 somebody would send it to me, there's a reason behind
4 it, and I would send it out.

5 Q Do you recall instances in which you didn't
6 review materials before sending them to investors or
7 potential investors?

8 A I don't recall but, you know, in an e-mail
9 that I reviewed it or not. If I look at something,
10 maybe it will refresh my memory, but I don't recall.

11 Q Why wouldn't you review them prior to sending
12 them out?

13 A The reason is: Almost every case the
14 investors we were dealing with were -- we viewed them
15 as strategic partners. So it was more of information
16 exchange, not necessarily because of these two reports,
17 this may change investment -- an investment decision.
18 It was, you know, either somebody's curiosity or
19 somebody wanted to think about, you know, in their
20 business, how this -- Theranos is going to impact their
21 business.

22 So it was more of a strategic partnership
23 dialogue rather than an investment dialogue. And at
24 least the investment meetings that I attended, I would
25 say many of the meetings, 90 percent of the discussion
0486

1 was around how the two companies could work together.
2 Most of these guys that we met with in '14, '15 were
3 either entrepreneurs or business leaders. So our
4 discussions were more around the impact, how we work
5 together.

6 And as part of that, they would say -- if
7 somebody said, "Send me the document. I would like to
8 read that more," then we would just send it to them as

9 an informational exchange. So I didn't have to read
10 all of that.

11 Q Okay. So you thought that the people that
12 you were sending materials to wouldn't be relying on
13 the materials to make their investment decisions, so it
14 wasn't -- it wasn't worth it to just review it prior to
15 sending it to them?

16 A I didn't think about that that way. I was
17 thinking literally like there's a business partner here
18 on the other side and they have asked me for
19 information, and we are exchanging information. So
20 that's kind of how I was thinking.

21 Q Why wouldn't it be important, though, to send
22 sort of accurate information out to them?

23 A Well, if it was -- if I knew it was
24 inaccurate, of course I would not send it out to them.
25 So my assumption was that whoever, the scientist or
0487

1 whoever, compiled the information is making sure it's
2 accurate. I mean, I -- I had no reason to believe that
3 there were people working around me who were dishonest
4 or working on inaccurate stuff.

5 So if somebody sent me something, I would
6 absolutely assume it's accurate, correct, and I would
7 likely rely on it from -- within my team. I've
8 never -- I don't recall any instance where I looked at
9 something and I said, "That's false. That's
10 inaccurate. Don't ever send me these things." I mean,
11 I would have a different conversation with that
12 individual.

13 BY MR. KOLHATKAR:

14 Q You can put Exhibit 246 to the side.

15 Did Theranos ever have a contract with CVS?

16 A We were negotiating a contract with CVS, but
17 we didn't sign it.

18 Q Why not?

19 A We were working on it. We were in the last
20 stages of signing a couple of -- there were two points
21 that I think -- I remember one or two points that we
22 were trying to nail down and negotiate, but we were
23 pretty close to signing it.

24 Q When were you pretty close to signing a
25 contract with CVS?

0488

1 A And I may be getting the time not right, but
2 I would say end of '14 or '15 time frame is the
3 partnership between -- the dialogues between us was
4 very strong. We had both strong interests to work
5 together. And so I would say, like, '14, '15 time
6 frame.

7 Q Did you ever represent to any investors
8 that -- that you had a contract with CVS?

9 A No.

10 Q Did you ever hear Elizabeth Holmes say that

11 to any investors?

12 A No.

13 Q We have talked about the financial model a
14 little bit. And did you ever include a rollout in CVS
15 as part of your financial model?

16 A In some cases, I may have -- you know, I
17 had -- in different models, I used to have different
18 names based on -- sometimes I would say
19 "Walgreens/Safeway" or I would say
20 "Walgreens/Safeway/CVS/ --" In some models, I did use
21 these names interchangeably. That we would deploy with
22 somebody at some point, we just didn't know which
23 partner yet. And then at some point, I just said
24 "other."

25 Because again, we didn't know what the

0489

1 cadence would be with which partner and how fast we
2 would deploy. So Walgreens was kind of in the public
3 and -- it was in the public domain, and we had a good
4 idea what we were doing with them, but with others, I
5 would just say "other."

6 Q And would -- would you share that -- that
7 sort of "other" category with investors or potential
8 investors?

9 A Well, it was in the model, so they would see
10 it. Yes.

11 Q Did you ever -- when presenting the model to
12 investors or potential investors, did you ever
13 represent that the model was based only on contracts
14 signed and in place?

15 A We had some projections in the model or some
16 assumptions in the model that were based on the
17 contracts that we had signed. So I think I had put a
18 comment in there. I was in one of the meetings where
19 somebody had said -- looked at the balance sheet and
20 said it was one of the investors. I forgot which one.
21 It may have been BDT, but I forgot again. That -- they
22 were advising me on how to improve the model.

23 And I said, "You know, I don't know how to
24 recognize the value of our soft assets. We have a ton
25 of IP and patents, and also the fact that we have these

0490

1 contracts. When I look at other companies, they always
2 have some intangible line item there. So how does that
3 work?"

4 And he said, "Well, we need to figure out how
5 to value all those things," and I had a discussion with
6 the board around that too, "but let's put a comment
7 here that tells people that we do have these contracts
8 so they know and they remember."

9 So that's kind of where it came from. So I
10 think I put a comment in the balance sheet section on
11 the model somewhere.

12 Q I guess my question is just more generally,

13 you know, when presenting the -- the model's revenue
14 projections, did you ever explain that this -- that the
15 model's revenue projections were based on contracts --
16 it was based only on contracts that Theranos had in
17 place?

18 A I think there was a note in the financial
19 model. I don't think -- again, I would say, you know,
20 I don't think of that as -- as a financial projection,
21 but I would say in the financial model, I did have a
22 note that said, "These numbers assume that the only" --
23 "only the contracts signed. We don't have to sign new
24 contracts in order to hit these numbers."

25 Q What's the difference between a model and a
0491

1 projection?

2 A Well, I think we talked about that. I don't
3 know what a -- projections are, but I know what a model
4 is. I shared that with you yesterday. That a
5 financial model is where I'm still compiling a lot of
6 information. I have all the assumptions right here.
7 I'm using that as a financial tool. And you can just
8 modify the numbers to see the impact of, you know,
9 changes in assumptions, changes in the rollout
10 schedule, changes in whatever assumptions one wants to
11 make to see what's the impact on the business.

12 So I was using that as more -- to me, a model
13 is more like a planning tool rather than a financial
14 statement, I would say.

15 Q So you have no understanding of what a
16 projection is?

17 A I think projection is a -- is a fairly --
18 clearly technically defined financial term, which is
19 why I don't like use it -- I don't like using it. I
20 used to usually just say "financial model" because to
21 me, that was more explicit that it is a model.

22 Q You have an MBA; correct?

23 A Yes. Unfortunately, I do.

24 Q Did you learn about financial modeling in --
25 while you were getting your MBA?

0492

1 A I probably did. I don't recall. I did take
2 classes in finance, but not my strongest subject.

3 Q In any of your coursework, did you gain an
4 understanding of what a projection was for a company?

5 A I probably did, but like I said, I don't
6 remember what exactly it was, which is why I didn't
7 want to use that term and used "model" instead.

8 Q Do -- do you recall ever using the term
9 "projection" when referring to the Excel spreadsheet
10 that you called the model?

11 A I may have -- when -- when I was creating the
12 model, either me or somebody may have put "projections"
13 in the title in one of the spreadsheet tabs. But the
14 overall Excel spreadsheet, the content, I was referring

15 to that as the model.

16 So yes, the word "projections" may show up in
17 the spreadsheets, but I was not focusing on those. I
18 was focusing on -- more on the assumptions and the
19 numbers.

20 Q Sure. My question was: Did you ever call
21 the model a set of projections?

22 A I don't think I ever did. And if I did, it
23 was probably to somebody who already knew the purpose
24 of what the model was, and I may have used it
25 interchangeably once in a while. But in general, I
0493

1 would make -- make sure that it's -- it's a model.

2 BY MS. CHAN:

3 Q Who was working with you on the financial
4 model?

5 A Well, the model actually had a long history.
6 I created the first version of that working with, I
7 believe, Safeway first and then Walgreens separately.
8 And then as we started evaluating the business --
9 because obviously in 2010, we didn't know much about
10 the business, the lab testing and -- and other details.

11 So [REDACTED] at Safeway actually spent a
12 lot of time educating us on the lab industry, and then
13 so did Walgreens. When we had met both of the
14 companies, they knew a lot about the lab industry.
15 They clearly was -- were looking at it.

16 And Walgreens actually had told us during
17 those early modeling exercises that they had spoken
18 with dozens of companies. They also had partnered with
19 LabCorp at one point. So they had been interested in
20 this market. So they had a lot of data. So the early
21 models came from my work with Walgreens and Safeway.

22 Around 2010, I started -- and 2011, I started
23 doing my own research to educate myself on the
24 industry. Some of assumptions in the model, as you
25 probably noticed, came from the CDC's website. For
0494

1 example, how many EMR visits in the U.S. and how many
2 ICU beds. So I got that data from there.

3 And -- and over time, it was raw data coming
4 from the field as I learned, you know, how much -- how
5 much dollars per requisition we were making from the
6 field. So as I -- over time, I think it was more input
7 from a lot of people. As the information came into me,
8 I would, you know, update the model and keep it
9 updated.

10 Q So you mentioned that either maybe you or
11 somebody else that was working on it would put
12 "projections" on the top. So I was just wondering who
13 at Theranos was working on the model with you.

14 A Well, [REDACTED] had provided me -- she used
15 to provide me balance sheets because balance sheets,
16 she just drew out from the QAD system. So there were

17 some headers that she had provided me, and I had copied
18 and pasted those headers across all three -- or two
19 other tabs at some point.

20 And then, like I said, I had given that model
21 to the consultants at BDT, and they made a bunch of
22 changes to clean the model up. Formatting. I had a
23 lot of typos. I also had a lot of acronyms, like
24 Normandy and other things in the model. I remember in
25 one meeting, I had a word called "killer software

0495

1 engineers." And the board -- some people in the board
2 thought I really meant killer software, like in killer
3 software. And "killer software" means really good
4 software engineers.

5 So I had to -- these guys came and cleaned up
6 a lot of the model. So they changed the headers and
7 changed other things in the model.

8 Q Was there anyone else from Theranos who was
9 working on the model while you were working on it?

10 A I don't think so.

11 Q Did --

12 A Like -- sorry. Nobody with direct access to
13 the model. I don't think anybody else modified it.

14 BY MR. KOLHATKAR:

15 Q I want to turn to just your understanding of
16 Theranos's interactions with the FDA.

17 MR. KOLHATKAR: Why don't we take a break
18 right now. We'll go off the record at 11:03 a.m.

19 THE WITNESS: Okay.

20 THE VIDEOGRAPHER: Off the record. Please
21 don't forget your mics.

22 (A brief recess was taken.)

23 THE VIDEOGRAPHER: Rolling.

24 MR. KOLHATKAR: We're back on the record at
25 11:12 a.m.

0496

1 BY MR. KOLHATKAR:

2 Q Mr. Balwani, just to confirm, you -- you
3 didn't have any substantive conversations with the
4 staff during the break; is that correct?

5 A That's correct.

6 Q So like I said before the break, I'd like to
7 ask you a few questions about Theranos's interactions
8 with the FDA. I think I interrupted an answer to one
9 of your earlier questions when you started talking

10 about a -- a December 2014 conversation with (b)(6);
(b)(7)(c)

11 (b)(6); (b)(7)(C)

12 MR. COOPERSMITH: I'm not sure it was
13 December '14, by the way, but --

14 BY MR. KOLHATKAR:

15 Q Oh, I'm sorry. You mentioned a December
16 conversation with (b)(6); (b)(7)(C)

17 Do you remember when that conversation took
18 place?

19 A Yeah. It may have been around December 2014
20 or November. It was towards the end of 2014. And it
21 was not my conversation, it was Ms. Holmes's.

22 Q And did she update you about the
23 conversation?

24 A She mentioned that briefly, and then we
25 talked about that later again.

0497

1 Q Okay. And what did she tell you about the
2 conversation?

3 A She said that the discussion with (b)(6);
4 (b)(7)(C) went really well, very friendly. It was
5 actually a courtesy call, from what I remember, around
6 the holidays. She mentioned that it was literally like
7 somebody calling to wish a Merry Christmas or something
8 like that.

9 And as part of the conversation, they were
10 talking about the future, what we -- Theranos was going
11 to do. Actually, that's why -- maybe December, that's
12 why I remember, it was a holiday call. And they had
13 looked at our CTN data and they thought our hematology
14 data looked good. We had submitted our CTN for a
15 general clearance from the FDA. That means for any
16 test across the board.

17 And the FDA thought our data for one of those
18 categories, hematology, looked good. They could give
19 us clearance for hematology if we wanted, just
20 hematology, or we could submit additional data and then
21 get a general clearance for -- for all of the assays.

22 So that was my conversation.

23 Q And did you understand at any point in 2014
24 that the FDA believed Theranos needed to obtain
25 clearance for its CTN before using it in

0498

1 commercial lab testing?

2 A I mean, there was a dialogue we had with the
3 FDA in 2013, and '14, and '15 where we were updating
4 them on how we were not distributing CTNs. So as part
5 of the dialogue, there were some individuals who made
6 that comment, but it was not -- we didn't see that as
7 an official position of the FDA at that point.

8 Q I guess -- I mean, I want to focus on kind of
9 what your personal memory was. Were you ever present
10 for any comments like that from the FDA?

11 A Personally, no.

12 Q Who relayed those comments to you?

13 A I think it will be privileged. It was
14 company counsel.

15 Q Oh, okay. And again, this is the area you
16 want to be careful just relying on sort of, you know,
17 what you were told --

18 A Yeah.

19 Q -- and so don't be shy about -- to raising
20 that issue if it becomes one. I'm going to try and ask

21 questions that -- that don't infringe on your company
22 counsel.

23 I guess at any point in time in 2014, did you
24 become aware that -- that the FDA was taking the
25 position that the CTN needed to be cleared?

0499

1 A Cleared for what?

2 Q Cleared for -- before it could be used for
3 commercial blood testing?

4 A As a part of the LDT and the CLIA lab or for
5 us to commercialize it outside of the CLIA lab? There
6 were two big differences.

7 Q Sure. For using it to collect blood from
8 Walgreens and then shipping it back to the CLIA lab?

9 A No.

10 Q You mentioned the other -- the other use. So
11 you had an understanding that -- what was your
12 understanding with respect to the FDA's requirement
13 concerning commercialized use of the CTN?

14 A Yeah. So if we were to distribute or
15 commercialize, or the technical term for that is
16 "market," you need a marketing -- before you market
17 something, you need approval from FDA or a clearance.
18 If we were to do that, my understanding was: There
19 were some versions of the CTN for which we needed a
20 510(k) clearance, which is, again, a technical term the
21 FDA uses. There are three different classes. So my
22 understanding was: For one of those, we would need a
23 510(k).

24 Q What was your understanding about those
25 different classes?

0500

1 A This is going to be outside of my league, but
2 I will give you the business understanding of this.
3 There were -- there are at least three classes of
4 device clearances the FDA has, Class 1, 2, 3.

5 Class 1 are designated as the least risky
6 devices. And you would still need to ensure the
7 quality is good, you need to generate the data, but
8 then you file that on the FDA's website as a Class 1
9 device. The FDA can still come and audit you and take
10 a look at the quality, data, and this and that, but you
11 don't need to submit a 510(k) or clearance for -- to
12 the FDA for that.

13 And Class 2 devices are devices which the
14 company claims are very similar to other devices in the
15 market. And by the way, the FDA also defines an assay
16 as a device, is my understanding. But Class 2 devices
17 is assays which are higher risk where the FDA, but
18 other companies have gotten clearances from the FDA on
19 those tests or devices, similar tests or devices, and
20 you are trying to show that our device is equal to
21 everybody else's device.

22 And you generate data, and you submit it to

23 the FDA. They look at the data and they give you
24 510(k) clearance for marketing that device. And you
25 can commercialize it then in the U.S. We're talking
0501

1 about the U.S. here.

2 And then Class 3 devices are novel devices
3 that don't have a predicate in the market yet. These
4 are brand new or highest risk devices. And the FDA
5 will -- usually requires PMAs or what is known as
6 premarket approval. That requires more data, more
7 studies. A similar process of the 510(k), but my
8 understanding is: This requires more data and more
9 studies because there's no predicate to these devices
10 in the market, is my understanding.

11 Q And, I guess, did you understand that the --
12 the TSPU would fall into that Class 3 --

13 A No, sorry.

14 Q What was your understanding about how the
15 TSPU would fit into that framework?

16 A So it depends on the assay. Depends on the
17 test. In general -- I'll talk about the TSPU in a
18 second. In general, you can have a device, and if
19 you're running a -- what is considered as a Class 2
20 assay, then the whole system becomes Class 2 cleared.
21 But if you do a Class 3 assay, like HIV, then the whole
22 system gets a Class 3 clearance. So it depends on the
23 assay.

24 So the TSPU itself -- by itself doesn't do
25 anything. You need chemistry or an assay to run it.

0502

1 So it depends on the assay whether it's Class 2 or
2 Class 3. In some cases, it would have been Class 2,
3 like our HSV1. And a whole bunch of assays are Class
4 2. Most of them are Class 2. Maybe I shouldn't say
5 "most," but a lot of assays are Class 2.

6 And then there are some assays which the FDA
7 classifies them are high risk. Like HIV is -- my
8 understanding is that's Class 3. And then you -- when
9 you submit it, then the FDA looks at it differently.
10 And from what I understand, the audit it performs on
11 sites is also different.

12 Q What was your -- how -- what was your
13 understanding of how the nanotainer fit into that -- in
14 that classification? Was it sort of similar, it
15 depended on what -- what sort of chemicals were
16 contained in the nanotainer and what assays they would
17 be used for or was the nanotainer different?

18 A The nanotainer was different. Still -- you
19 still have the same classification, Class 1, 2, and 3.
20 But since the nanotainer had predicates, and the
21 nanotainer is a blood collection and transportation
22 device. Right? And it had predicates in the market.
23 There were other devices available you could use, which
24 you can capillary blood, you know, do exactly what the

25 nanotainer was doing.

0503

1 So because of that, it would be classified as
2 a Class 2 device or a Class 1. There were other
3 differences. And we had -- like I said, we had two or
4 three different types of nanotainers, probably more.
5 But some nanotainers are Class 2 because of the
6 complexity of what is going -- what is inside the
7 nanotainer. Again, this is my understanding, so
8 technically -- maybe -- there are maybe technical words
9 to describe it. Class 2. And then there's one
10 nanotainer -- or some nanotainers which are Class 1.
11 And this is also how the predicates are registered with
12 the FDA.

13 So there are some capillary tubes that you
14 use to collect blood, which are in general, I'm going
15 to make a general guess here, they are EDTA nanotainers
16 or EDTA-type devices. EDTA is a type of anticoagulant
17 that you use in a tube to make sure the blood doesn't
18 clot. And this is, again, my business understanding,
19 right, so not scientific.

20 But there are different types of
21 classifications based on how -- what is the
22 anticoagulant and what are the other things that you
23 are doing in the device -- in the -- in the collection
24 device. I don't know if I confused you more or not,
25 but --

0504

1 Q I guess let me just ask it more simply. Did
2 you ever tell investors that the nanotainer was a Class
3 1 device?

4 A There was a type of a nanotainer that was a
5 Class 1 device. Yes.

6 Q Again, my question is more simple than that.
7 Did you ever --

8 A Oh, sorry.

9 Q -- tell investors that -- that the nanotainer
10 was a Class 1 device?

11 A I don't recall that.

12 Q Did you ever explain to any investors that
13 the -- that the nanotainer could be considered a Class
14 1 --

15 MR. COOPERSMITH: Hold on. When you say "the
16 nanotainer," he said that there were several different
17 types of nanotainers. So maybe you need to specify,
18 like, a nanotainer, the nanotainer, a particular type
19 of nanotainer.

20 BY MR. KOLHATKAR:

21 Q Did you ever tell investors that nanotainers
22 could either be classified as Class 1 or Class 2,
23 depending on the use?

24 A It is possible, but it would be talking about
25 the future. Again, keep in mind that the Class 1 and

0505

1 Class 2 and this whole discussion comes into play if we
2 are distributing and commercializing nanotainers away
3 from our laboratory. Our position at that point was:
4 As long as we are using this in our CLIA lab, it was
5 part of the LTD.

6 So the FDA submissions and Class 1 and Class
7 2 classification didn't apply to that model. It only
8 applied to -- if we distributed the nanotainers in the
9 field. And at some point, we would have. This is why
10 we were seeking the 510(k) clearance is that at some
11 point, we wanted to distribute the nanotainers. And at
12 that point, some would be Class 2 and some would be
13 Class 1, depending on the nanotainer. And the ones
14 that were Class 2 clearly did have FDA clearance.

15 Q And putting this context to the business
16 model, if Theranos's business model had evolved to sort
17 of that Phase 2 Walgreens with distributing TSPUs, was
18 it your understanding that it would have to obtain
19 clearance for its nanotainers -- for any of its
20 nanotainers?

21 A Oh, it's actually more complicated than that.
22 If you are distributing the device -- I'm talking about
23 2012 and beyond now, right, our position or our
24 understanding was: Once you file an assay to the
25 FDA -- let's assume we were going to do 20 assays with
0506

1 Walgreens, right, all 20 have to be cleared by the FDA.
2 That's the 510(k). Some didn't -- some -- even assays
3 were Class 1 assays. They didn't require 510(k)
4 submissions. They are low -- considered low
5 risk. I think cholesterol may be one of those, but I'm
6 guessing here. But there are some assays which you
7 generate data and you don't file with the FDA just like
8 Class 1 devices.

9 So it depended on the assay. But let's
10 assume we picked 20 assays. Let's assume they're all
11 Class 2 assays. When you submit those Class 2 assays
12 to the FDA, we would have submitted our nanotainer as
13 part of the submission, which is what we did with HSV1,
14 and the entire system gets a clearance. So you get the
15 TSPU cleared for that assay, you get the assay cleared,
16 and then you get the CTN cleared.

17 Q Okay. Again, I'm being careful not to
18 inquire about what the substance of any advice from
19 counsel was, but in analyzing what you should tell
20 investors about -- let me rephrase it just with the
21 caveat that I'm not -- I'm not asking what any advice
22 was.

23 Did you ever receive advice from counsel on
24 what you should disclose to investors about Theranos's
25 interactions with the FDA?

0507

1 A Not specifically.

2 Q Do you know if anyone else at Theranos did?

3 A I wouldn't know.

4 BY MS. WINKLER:

5 Q Do you know whether Ms. Holmes ever received
6 advice from counsel about what to disclose to investors
7 about Theranos's interactions with the FDA?

8 A I wouldn't know. If it was something
9 contrary to what I believed, we would have talked about
10 it.

11 Q I'm just simply asking you if you know
12 whether she received any advice.

13 A I don't know.

14 BY MR. KOLHATKAR:

15 Q And I guess more broadly, did -- did you ever
16 receive any advice from counsel on what should be
17 disclosed to investors in the C-2 round?

18 A No, we didn't.

19 BY MS. CHAN:

20 Q You attended --

21 BY MR. KOLHATKAR:

22 Q Do you know --

23 MS. CHAN: Oh, sorry.

24 MR. KOLHATKAR: Sorry.

25 BY MR. KOLHATKAR:

0508

1 Q Do you know if Ms. Holmes did?

2 A To the best of my knowledge, I don't know.

3 Sorry, I don't know who to answer. Sorry.

4 BY MS. CHAN:

5 Q You attended meetings with the FDA; right?

6 A I attended a few meetings in 2012 and 2013,
7 but I was not participating because I didn't have the
8 background back then. In most of these meetings, I
9 went there to learn or what I thought was out of
10 respect for the FDA because we only had one or two
11 people from our company going and the FDA had more.

12 But -- and even in 2014, I believe I would
13 attend a meeting, one or two meetings. There was one
14 meeting where (b)(6); (b)(7)(C) had visited us in Palo
15 Alto. It was a great meeting, very positive, friendly.
16 Around Ebola. I attended that meeting. But in
17 general, I was not -- outside of those meetings, I was
18 playing either no or little role in communications with
19 the counsel, and the strategy, and what -- what -- the
20 FDA letters that were coming back and forth, the
21 dialogue, I was not part of that.

22 Q So you don't recall any discussions during
23 the meetings in 2013 and 2014 where the FDA told you
24 and others at Theranos that no matter the fact that
25 Theranos was not distributing the CTNs or the TSPUs,

0509

1 that both of those devices needed to be cleared or
2 approved by the FDA?

3 A For what purpose? As CLIA LDT?

4 Q No, for patient testing.

5 A Yeah, but patient testing is different when
6 you're doing it as an LTD versus a distributing --
7 putting a TSPU in the store.

8 Q Do you recall any discussions with the FDA
9 where the FDA told you or others at Theranos that the
10 TSPU and the CTNs were not LDTs and -- not
11 lab-developed tests?

12 A When using in the CLIA lab context. Like I
13 said, we had dialogue with the FDA. Somebody may have
14 commented without understanding it, and our job was to
15 share with them what we were actually doing because
16 there was some miscommunication that was -- that was
17 created initially when we engaged with the FDA.

18 But we also had communications from the FDA
19 clearly written that said as long as we are using this
20 in our lab, it is an LDT. I remember that. So that
21 was a clear communication that we had with the FDA.

22 BY MR. KOLHATKAR:

23 Q Who do you remember having that confusion
24 about that they -- you said there might have been one
25 or two members that you needed to educate.

0510

1 A Yeah. Initially, when we met with the FDA,
2 the very first meeting in 2012, we had a meeting with
3 them where they said somebody had informed them that we
4 were distributing our devices to other labs. And we
5 said, no, we were not doing that. So we had to do some
6 work to be able to tell them exactly what we were
7 doing.

8 BY MS. CHAN:

9 Q And you don't recall any discussions with
10 the FDA where they told you that the TSPU and the CTNs
11 needed to be approved or cleared by the FDA before
12 being used in patient testing?

13 A In the CLIA lab or in the field? There's a
14 big difference. When you are doing -- I'll explain to
15 you the difference. When you are using --

16 Q Let -- let me just rephrase the question,
17 then, because I don't -- I know you're trying to draw a
18 distinction, but I don't think the distinction matters
19 here.

20 I'm talking about in the context of Theranos
21 working with Walgreens on performing patient testing on
22 samples being sent from Walgreens stores to Theranos,
23 you were not aware that the FDA told Theranos that the
24 TSPU that was being used to perform that patient
25 testing and the CTNs that were being used to collect

0511

1 the sample and send to Theranos's lab that those needed
2 to be cleared and approved before use?

3 A Like I said, it is possible that during the
4 dialogue that somebody at the FDA made that comment,
5 but there were also comments made by the FDA that us
6 using those -- in that scenario that you just

7 described, picking up a sample, shipping it to the one
8 CLIA lab in Newark, running those TSPUs in Newark was
9 an LDT.

10 Now, the FDA had enforcement discretion over
11 that too, but that was also communicated. And that was
12 the dialogue that we had back and forth with the FDA on
13 how the FDA wanted us to pursue that. If -- if the FDA
14 had that official position, we would have received a
15 warning letter. But like I said, we were in deep
16 engagement with the FDA and communicating with them
17 very frequently.

18 Q Well, you understand that at some point in
19 2015, the FDA conducted an inspection of Theranos --

20 A Yes --

21 Q -- right?

22 A -- I was there.

23 Q And as part of the -- do you understand what
24 a 483 is?

25 A I do.

0512

1 Q What is it?

2 A It's a form that the FDA creates after an
3 inspection has concluded that includes the observations
4 and inspection.

5 Q And are you aware that one of the
6 observations on the 483 was that Theranos was using the
7 CTNs without approval or clearance from the FDA?

8 A No. There was only one CTN, not all CTNs
9 that were identified. There was one CTN -- I mentioned
10 that earlier already. That there was a lithium heparin
11 CTN with a gel inside it that the FDA said their
12 position at that point was that that should require
13 clearance and -- a 510(k) clearance.

14 Q Okay. So did you know prior to 2015 that the
15 FDA had a position that that li hep -- what is it?

16 Lithium --

17 A Lithium heparin.

18 Q -- heparin CTN needed clearance from the FDA
19 before use in patient testing?

20 A We had -- like I said, in a dialogue,
21 sometimes the FDA made a comment, but also, the FDA
22 knew that we were already using that as part of LDT.
23 And we've got a letter from FDA -- meeting notes in
24 which the FDA said as long as samples are being shipped
25 from Walgreens PSCs through Theranos's central lab, the

0513

1 FDA considered that as LDT and had enforcement
2 discretion, but they recognized that it is an LTD, and
3 they didn't tell us to stop.

4 So it was a dialogue. Sometimes some people
5 would make the statement, but at the same time, many of
6 the people that we were engaged with would make the
7 opposite. That we were working with them in good
8 faith. At any point if the FDA wanted us to stop doing

9 it, we would have stopped. And at -- and at some point
10 we did.

11 BY MR. KOLHATKAR:

12 Q So when Theranos stopped using the
13 nanotainer, was that because of that FDA audit we were
14 just talking about?

15 A Well, one nanotainer, one of the two, yes,
16 because of the FDA audit. Because when we talked to
17 the FDA and we asked them, "Do you want us to stop
18 using the nanotainers," and they said, "It's your
19 decision, you know. You decide."

20 And so we stopped one, but at the same time
21 now that we were making this transition to fully
22 FDA-quality systems, it was also useful for us to stop
23 and -- and do a bunch of other operational details in
24 the company.

25 Q After the Wall Street Journal article came

0514

1 out, do you recall Ms. Holmes making a statement
2 publicly that Theranos voluntarily stopped shipping its
3 nanotainer?

4 A Technically, yes. That's correct.

5 Q Why is that technically correct?

6 A Because when the FDA wants you to stop
7 something, you get a warning letter, and we didn't
8 receive -- the FDA didn't ask us to stop. They issued
9 a citation on 483 that this was their position. We
10 could have disagreed with their position, and companies
11 do disagree with the FDA's position. Our position with
12 the FDA always was: We don't want to disagree with the
13 FDA. We wanted to be the company that would be the
14 poster child in the lab industry that was taking all
15 of our LDTs and submitting them for 510(k) clearance.

16 So we didn't want to be in a position where
17 we are doing something that anybody at the FDA would
18 say we shouldn't be doing.

19 Q I guess, so in -- in your -- in your opinion,
20 the -- stopping to use the -- the -- that specific
21 nanotainer after receiving a citation was -- was a
22 voluntary action?

23 A Yeah, we had actually stopped -- sorry.

24 Q That's your understanding? Yes?

25 A No, your question, I'm correcting your

0515

1 question. We had stopped using CTNs before the
2 citation came in. In August -- end of August when we
3 met with -- when we had a call with the FDA, we
4 informed them that we stopped -- we are stopping using
5 CTNs, and we started gradually removing them out. And
6 I think most of them, we had already stopped -- stopped
7 by September -- mid-September or sooner. And then
8 there were a few tests remaining that we removed by
9 September 21st or 22nd. So we started the process
10 before the 483 came to us.

11 Q Okay. So I guess it's your testimony that
12 Theranos's decision to stop using its nanotainer in
13 2015 was entirely voluntary?

14 A Yes, that is my -- that's my understanding.

15 Q I'm going to hand you a document that's been
16 previously marked as 230.

17 Do -- do you recognize Exhibit 230?

18 A I'm not on it, but it seems like a letter
19 from someone at the FDA to our counsel.

20 Q Do -- do you know if you reviewed this letter
21 after it came in?

22 A I may have. I don't recall.

23 Q If you turn to the third page, the page
24 ending in Bates stamp TS-0992590.

25 A Yes.

0516

1 Q And look at the first full paragraph. And
2 let me know when you've had a chance to read that.
3 There's a footnote that goes along with it.

4 A (Witness reviewing document.) Okay.

5 Q And do you see the sentence that says,
6 "Please note that without clearance or approval, you
7 cannot continue to ship these collection devices or
8 nanotainers to your sample collection sites for use
9 with tests currently run in your" -- "in your
10 laboratory"? Do you see that?

11 A I do.

12 Q Was that contrary to your understanding of
13 the FDA's position at this time?

14 A From other sources at the FDA, yes.

15 Q What other sources?

16 A There was a letter that we received from the
17 FDA, meeting minutes in 2013, where -- end of 2013, I
18 believe, where we had attended a meeting, and we had
19 discussed exactly this point with the FDA's -- had (b)(6);
20 (b)(7)(C) for -- in the IVD division. And he had (b)(7)(C)
21 said -- and that meeting minutes recognize that the FDA
22 recognize us as LDTs.

23 Q Okay. So you understand that communication
24 took place in 2013?

25 A Correct.

0517

1 Q Did this communication update your
2 understanding in any respect?

3 A No.

4 Q Why not?

5 A Because like I -- like I said earlier,
6 companies that work with the FDA -- my understanding
7 is: You engage in a dialogue with the FDA all the time,
8 and you have disagreements around your submissions to
9 the FDA all the time. Nothing unusual here.

10 So the fact that the FDA told us something
11 before which was in line with what we thought we were
12 doing, and somebody at the FDA is making a statement

13 here which is not in line -- there's a line here that
14 says, "We are happy to discuss this issue with you
15 during a call." So it would be a very typical thing for
16 us to be able to discuss this with them and then
17 obviously work with our counsel to see what's our
18 counsel's position and proceed accordingly.

19 MR. COOPERSMITH: Are you going to ask him a
20 little about the second paragraph of the letter?

21 MR. KOLHATKAR: You can -- you can ask any
22 clarifications at the end.

23 MR. COOPERSMITH: Where it says it doesn't
24 bind the FDA?

25 MR. KOLHATKAR: Sir, I'm happy to let you ask
0518

1 any clarification questions --

2 MR. COOPERSMITH: Okay.

3 MR. KOLHATKAR: -- at the end. You can use
4 any of the --

5 MR. COOPERSMITH: Well, I just want to be
6 complete with the testimony.

7 MR. KOLHATKAR: Sure. I'll give you --

8 THE WITNESS: Actually --

9 MR. KOLHATKAR: -- the opportunity.

10 MR. COOPERSMITH: Okay.

11 THE WITNESS: Actually, that's a good point
12 here. I mean, this actually --

13 MR. KOLHATKAR: Sir, there is no question
14 pending.

15 MR. COOPERSMITH: I think he's allowed to
16 finish his answer if you're -- if the record is
17 misleading based on what you've asked.

18 MS. CHAN: I think he was done with his
19 question. At the end of today, if you want to ask your
20 additional questions, you can do so.

21 THE WITNESS: But I would like to highlight
22 that this point here clearly says here it does not
23 constitute an advisory opinion and does not bind or
24 otherwise obligate or commit the agency to the views --
25 So it's pretty clearly expressed here.

0519

1 BY MR. KOLHATKAR:

2 Q Okay. Did you -- did you -- but do you
3 recall reviewing that at the time?

4 A No, I don't. I also don't recall reviewing
5 the rest of it, but it's here.

6 BY MS. CHAN:

7 Q Do you recall attending a meeting a few days
8 after this letter was received by Theranos in which the
9 FDA told you again that Theranos should not be shipping
10 these CTNs or using them for patient testing without
11 clearance from the FDA?

12 A I don't recall, but if I see some
13 documentation, then it may refresh my memory and I
14 could comment.

15 BY MR. KOLHATKAR:

16 Q I'm going to hand you another document that
17 I'm marking as Exhibit 247.

18 For the record, Exhibit 247 is a document
19 Bates-stamped THPFM0001145643 through 1145647.
20 (SEC Exhibit No. 247 was
21 marked for identification.)

22 BY MR. KOLHATKAR:

23 Q We spoke a little yesterday about some of
24 the -- Theranos's media strategy.

25 Do you recall that conversation generally?

0520

1 A Correct. Actually, if you don't mind, can I
2 read this --

3 Q Sure.

4 A -- real quickly. (Witness reviewing
5 document.)

6 Okay.

7 Q Do you recognize Exhibit 247?

8 A I do.

9 Q What is it?

10 A It seems like an e-mail communication between
11 Ms. Holmes and a reporter at -- or somebody at
12 fortune.com, I assume a reporter, that she forwarded to
13 me at the end.

14 Q And does -- does it generally appear from the
15 conversation that the -- the reporter is asking
16 questions or asking for follow up on specific topics,
17 and then receiving some responses from Ms. Holmes?

18 A I didn't read the whole thing, but it seems
19 like a communication between them. I can read through
20 it, if you want me to.

21 Q Well, why don't -- I don't want -- you don't
22 need to read the whole thing necessarily. But if you
23 look at the page ending in THPFM0001145645 --

24 A Okay.

25 Q -- Number 4. Let me know when you've had a

0521

1 chance to read that.

2 A (Witness reviewing document.) Okay.

3 Q I guess, generally, did you have -- do you
4 recall having a discussion with Ms. Holmes at any point
5 in the summer of 2014 about how to describe Theranos's
6 devices for -- for media use?

7 A No, I did not.

8 Q Do you remember Theranos discussing
9 internally whether to describe things as analyzers,
10 devices, or analytical systems?

11 A That was a common discussion we used to have,
12 but I don't recall if we had it in this summer or what
13 time frame.

14 Q And, I guess, apart from the time frame, what
15 was the discussion around the use of analytical
16 systems, analyzers, or devices?

17 A Just properly describing our solutions
18 because just saying "devices" sometimes didn't
19 encapsulate an analytical system that includes software
20 on the Cloud because that was always part of the TSPUs.
21 So it was always trying to find the best way to
22 describe our solution.

23 Q Did you have a view of what the best
24 descriptor was for Theranos's solution?

25 A No. Honestly, I didn't. I mean, I like the
0522

1 word "systems" better because I'm a computer software
2 guy and I wanted to make sure that software is part of
3 whatever description we had, but I didn't have a strong
4 opinion. At least I don't recall having one.

5 Q If you look on the page ending -- do you --
6 do you understand his question here to relate to sort
7 of how to -- how to approach that issue of describing
8 the analyzer or the device?

9 A Some analyzer or some device that he saw. I
10 don't know what he's referring to here.

11 Q Do you see the response from Ms. Holmes at
12 the bottom of 644, the -- the paragraph that begins,
13 "With respect to the device size below, the best
14 comparison might be to the" -- "to the NeXT computer
15 desktop"?

16 A Yeah, I see that. I see that.

17 Q Were you familiar with the NeXT computer
18 desktop?

19 A Yes. I used to own one. On college campus,
20 I used to use it all the time.

21 Q What does it look like?

22 A It's a cube, literally, about that big
23 (indicating), that big (indicating), about that big
24 (indicating). Sorry, should I --

25 Q Sure. Yeah. I guess could you describe --
0523

1 A It was about --

2 Q -- what your gesture was.

3 A -- 18 inches wide, I mean, since it was a
4 cube, I would just say all dimensions were 18 inches.

5 Q Did you ever compare Theranos's TSPU to the
6 size of -- of a NeXT computer?

7 A I personally used to because, again, my
8 computer science background. So internally, we used to
9 talk about some devices we will have as small as the
10 Apple McIntosh one day, so I used to use those examples
11 often.

12 Q The -- if you turn to the first page of
13 Exhibit 247, there's a follow up from Ms. Holmes on
14 June 9, 2014, at 1:09 a.m.

15 Why don't you read the paragraph beginning
16 "We've been spending time --"

17 A "We've been spending time" --

18 Q I'm sorry, you don't have to read it out

19 loud.

20 A Oh, sorry.

21 Q I mean, read it to yourself and let me know
22 when you've had a chance to review it.

23 A Thanks. (Witness reviewing document.)

24 Okay.

25 Q Do you see the sentence that begins, The
0524

1 analytical systems we're scaling around are somewhat
2 bigger than those that mentions, dash, analytical
3 systems look like large desktop computers, dash, or
4 analytical systems look much smaller than in
5 conventional laboratories or have a smaller space
6 requirement than conventional laboratories, dash, it's
7 fine, paren, and not inaccurate in follow up to the
8 below?

9 A I see that.

10 Q What analytical systems was Theranos scaling
11 around at that time?

12 A In our lab at that time?

13 Q In your lab at that time.

14 A We had, like I said, the modified systems, we
15 had our TSPUs, we had commercial devices.

16 Q So in other words, the -- and was Theranos
17 scaling in any other way other than the lab at the
18 time?

19 A We were making a lot of progresses on 4.X,
20 our 4.X system, and our plan in the long term was to
21 scale around 4.Xs. So that could be part of the
22 discussion here.

23 Q Okay. The -- at some point in time, Theranos
24 was considering opening -- opening a lab in
25 Pennsylvania; right?

0525

1 A Correct.

2 Q And -- and as you mentioned, there -- there
3 were immediate plans on opening a lab in Arizona at
4 this time; right?

5 A Correct.

6 Q And the lab in Arizona was going to be a
7 moderate complexity lab; right?

8 A That's correct.

9 Q What about the one in Pennsylvania?

10 A Initially, it was -- initially, I would say
11 they were both planned to moderate complexity.

12 Q And what was the time frame for having them
13 prepared to be high complexity?

14 A It depended on our FDA clearances, and I
15 don't know what other parameters we were looking at,
16 but it would -- would have primarily depended on FDA
17 clearances.

18 Q And nothing about device capability would
19 limit the -- the -- the ability to scale to a -- to a
20 high complexity lab?

21 A No, no, nothing. I mean, this depends on the
22 assays. It's not a device capability issue.

23 Q Okay. So in other words, in your mind,
24 the -- was the 4.X sort of ready for a larger
25 deployment in the summer of 2014?

0526

1 A For what? It depended on -- depended on
2 which assay. If, for example, you were talking about
3 HSV1, which we had already submitted to the FDA,
4 probably. I don't recall what was ready, but it really
5 depended on -- depended on the assay.

6 Q I guess, in the summer of 2014, was the 4.X
7 ready to scale on sort of the majority of assays that
8 Theranos offered in its retail lab setting?

9 A I think that's a -- that's a very complicated
10 question. The reason is: Define "scale." Because
11 there -- the -- the device is -- is -- has a lot of
12 dimensions in terms of volume size, speed, run time,
13 uptime, whether you have to service it every month or
14 every three months.

15 So I think "scale" has to be more clearly
16 defined when -- before one can say, "Yes, we would have
17 been ready to scale" as a blanket statement.

18 Q Was -- was Theranos's 4.X series device
19 ready -- let me rephrase that.

20 Were any of Theranos's 4.X series TSPUs
21 validated for use in the -- in the CLIA lab setting in
22 the summer of 2014?

23 A I don't think so. No.

24 Q Were there any plans, when opening that
25 Phoenix or Pennsylvania lab, to -- to place 4.X series

0527

1 there as part of the -- as part of opening those labs?

2 A Not initially as part of opening, but as
3 we -- like I said earlier, as we got FDA clearances,
4 there were some tests we -- we probably would have
5 launched sooner than later in those labs using those
6 TSPUs at that point.

7 Q Did you ever have a discussion with Ms.
8 Holmes about the information she was sharing with (b)(6);
9 (b)(7)(C) in this article?

10 A You know, I don't recall. I'm not in this
11 e-mail. The NeXTcube idea could have only come from
12 me, my guess, because I was the NeXTcube fan boy, and I
13 used to love the machine. So I may have talked to her
14 not necessarily in this time frame, but before because
15 I used to talk about that. But I don't recall
16 specifically this conversation.

17 Q Did you review the Fortune article after it
18 came out?

19 A I think I read a few pieces of it, but not
20 the entire article.

21 Q You don't think you read the whole thing
22 after it came out?

23 A No, I don't. I mean, I -- again, I may have
24 read more than a few pieces, but I don't recall reading
25 the entire thing and I -- because I didn't recall,

0528

1 later on when somebody was asking me questions, again,
2 counsel, I didn't recall what was in the article.

3 Q Okay. And again, I just want to emphasize
4 I'm not trying to inquire about your conversations with
5 counsel. But I'm going to hand another document to
6 you.

7 A Sure.

8 Q You can put Exhibit 247 aside.
9 I'm handing you what I'll mark as Exhibit
10 248.

11 A Thank you.

12 Q For the record, 248 is a multipage document
13 Bates-stamped TS-613 through TS-621. And I'll
14 represent this was produced to the SEC as materials
15 that were included (b)(6); (b)(7)(C)

16 A Okay.

(SEC Exhibit No. 248 was
marked for identification.)

19 BY MR. KOLHATKAR:

20 Q Do you recognize Exhibit 248?

21 A Yes.

22 Q What is it?

23 A It's an article from Fortune magazine.

24 Q If you turn to Page 4.

25 A Page --

0529

1 Q So I guess it would be page -- page ending in
2 616.

3 A Okay.

4 Q And so it's your testimony that you don't
5 remember reviewing this article in its entirety; is
6 that correct?

7 A Correct. Right. Yeah.

8 Q I want to turn to the -- the second column
9 there. It's the column on the right.

10 A Yes.

11 Q The paragraph that begins, "The company has
12 performed as many as 70 different tests from a single
13 draw of 20 to 50 microliters collected in a tiny
14 vial" --

15 A Sorry, where are you? Okay. I see that.
16 Yeah.

17 Q Fourth paragraph down.

18 A Yes. Got it.

19 Q " -- collected in a tiny vial the size of an
20 electric fuse which Holmes had dubbed a nanotainer."

21 Do you see that?

22 A Yes.

23 Q At that time had Theranos conducted 70 tests
24 from a 25- to 50-microliter sample of blood?

25 A Sorry, what is your question again? I was
0530

1 reading this.

2 Q Had Theranos completed up to 70 tests from a
3 sample as described here?

4 A I wouldn't know. I mean, this is more for
5 people in R&D or the CLIA lab. If they had anything
6 like this, they would know.

7 BY MS. CHAN:

8 Q Could the TSPU conduct 70 tests on a single
9 draw?

10 A Theoretically, yes.

11 Q What do you mean by "theoretically"?

12 A That a single draw, you could -- I mean, I
13 think about 65 or 70, I had mentioned that number
14 briefly earlier. But there were some tests where we
15 could do 65 to 70, a combination of some tests where we
16 could do 65 to 70 different tests.

17 Q On a -- on a single draw of a finger prick of
18 blood?

19 A Correct.

20 BY MR. KOLHATKAR:

21 Q I guess, had Theranos done that --

22 A We had -- oh, I'm sorry.

23 Q -- by this time?

24 A In the TSPU itself, I don't recall if the R&D
25 guys had tested it. I knew because I was tracking this

0531

1 project for some time and they were manufacturing a
2 cartridge. I know that they had demonstrated on a
3 bench that they had -- they were able to do it. I
4 don't know exactly if -- which version of TSPU, if in a
5 TSPU, they had run the entire thing. I don't know.

6 Q What do you mean by "demonstrated on a
7 bench"?

8 A So you -- when you develop assays, you
9 develop them on the bench first, which is a clinical
10 lab R&D space. But these are your assays, so you're
11 developing your own assays -- and this is my
12 understanding again from -- of chem lab. You develop
13 the assays and you demonstrate that you can reach a
14 certain level of sensitivity from a certain amount of
15 blood.

16 And we had a panel of tests where we
17 demonstrated that given, I think it was a very small
18 fraction of, microliter of blood, we could do a large
19 number of tests each. And if you put all of that
20 together, the total amount of a sample needed was
21 less -- I think it was a hundred microliters or less.

22 Q I guess, is that sentence a true -- was that
23 sentence a true statement as of June 2014?

24 A Which statement?

25 Q The statement that the company has performed

0532

1 as many as 70 different tests from a single draw of 25
2 to 50 microliters collected in a tiny vial the size of
3 an electric fuse which Holmes has dubbed a nanotainer?

4 A I think -- I think I answered that earlier.
5 That I wouldn't know because the R&D guys had -- were
6 doing a lot of different tests that I didn't
7 necessarily know about. So it's possible they did
8 that, but I just didn't know.

9 Q You don't know yes or no; is that --

10 A Correct.

11 Q What about -- if you look up at the second
12 paragraph on the same column, the sentence begins, "It
13 currently offers more than 200, and is ramping off" --
14 "ramping up to offer more than 1,000 of the most
15 commonly ordered blood diagnostic tests, all without
16 the need for a syringe."

17 Do you see that?

18 A I do.

19 Q Was that a true statement in June of 2014?

20 A My understanding is: Yes.

21 Q Can you explain more.

22 A Yeah. At this point we had, I think, 200
23 assays on our menu, and back in 2010 or 2011, we
24 actually had a menu of a thousand tests, and we were
25 sending out a large number of them to a reference

0533

1 laboratory, but we wanted to be a one-stop shop so that
2 if a customer comes, we don't ever turn any -- anybody
3 away.

4 And this is a discussion that we were having
5 internally. Should we reintroduce that full menu or
6 should we keep our menu limited to 200. So this is
7 what he's -- that is pointing out. And all of them, we
8 never used a syringe in our patient service centers, at
9 least to the best of my knowledge because we were
10 against it.

11 Q So in other words, the 200 here, in your
12 mind, includes venipuncture and finger stick?

13 A Yeah. That was the full menu. It may not be
14 200. I think it -- it was about 180, 190 and then it
15 ramped up to about 200, but I think the number is about
16 right.

17 BY MS. CHAN:

18 Q So what were you using to do the venipuncture
19 in your patient service centers?

20 A Yeah. It was a different device. It's
21 called a butterfly needle or a butterfly that is
22 usually used on pediatricians, and we drew micro
23 volume.

24 So if you go to a typical lab today, if you
25 do a test on -- let's say you pick 50 tests or 40

0534

1 tests, chances are they're going to draw out six,
2 eight, ten vials of blood. And they stick the full

3 needle in. Sometimes syringes, sometimes a bigger
4 needle.

5 We had two of our software optimize the
6 workflow so we could perform a similar number of tests
7 from a much less volume, and we -- for -- because of
8 that, we needed a narrower gauged needle, and we were
9 using a butterfly needle for that.

10 Q So the butterfly needle isn't a syringe?

11 A No, it's not. Absolutely not. A syringe,
12 you -- somebody sticks it in you, you'll remember it.
13 A syringe is something very different.

14 BY MR. KOLHATKAR:

15 Q Would you turn to the page ending in 618. I
16 guess, do you -- do you -- before we turn the page, I
17 apologize, do you recall reading either of those two
18 sentences when the article came out?

19 A You know, I don't recall in this moment.
20 Like I said, I've read pieces of it, but I may have. I
21 don't recall.

22 Q If you turn the page ending in 618, again the
23 column on the right, there's a paragraph that begins,
24 "Importantly, it's not just the blood draws that are
25 tiny. It's also the analytical systems Theranos uses

0535

1 to perform the tests. They take up a small fraction of
2 the footprint required by a conventional lab today."

3 Do you see that?

4 A I do.

5 Q Was that a correct statement in June of 2014?

6

7 A I mean, I don't know which analytical system
8 this is referring to, but if it's referring to 4.Xs,
9 the answer is: Yes.

10 Q Was Theranos's lab footprint smaller than
11 that of a conventional lab in June of 2014?

12 A I mean, I don't know what a convention lab
13 looks like. But what I'm saying is: Assuming this
14 implies -- the analytical system here implies 4.X
15 machines, yeah, our footprint would be much, much
16 smaller.

17 BY MS. CHAN:

18 Q Was Theranos using the 4.X machines to
19 conduct patient testing at this time?

20 A Sorry. Even 3.Xs. I should include all
21 TSPUs. If this is referring to that, then our
22 footprint, 3.Xs, whether the 3.0 or 3.5, 4.Xs would be
23 much smaller.

24 Q But you were also using commercially
25 available machines to conduct patient testing at this

0536

1 time; right?

2 A For venipunctures. That's correct.

3 Q Okay. So do you think it's misleading to be
4 saying that Theranos is employing a smaller footprint

5 than a conventional lab to conduct its patient testing?

6 A I mean, I don't read it that way. I think I
7 read more here -- it says, "The analytical systems
8 Theranos uses." I don't know if it is referring to
9 4.Xs, 3.Xs, or what it is referring to.

10 BY MR. KOLHATKAR:

11 Q If you'll turn the page to the next page
12 ending in TS-619, the column on the left, there's a
13 paragraph that begins, "Theranos, which does not buy
14 any analyzers from third parties, is therefore in a
15 unique position."

16 Do you see that statement?

17 A Where are you again?

18 Q On the left column about the third full
19 paragraph down.

20 A Yes, I do.

21 Q Was that a true statement in June of 2014?

22 A No, it was not.

23 Q Did you read this part of the article when it
24 came out?

25 A No. Unfortunately, I didn't.

0537

1 Q Did anyone bring this issue to your attention
2 when it came out?

3 A Not that I recall.

4 Q Did you ever -- at any point in time, did you
5 ever discuss this line with Ms. -- with Elizabeth
6 Holmes?

7 A No. I mean, I don't think anybody brought it
8 to my attention, but I don't recall discussing it
9 either.

10 Q Do you know if the Fortune article was shared
11 with prospective investors?

12 A I think in some meetings, some investors had
13 expressed interest in learning about the company and
14 what the media was saying, especially some people who
15 actually owned media properties or that were in media.
16 So they said, "Send us what has been out there."

17 And we said, "Okay. We'll put a compilation
18 together and we'll send it to you."

19 Q Did you ever send the Fortune article to any
20 prospective investors?

21 A I think my answer will be the same. If I
22 did, I probably didn't go and find it and send the
23 link. I probably -- if I did send it to somebody, then
24 somebody else sent it to me and I just attached it and
25 sent it out.

0538

1 Q Do you know if anyone at Theranos raised
2 concerns internally about the accuracy of the Fortune
3 article when it came out?

4 A I don't recall. I don't recall.

5 Q I'll hand you another document and we'll
6 break for lunch in a minute here.

7 I'm marking as Exhibit 249 a document
8 Bates-stamped THPFM0000833200.

9 A Okay.

10 (SEC Exhibit No. 249 was
11 marked for identification.)

12 BY MR. KOLHATKAR:

13 Q Do you recognize this document?

14 A Yes.

15 Q What is it?

16 A It's an e-mail from Elizabeth to myself and
17 then my response to her.

18 Q Okay. And your response to her is -- is --

19 A Number --

20 Q Sorry, did you send this on or around June
21 12, 2014?

22 A Yeah. That's the date.

23 Q And your response to her is "Great"; is that
24 fair?

25 A Yes.

0539

1 Q And is she -- is she sending you an -- an
2 e-mail that appears to be addressed to shareholders?

3 A Yes.

4 Q Why -- why did you have a reaction that this
5 was great at the time?

6 A Well, I don't think I necessarily read it and
7 evaluated it on a scale. It was more of a colloquial
8 comment saying, "Yeah, looks good. Great." I didn't
9 have any comments, basically.

10 Q I guess, you know, seeing the inaccuracy
11 that -- that you -- that you mentioned about the -- the
12 use of -- of manufacturing its own analyzers, did you
13 have any concerns with Ms. Holmes sharing this document
14 with shareholders?

15 A No.

16 Q Why not?

17 A I mean, I didn't read the article, so --
18 which is why I -- I was commenting more on her note
19 here, not necessarily the article.

20 MR. COOPERSMITH: Are you talking about
21 now --

22 MR. KOLHATKAR: Yeah.

23 MR. COOPERSMITH: -- as he sits here today?

24 BY MR. KOLHATKAR:

25 Q Yeah. Sitting here today, do you have any
0540

1 concerns with the article being shared with -- with
2 shareholders?

3 A No. I think the article was already --

4 MR. COOPERSMITH: I'm sorry, I -- the -- I
5 was just asking whether the question called for Mr.
6 Balwani to ask -- to answer whether as he sits here
7 today as opposed to at the time.

8 BY MR. KOLHATKAR:

9 Q And let me rephrase the question.

10 MR. COOPERSMITH: Thank you.

11 BY MR. KOLHATKAR:

12 Q So sitting here today, do you have any
13 concerns about this article being shared with investors
14 in light of the inaccuracy that you pointed out?

15 A And I think my answer is: The article was
16 already in public, so, you know, I don't know what else
17 to say.

18 Q I guess I don't understand that answer. Do
19 you think it was -- do -- do you think it was
20 appropriate to share an article with inaccuracies --
21 with an inaccuracy to investors?

22 A I mean, I think it would have helped to
23 provide a more -- shed some light on this maybe, but I
24 think she was just sharing something that was already
25 published in the public, so I actually don't know to

0541

1 answer to that.

2 Q Do you know if Ms. Holmes took any steps to
3 correct this -- this header that was already out in the
4 public?

5 A I didn't.

6 Q You never heard her talk about it?

7 A No. I spent close to zero time on media and,
8 you know, PR. Very little time.

9 MR. KOLHATKAR: We'll go off the record at
10 12:03 p.m.

11 THE VIDEOGRAPHER: Off the record.

12 (Whereupon, at 12:03 p.m., a luncheon recess
13 was taken.)

14 A F T E R N O O N S E S S I O N

15 THE VIDEOGRAPHER: Rolling.

16 MR. KOLHATKAR: We're back on the record at
17 12:56 p.m.

18 BY MR. KOLHATKAR:

19 Q Mr. Balwani, just to confirm, you didn't have
20 any substantive discussions with the staff during the
21 break; is that correct?

22 A That's correct.

23 Q We talked a little bit yesterday about your
24 role with -- with the company's financials.

25 Do you recall that?

0542

1 A Yes.

2 Q Did you supervise the company's controller?

3 A No, I did not.

4 Q Who did?

5 A She technically reported into Elizabeth
6 Holmes, but I would interface with her on transactional
7 matters if I needed something, or if she had any
8 questions, sometimes she would come and talk to me.

9 Q So, I guess, is the answer that she would
10 ultimately report to Elizabeth Holmes?

11 A Well, she was -- from a hierarchical
12 perspective, she was reporting to her, but I think that
13 she was interfacing with both of us based on what was
14 needed. And, of course, anytime I needed something
15 from her, I would reach out to her directly.

16 Q And what are the kinds of things that you
17 would -- and are we talking about [b)(6); (b)(7)(C)] here?

18
19 A Yes.

20 Q What are the kinds of things that you would
21 reach out to [b)(6); (b)(7)(C)] for?

22 A I used to often ask her for a balance sheet
23 statement if I needed it for any purpose. I also used
24 to frequently ask her to send me a -- I think what
25 ultimately became known as the monthly cash report. So
0543

1 she would send that to me. I would ask her and she
2 would tell me what was the monthly cash balance, so I
3 was tracking cash that way.

4 I also asked her, I think, about -- sometimes
5 about expenses, you know, on a particular project or a
6 particular category, so she would send that to me.

7 Q Who -- and we talked a little bit about the
8 idea of revenue yesterday as well. Who had final say
9 at the company on whether to recognize revenue?

10 A What -- nobody at the company. It would have
11 been up to the accountants or the CPA who would have
12 made that -- made that decision once we started doing
13 GAAP -- or audited financials. So the business may
14 have -- may have, you know, provided input that we
15 think this is what it is and this is the purpose of
16 this dollar, but ultimately, it would have depended on
17 the accountants.

18 Q So, I guess, was it your view that [b)(6); (b)(7)(C)]
19 was not the company's accountant?

20 A No. I mean, I think -- [b)(6); (b)(7)(C)]
21 but I don't think she had enough expertise to be able
22 to -- in my view, to be able to make a decision on if
23 something was recognizable revenue or not. We would
24 have gone to outside accountants to help on that.

25 Q In 2013 and 2014, who would that have been?
0544

1 A Outside accountants. I think the company had
2 KPMG back then. I actually don't remember the name.
3 But it was one of the big three accounting firms, or
4 big four accounting firms.

5 Q Who was responsible at Theranos for
6 interacting with the -- the accountant?

7 A Mostly, it was [b)(6); (b)(7)(C)] and then I think
8 in 2015, I interfaced with the accountants a few times.
9 I may have spoken with -- with the accountants maybe
10 once or twice a year on other occasions, but I don't
11 remember.

12 Q During your time at Theranos, was there ever

13 a CFO?

14 A Not when I was in the company, no.

15 Q Did the company ever think about hiring one
16 while you were there?

17 A Yes, absolutely.

18 Q Why didn't it?

19 A We were recruiting and -- the first few years
20 I was there, the company was small, and (b)(6); (b)(7)(C) was
21 doing a good job. We didn't -- we were still
22 recruiting for a CFO all along, but it was not a
23 pressing, high priority because we were focused on
24 hiring -- recruiting scientists and engineers and other
25 managers. So it was -- I would say at that point, it
0545

1 was a high priority but not the highest priority.

2 But I think after 2013, we had a list of
3 eight or ten of the critical priorities, and I think
4 CFO was always number one or number two. We were
5 recruiting for that position. I believe we interviewed
6 many candidates, but didn't quite find the person that
7 we thought was the right person.

8 Q Was there any reason why you struggled to
9 find someone for that position?

10 A I think before 2013, nobody knew us, so it
11 was difficult to hire somebody. And I think in 2014
12 and '15, we actually also hired a headhunter --
13 headhunting firm, at least one, and we gave them the
14 job for CFO. It cost us a lot of money, I recall that,
15 just to fill that one position.

16 And they brought us a few candidates, but not
17 many. Obviously, on LinkedIn and other places, we
18 would -- my recruiting team, the head of HR, was also
19 looking for CFO candidates, but I don't recall
20 interviewing too many candidates that were mature
21 enough or who had a deep understanding, in my view, who
22 interviewed.

23 But it was an ongoing effort. It was not we
24 ever stopped looking for CFOs.

25 Q Okay. So in other words, you'd meet someone,
0546

1 but they just didn't -- they didn't have the right
2 skill set that you were looking for?

3 A Yeah. Either the right skills set or not
4 necessarily the right approach. You know, they were
5 looking for companies that are planning on going IPO in
6 the next year or two years, and we were looking for
7 somebody a lot more conservative who can help us build
8 a company for the next, you know, 10, 20 years and not
9 think about, you know, IPO and that, going public.

10 Q Did you ever tell anyone that you were
11 effectively the company's chief financial officer?

12 A No.

13 Q Did you ever hear Elizabeth Holmes describe
14 you that way to other people?

15 A No. She may have joked that, you know, he's
16 doing the modeling, so he has the numbers. But I don't
17 think she or anybody would have described me seriously
18 as a chief financial officer for the company.

19 Q Did she ever -- do you ever remember her
20 joking about you being the CFO?

21 A She used to say, "He's the numbers guy in the
22 company." I don't know if she used "chief financial
23 officer" or not, but she used to point and joke that
24 "He's wearing multiple hats. He's also the lead
25 programmer and other things in the company. And as
0547

1 part of that, he also maintains the numbers, the
2 model."

3 Q By "numbers," you understood that to mean
4 the --

5 A The model.

6 Q -- financial model?

7 A Financial model, yeah.

8 Q Who was responsible for reviewing and
9 authorizing the company's tax returns?

10 A That was in [b)(6); (b)(7)(C)] domain. She would
11 come up with the final reports that she was going to
12 file, and she may have presented those to either me or
13 Elizabeth and one of us may have signed it. I don't
14 recall signing those, but it's possible she presented
15 those to me and I signed those.

16 Q If you didn't sign them, would there be some
17 documents that you have reviewed before signing them?

18 A Not necessarily. I would just rely on [b)(6);
19 [b)(6); (b)(7)(C)] And if she said, "These are the tax returns,"
20 then I would probably scan them, look at them, but then
21 I would sign them and give them to her.

22 Q Was it your expectation that the company was
23 providing accurate information on its tax returns?

24 A Absolutely.

25 Q Do you know if the company reported taxes on
0548

1 a cash or accrual basis?

2 A I don't know.

3 Q Do you know what the difference is?

4 A I believe so. I mean, high-level accounting.

5 Q High level, what does it mean to you?

6 A Cash is when you report something on a
7 cash-in and cash-out basis. And accrual is more where
8 you may have accrued -- you may have accrued an expense
9 but may not have not paid for it yet or revenue that
10 you have earned but you haven't received the cash for
11 that yet. So you have accrued the revenue, but you
12 haven't received the cash for it yet.

13 Q Toward the end of 2013, the company was
14 running low on cash; is that right?

15 A I don't specifically recall. I don't know
16 low -- how low. I don't recall.

17 Q Or I guess in the two thousand -- late 2013
18 time frame, were you generally kept apprised of the
19 company's cash situation?

20 A Yes.

21 Q Would that be a report that [b)(6)] would send
22 you?

23 A Either that or I would just ask her. Yes.

24 Q And at any point in time, did you become
25 concerned in 2013 that the company was running low on
0549

1 cash?

2 A I would probably pay attention to it. I
3 wouldn't say I was concerned because our partnership
4 with Walgreens was in a good position and other things
5 in the company were gaining momentum. So I wouldn't
6 say I was concerned, but I had my eye on it.

7 Q Why did company raise funds from investors in
8 2014?

9 A In early 2014 or late 2014?

10 Q Why don't we start with the late 2013, early
11 2014 time period.

12 A Yeah. I think we had identified a few
13 strategic investors -- in 2013 towards the end, our
14 focus was: We were only going to primarily let
15 investors who are strategic investors invest. That
16 means they are not -- it's not just dumb money, as it
17 quote/unquote is called in Silicon Valley. That it's
18 not just money, but they're also bringing a greater
19 value add to us.

20 So, for example, if a hospital wants to
21 invest in us or -- directly or indirectly, our
22 expectation would be, you know, we would work with them
23 because we would have access to patient samples when we
24 do our 510(k) submissions, which is a major barrier.
25 So any investor we would work with, in our mind, had to

0550

1 provide some strategic value to the company for, you
2 know, the next five, ten, fifteen, twenty years.

3 So as part of that, we engaged with some
4 hospital partners and then there was this one hedge
5 fund, PFM, who had approached us around November time
6 frame, and then they came to us for an investment.

7 Q Was the company considering a C-2 round at
8 that -- that time frame, the late 2013, early 2014 time
9 frame?

10 A We were -- we -- like I said, I don't think
11 we would necessarily proactively, you know, say, "Okay.
12 We're going to go and do a C-2 round, and this is the
13 time frame, and this is how we're going to do it." It
14 was more opportunistic that if we find the right
15 investor, we would bring them in, and if we don't find
16 the right investor, we would wait. Because we always
17 thought that time is on our side as -- the longer it
18 took, the more progress we made, and the better off the

19 company would be in the long run.

20 Q And, I guess, what kind of progress did
21 you -- do you have in mind? Do you mean both in terms
22 of the development of the TSPU as well as the
23 commercial rollout or --

24 A Across the board. I mean, we just felt that,
25 you know, the wind was behind our back. We were making
0551

1 good progress. For example, in -- 12/31/2013, we
2 signed that amendment with Walgreens which was really
3 important, I think, for us because that committed
4 Walgreens to us as a national partner and besides some
5 other provisions in there. So that was a big milestone
6 for us.

7 So that kind of progress. And obviously on
8 the technology side, the longer time he had, we knew
9 our products would get better on the software side.
10 Now, finally, we were able to recruit more
11 aggressively, so we were building our software team,
12 and, you know, a year from January 2014, we would have
13 a lot more software to show our vision. That, you
14 know, we could actually tell somebody to go to
15 Walgreens with their iPhone app, and they can see the
16 whole process working rather than us just visually
17 describing to them what it meant.

18 So the more time passed, the better we got,
19 the better our product got, and more we could show.

20 Q Do you remember what the company's burn rate
21 was in late 2013?

22 A I wouldn't remember that specific detail.

23 Q Do you have -- I mean, just a ballpark, kind
24 of. Was it spending more or less than a million
25 dollars a month?

0552

1 A I'm sure it was more than a million -- a
2 million dollars a month.

3 Q Less than 10?

4 A Yeah, I think it was certainly less than 10.

5 Q Do you think it was more than 5?

6 A That, I don't remember specifically.

7 Q So we talked about the financial model a
8 little bit. Was -- in -- in -- in the late 2013 time
9 frame, was Elizabeth Holmes familiar with the company's
10 financial model?

11 A I may have shown it to her. Because over
12 time, we would sometimes sit and I would talk to her
13 about some assumptions I was making in the model, and a
14 lot of times, it was in the context of R&D because
15 we -- she was focused more on R&D.

16 And I would say, "What do you think your head
17 count's going to look like in chemistry," because I
18 didn't understand chemistry. So it was in the context
19 of kind of fine-tuning the model. And I would share
20 with her, "Hey, look, I" -- "I found this detail. I

21 want to share something with you."

22 So she probably would be familiar with a few
23 details, but I don't think the whole model -- we never
24 spent exhaustive time, me walking her through the
25 entire model.

0553

1 Q At any point in time in 2013 or later, did
2 she express any concerns about any of the assumptions
3 you made in the model?

4 A She probably would have. I mean, that was
5 the whole point of having a discussion with her. That
6 if she thought that our requisition per visit is low or
7 high, we would have -- you know, she would have made
8 some comments.

9 Q So you think -- you think you at least
10 discussed the assumptions with her?

11 A It may not have been that "Let's sit down and
12 show you all the assumptions," but like I said, over
13 time between 2010 and beyond, you know, we would
14 discuss assumptions and -- whether it was in the
15 context of the model or in general, but knowing that it
16 was going to go into the model, of course. So we
17 discussed a lot of things that ultimately made their
18 way into the model.

19 For example, if I recall correctly, I didn't
20 account for any estimates for -- any assumptions for
21 the DOD side, and that's something I would have said,
22 "Look, I don't want to include anything here because I
23 don't have visibility into that," and she would say,
24 "Fine" or something like that.

25 Q You -- you -- you -- sorry. You understood
0554

1 that -- let me rephrase that.

2 To your knowledge, Elizabeth Holmes
3 understood that you maintained a financial model for
4 the company; right?

5 A Correct.

6 Q And that -- that model was based on certain
7 assumptions based on -- in terms of the business and
8 its R&D; is that fair?

9 A And a bunch of other inputs. But, yes,
10 that's fair.

11 Q And she was generally familiar with the kinds
12 of inputs that went into the financial model?

13 A She may have been at some point, but I was
14 revving the model and adding so many assumptions that
15 she may not be familiar with all of them or even most
16 of them. So I -- I wouldn't necessarily make that --
17 that blanket statement.

18 BY MS. CHAN:

19 Q Did she ever edit the model?

20 A To the best of my knowledge, no. And there
21 was one time I actually had a couple of questions for
22 her, and I had put a model with her name on it so she

23 could edit, but I don't think she ever did because I
24 continued with my assumptions and I never even looked
25 at that model. So my -- I think my answer is: No.

0555

1 Q Where did you keep your financial model? In
2 which folder?

3 A It was a folder called 300, and this is -- I
4 shared earlier yesterday that I -- I used that almost
5 as my home drive. So everything that I was working on
6 was on -- pretty much everything. I shouldn't say
7 "everything." Pretty much everything was on the H
8 drive. And under the H drive, I had a folder called
9 either Fin or something like that. Oh, sorry, under
10 300 folder.

11 Q And Ms. Holmes had access to the 300 folder?

12 A I believe so. Yes, she did.

13 Q Do you know if she opened documents or
14 reviewed them?

15 A I don't know.

16 Q Do you know if she would have opened
17 documents and revised documents under the 300 folder?

18 A I would say in general, no, because I didn't
19 see much activity from her on -- on the 300 -- in my
20 folder, like, the 300 folder. She may have had her own
21 folder and she'd use that as her own folder. I just
22 used our common folder as it were my own folder. So
23 she may not have. I wouldn't be able to tell.

24 BY MR. KOLHATKAR:

25 Q I'd like to turn back to the big rubber-band

0556

1 document that's Exhibit 221.

2 And if you could turn to the page ending
3 1036263.

4 A Okay.

5 Q And I'm looking at the lines starting on
6 November 21st, 2013, at 5:35 p.m., or 5:35.

7 A Yes.

8 Q And do you see that message from you saying,
9 "U should make yourself comfortable with financial
10 models. Alternatively, you can cover everything else
11 and I can meet with him on Tuesday and answer any
12 questions."

13 A Yes.

14 Q And do you see this is in relation above
15 to -- this is in relation to someone name Saurabh
16 above?

17 A Yes.

18 Q Who is that?

19 A He was a quant, a number cruncher for an
20 investment firm based out of Hong Kong.

21 Q Do you remember what that investment firm
22 was?

23 A DST.

24 Q Was DST a prospective investor in Theranos?

25 A They had shown interest in -- in us. So I
0557

1 met with them, I think, once or twice, but I don't
2 think our conversations really progressed much
3 further.

4 Q I guess, do you have any -- any recollection
5 of why you're asking Ms. Holmes to get comfortable with
6 the financial models?

7 A Yes. Because otherwise, I would have to be
8 in the meeting. So I thought if she could cover that,
9 then I didn't have to be in the meeting and I could do
10 something else. But if she didn't, then like I said
11 here, you know, I would do it on some other day, on
12 Tuesday.

13 Q And do you know if she ultimately did get --
14 did present the model to someone from DST?

15 A She did not.

16 Q How do you know?

17 A Because I did. I met with this guy. That's
18 why I remember him. He was a quant.

19 Q Okay. If you turn to the next page -- I
20 guess, what do you mean by "quant"? I should clarify
21 that.

22 A Yeah, sorry. He's a number cruncher.

23 Q The page ending 1036264.

24 A Yes.

25 Q I'm looking at the -- still November 21st,
0558

1 2013. Now this is at 1727:58.

2 Do you see that?

3 A Sorry, again?

4 Q 1727:58 is the time.

5 A Yes.

6 Q And the question from her is: "Does he need
7 username and password for financial model?"

8 A Yes.

9 Q And then it looks like a couple of lines
10 down, you say, "Please close file."

11 A Yes.

12 Q What are you asking here?

13 A She had probably had the file open and I
14 couldn't save it. So she was probably looking at the
15 file, and I was telling her to, I'm guessing because
16 that's what it would mean, to close the file so I could
17 save it.

18 Q And it looks like a couple of lines down, you
19 say, "File under DST folder under Fin."

20 A Uh-huh.

21 Q "Not safe to give to him yet."

22 A Yes.

23 Q Why was that?

24 A That's because you're looking at the time
25 frame, which is 11/21/2013. At that point my financial
0559

1 model was really raw. I had my commentary all over the
2 place with my code names like Normandy and bunch of
3 other things, and many of the assumption were blank or
4 I didn't have them filled out correctly. So I wanted
5 to clean up some of my internal commentary. Chances
6 are, there was probably something internal that I
7 didn't want him to see, so I probably had wanted to
8 remove that.

9 But I would glance at it before I would hand
10 off the model to somebody else to make sure there's
11 nothing referring to anything proprietary in the model.

12 Q Okay. So in other words, you wanted to make
13 sure that you weren't revealing any of those trade
14 secrets in the financial model; is that correct?

15 A That would be one, or it could be something
16 else. Like I said, I had a lot of foolish comments to,
17 like, killer software engineer and stuff like that. So
18 I wanted to send a reasonably saner model.

19 Q At some point in time, did you develop a -- a
20 reasonably saner model that could be shared more
21 broadly?

22 A I think around the end of 2014 when I had
23 this consultant who helped me with this model from
24 DST -- not DST, from BDT, it started to look better and
25 I had removed a lot of that vocabulary from there.

0560

1 MR. COOPERSMITH: Mr. Balwani, you said the
2 end of 2014. Is that what you meant to say?

3 THE WITNESS: Yes. Towards the end of 2014.

4 MR. COOPERSMITH: Okay. Sorry. I don't --

5 MR. KOLHATKAR: Go ahead.

6 MR. COOPERSMITH: There was some lack of
7 clarity on the date.

8 BY MR. KOLHATKAR:

9 Q Sure. I guess, in your mind, I guess, when
10 did you develop a, you know, a model that was cleaner
11 for -- to -- that took out some of that internal
12 commentary you described?

13 A It happened over time because I -- I was
14 obviously, like here, I was cleaning it also. But the
15 end of 2014 is when I met with a consultant who helped
16 me format the columns, label the columns correctly, put
17 some percentages here and there to show more
18 information that was already in the model, but to
19 view -- make it easier to read.

20 And then after that, I continued to -- to
21 make it better, to make it even more saner. But I
22 wanted the model to be easy to consume and easy -- easy
23 to -- to edit and change.

24 Q If you'll go to the next page, the page
25 ending 1036265. The top of the page, about five lines

0561

1 down, there's a message from Ms. Holmes. It says,
2 "Projects include BS and 2013 numbers. I guess it is

3 okay."

4 A Sorry, where are you?

5 Q Five lines down at 11 -- 22nd of November

6 2013 at 5:17 and 38.

7 A Yeah, I see that.

8 Q It says, "Projects include BS and 2013

9 numbers."

10 What did you -- you understand that to mean?

11 A I mean, I don't recall looking at the line.

12 But what it says, "BS" stands for balance sheet, I

13 assume. And I don't know what "2013 numbers" would be.

14 I would have to look at the model to refresh my memory.

15 Q And does "projects" refers to projections?

16 A Probably, yes.

17 Q So, I guess, did Ms. Holmes sometimes use the

18 term "projections" when talking about your financial

19 model?

20 A Internally. Like I said earlier, we used to

21 refer to this file as financials, fin, fin model,

22 different names referring to this file.

23 Q And she may have used "projections" as well

24 for that file?

25 A In this case, seems like it.

0562

1 Q And it looks like she says, "Saw you took the

2 10M TPS out of Sequoia 2013 projects."

3 Do you see that?

4 A Yes.

5 Q What does that mean?

6 A I don't recall what it means.

7 Q Do you know -- do you know what "TPS" mean?

8 A Yeah. "TPS" would be Theranos pharmacies

9 shield. It was the acronym we used for I think some of

10 the pharmaceutical work we did -- we did, so we had to

11 come up with an acronym to define the pharma

12 projects -- the pharma contracts. And we used to call

13 it TPS for some reason. It was -- the name was there

14 before I came.

15 Q Okay. So -- and just so I understand, the

16 TPS refer to Theranos's --

17 A Pharmaceutical.

18 Q -- pharmaceutical --

19 A Yeah.

20 Q -- services?

21 A That's what I think, yeah.

22 Q Okay. The -- and it looks like -- so it's

23 your understanding, this -- this 10 mil -- 10M, do you

24 understand that to be 10 million?

25 A Seems like it, yes.

0563

1 Q To be in reference to the pharmaceutical

2 services?

3 A Correct.

4 Q In 2013, was -- again, was -- was Theranos

5 receiving \$10 million for pharmaceutical services?

6 A I don't know. I mean, like I said, this was
7 a model, so I would have probably put some number or
8 not. So I don't recall what it meant here. And if I
9 see the model, I would be able to comment more
10 accurately.

11 Q Okay. I guess I -- I do want to get to the
12 model. I just want to get a sense of what you
13 understood Ms. Holmes to -- to understand about the
14 model.

15 A I think she was commenting on something in
16 the model.

17 Q And was it your sense here that one of the
18 lines she's commenting on is the pharmaceutical
19 services line that's in that model?

20 A That's what -- it seems like it, yes.

21 Q And looks like at one point, she says, "I
22 guess if 25 is an issue, 35 would be too."

23 And you respond, "We'll look another way. If
24 25 is not good enough, then 35 won't be either."

25 What does that mean?

0564

1 A I wouldn't be able to guess from this general
2 statement. I would -- like I said, if I see the model,
3 it may make more sense.

4 Q Do you have any recollection of a discussion
5 with Ms. Holmes about the model that was sent to
6 Sequoia?

7 A I don't.

8 Q Who is Sequoia?

9 A It's a venture capitalist firm that had shown
10 interest in us.

11 Q And did you consider them to be a potential
12 strategic investor?

13 A At that point, yes.

14 Q What about DFJ?

15 A They were an existing investor in us.

16 Q Who was it?

17 A Draper Fisher Jurvetson.

18 Q Did you share projections with Draper Fisher
19 Jurvetson?

20 A You mean the model?

21 Q The model.

22 A I don't recall.

23 BY MS. CHAN:

24 Q Why would you consider Sequoia as a strategic
25 investor?

0565

1 A Sequoia is a legendary Silicon Valley firm.
2 I mean, it's not just any other firm. They have
3 invested, I think, in some of the biggest marque names
4 in Silicon Valley. So they have incredible depth.
5 They could have guided us like no other -- very few VC
6 firms.

7 BY MR. KOLHATKAR:

8 Q Did you see Theranos as a venture stage
9 company in late 2013?

10 A We saw us as a -- still like a life sciences
11 startup. I don't know what "venture stage" technically
12 would mean. But -- you mean like something that we'd
13 go to a venture capital for money?

14 Q I guess in your own mind, in 2013, what
15 did -- what did a venture stage firm mean to you?

16 A Well, I don't know, which is -- what -- the
17 way we described ourselves was: We were an LS startup.
18 And even though, yes, it was 2013 and we had, like, 200
19 people or something like that, but where we were in our
20 life cycle, we looked at ourselves as a startup. And
21 actually even in 2015, I'd say we are a startup. So we
22 used to look at us as a startup. I don't know about
23 "venture stage." I don't know what that means.

24 Q Did you ever describe it as a -- in your own
25 mind, was Theranos a late-stage or early-stage startup?

0566

1 A I don't know. I'm not familiar with the VC
2 industry, and I haven't spent too much time there, so I
3 wouldn't know how they categorize things, so I wouldn't
4 like to guess.

5 BY MS. CHAN:

6 Q Could you turn back one page to 1036264 of
7 Exhibit 221.

8 A 264?

9 Q 264. Yeah, just one page back.

10 A Yes. Uh-huh.

11 Q So towards the middle of the page, it's
12 November 21st, 2013, at 1745 and 19 seconds. Do
13 you see there's a text message from Elizabeth to
14 yourself where she says, "Can I edit it? There are
15 typos."

16 A Yes, I see that.

17 Q She's talking about editing the financial
18 model?

19 A It seems like it. Because if that's the
20 conversation that we are still talking about, then the
21 answer would be: Yes.

22 Q Okay. And then she goes on to say, "Okay to
23 open?"

24 Do you remember her editing the file at that
25 time?

0567

1 A Like I said earlier, I don't recall. She had
2 access to it. And this was in 2013. We are early. So
3 even if she edited it like she's referring to here,
4 there may have been typos, but I don't recall her
5 making any meaningful changes that I recall in the
6 model. And it is highly likely that if I didn't know
7 that she had made changes, I probably ignored them
8 because I had another version that I was working off

9 of.

10 Q When you discussed the financial model with
11 Ms. Holmes, how would you do it?

12 A I had probably the biggest screen anybody
13 else had in the office, about a 30-inch Mac terminal.
14 And usually, I would ask her to come over, and I would
15 tilt the screen towards her, and would have it on my
16 machine and I would show it to her. Sometimes I would
17 project in the conference room, but rare. Mostly it
18 was on my screen.

19 Q So you would talk over the assumptions with
20 her, and, you know, if she had any input, would you
21 make those changes?

22 A Yes.

23 Q And generally, did she -- did she understand
24 how the model flowed? You know, that you would put an
25 assumption and that it would run through the other

0568

1 different financial statements?

2 A Yeah. I mean, I think in our -- 2013 when
3 the world was simpler, we spent a little bit more time.
4 But after that, we got busier, so she spent less and
5 less time. So I don't know how much she understood
6 after that point. But in 2013, I did show her "Here
7 are the inputs. If I modify these, it will reflect
8 here and it reflects here and it reflects here."

9 So I -- I walked her through it. I don't
10 know if she spent any time on that or not.

11 BY MR. KOLHATKAR:

12 Q What was your saving convention saving the
13 files -- these model files in your 300 folder? Did you
14 name the file by the date that you were changing a
15 file?

16 A It changed over time, and actually depended.
17 In many cases, I would just keep editing -- if
18 something was not major, then I would not rename it, so
19 I would just keep editing the same file. But when I
20 reached a point where I thought it's worth forking
21 because I would like to go back and remember some
22 things from the old model, I would fork it out. I
23 would rename it as something else. And I'd keep the
24 old one as is, and then I'd work on the new one, and
25 then I'd keep doing that.

0569

1 Q I guess, how would you -- how would you
2 delineate which was the -- the more current fork in the
3 road?

4 A Yes. By the timestamp, most likely, but I
5 would also give them somewhat -- over time as I had
6 more models, I would give it a little bit more
7 descriptive name. So, for example, "As of BOD meeting"
8 or something or "As of talking to Elizabeth" about
9 something. So I would put some kind of commentary in
10 the file name itself.

11 Q I'm going to hand you a document that I'll
12 mark as Exhibit 250. You can put Exhibit 221 to the
13 side for now.

14 For the record, 250 -- Exhibit 250 is a
15 document Bates-stamped TS-0400455 through
16 TS-0400456.

17 Let me know when you've had a chance to
18 review it.

19 (SEC Exhibit No. 250 was
20 marked for identification.)

21 THE WITNESS: (Witness reviewing document.)

22 Okay.

23 BY MR. KOLHATKAR:

24 Q Do you recognize Exhibit 250?

25 A Yes, I do.

0570

1 Q What is it?

2 A It's an e-mail exchange between myself and (b)(6)
3 (b)(6); (b)(7)(C) Sequoia Capital, and
4 I've CC'd Ms. Holmes on this -- actually, she's CC'd on
5 the thread.

6 Q Who -- and who -- what was -- do you know
7 what (b)(6); (b)(7)(C) role was at Sequoia Capital?

8 A Yeah. (b)(6); (b)(7)(C)
9 (b)(6); (b)(7)(C)
10

11 Q The -- I'd like to focus your attention on
12 the last e-mail in this chain. The -- the long
13 paragraph in your e-mail dated December 6, 2013, it
14 says, "We have approximately 108 million currently in
15 deferred revenue."

16 Do you see that?

17 A I do.

18 Q Is the paragraph that proceeds kind of an
19 accurate summary of your understanding of Theranos's
20 deferred revenue as of December 6, 2013?

21 A It would be accurate as of 12/6/2013, back
22 then. I don't remember right now, sitting here,
23 whether -- without the model to be able to comment on
24 that. But it would be accurate. I wouldn't be sending
25 anything to him that was not accurate.

0571

1 Q I guess, just -- well, you know, I understand
2 that the elements of this would be placed in the model,
3 but does this comport with your memory of what you
4 understood the deferred revenue situation was at
5 Theranos as of December 6th?

6 A To the best of my understanding, I think the
7 answer would be yes, of course.

8 Q And did anything -- did anything about
9 Theranos's deferred revenue -- your understanding of
10 Theranos's deferred revenue change after December 2013?

11 A Yes, significantly.

12 Q How so?

13 A We signed an amendment with Walgreens I think
14 just less than a month after I sent this e-mail, and
15 that modified our contract terms with Walgreens, and we
16 got the 75-million-dollar payment from Walgreens as
17 part of that. And that changed the contract -- that
18 changed the -- the assumptions behind this contract.

19 Q And so how would that have changed the -- the
20 description of deferred revenue that exists here?

21 A Yeah. So first of all, the -- I think about
22 a hundred million of this -- again, I don't remember
23 the exact details, but the hundred million of Walgreens
24 revenue, 75 million would be deferred. Now, it would
25 not be -- no longer be deferred was my understanding

0572

1 because now we get to recognize it because the
2 contract -- according to the contract, it was
3 nonrefundable.

4 Q Okay. That's helpful. Thank you. You can
5 put -- put Exhibit 250 to the side.

6 We don't have a projector in this room, so
7 I'm going to hand you a document that is -- that I'll
8 mark as Exhibit 251. It's a bigger sheet of paper.

9 A Thank you.

10 (SEC Exhibit No. 251 was
11 marked for identification.)

12 BY MR. KOLHATKAR:

13 Q For the record, Exhibit 251 is a native
14 printout of an Excel document. I'll represent that each
15 page printed out represents a single worksheet in
16 the -- in the Excel file. And the document was
17 produced at PFM0017759.

18 Mr. Balwani, I'll represent to you this is a
19 native version of the model that a PFM representative
20 received from -- from Theranos in January 2014.

21 A Okay.

22 Q Do you recognize Exhibit 251?

23 A It looks like one of the models that I worked
24 on.

25 Q And I guess -- I guess, just stepping back,

0573

1 what is PFM?

2 A It's a hedge fund. I think it's called
3 Partner Fund Management. I used that as an acronym.
4 They had different funds under management, but I used
5 PFM to refer to that -- all of them together.

6 Q Did you provide PFM with a -- with your
7 financial model in January 2014?

8 A I did.

9 Q The -- were they considering an investment in
10 Theranos at the time?

11 A Yes, they were.

12 Q Did Theranos consider PFM to be a potential
13 strategic partner?

14 A Yes.

15 Q Why is that?

16 A When they had approached us in November of
17 2013, their first couple of meetings they had with
18 Elizabeth and then briefly with me in January, again,
19 they were giving us their background about their depth
20 in healthcare, their expertise, their interactions with
21 the FDA, their -- some of the LPs had FDA expertise.

22 So it seemed like a fund that knew of our
23 space very well, and they seemed -- seemed very
24 knowledgeable. And so that was the primary reason.

25 Q How did you provide this financial model to
0574

1 PFM?

2 A So first, I had shared this model with them
3 on a screen. I projected it in the conference room.
4 And I worked through the model, showed them different
5 assumptions. And then they wanted a copy of this model
6 so they can play around with it, and I gave it to them
7 on a USB stick.

8 Q And did they ask questions about the
9 financial model?

10 A I'm sure that when I was talking to them in
11 the conference room, they did. But I don't think after
12 I gave them the USB stick, then we went together and
13 went through the model after that. But they may have
14 asked me a few questions after that.

15 Q Okay. So just -- I mean, to the best of your
16 memory, the primary conversation about the model was
17 when you were displaying it on the screen, and then
18 after that meeting, you provided it to them on a thumb
19 drive?

20 A Yeah. And if they had any questions, they
21 probably asked me those questions and I answered them,
22 but I don't recall right now.

23 Q So this first page is -- I'll represent is
24 entitled "Macro Market Assumptions."

25 A Yes.

0575

1 Q Sorry, the -- the -- the sheet page doesn't
2 show up on the -- on the printout.

3 But what did you intend the Macro Market
4 Assumptions tab to include?

5 A There was some data that was what I call
6 constant or fixed that, you know, I had learned from --
7 like I said, some data from the CDC and some of our
8 internal calculations or internal assumptions. And I
9 put all of those big assumptions in one tab right here.

10 Q If you focus on the top of the page, there's
11 "2014 device cost plus installation/config plus
12 training."

13 A Yes.

14 Q What does that refer to?

15 A It refers to one of the devices, whatever the
16 current version of the Theranos TSPU would be,

17 manufacturing and training cost for that.

18 Q So here, the assumption is that the -- in
19 2014, the cost for -- is this for -- does this
20 assumption include manufacturing?

21 A Yes. It includes everything.

22 Q Okay. So building it, installing it, getting
23 it ready to -- to go?

24 A And training the people in the CLIA lab or
25 whoever is going to get trained on it and -- yeah,

0576

1 fully loaded cost.

2 Q How did you come up with a 70,000-dollar
3 figure for that assumption?

4 A At that point -- again, this is two
5 thousand -- late 2013, so it was a rough ballpark. I
6 was looking at our expenses in manufacturing, how many
7 labor hours it takes in the manufacturing, again at
8 macro level because we were not making enough units to
9 be able fine-tune this yet. And so I probably asked
10 some data from (b)(6); (b)(7)(C) to see what our spending was in
11 manufacturing, how much we are spending on the supply
12 chain. I may have asked (b)(6); (b)(7)(C) to crunch
13 some numbers for me.

14 So it really -- was a really rough ballpark
15 where we thought we were -- or where I thought we were.

16 Q And how did you decide that 50,000 would be
17 the -- should be the assumption for 2015?

18 A Primarily, it was assuming that in 2015, we
19 would improve our manufacturing processes. Our device
20 is going to evolve. Some components will get cheaper.
21 Some of the detection systems that we use in devices
22 were -- were expensive. Like any other electronics,
23 you know, you buy the latest version, it's more
24 expensive. By the next year, it gets cheaper. So there
25 were some pieces that were going to get cheaper, some

0577

1 economies of scale we would have achieved. So again,
2 it was a ballpark, rough estimate.

3 Q Does -- do -- do any of the assumptions here
4 include costs that Theranos would have to incur for --
5 for either modifying or purchasing third-party
6 commercial analyzers?

7 A Yeah. I had included all of the big machines
8 or big equipment somewhere. Here (indicating).

9 Q Sorry, just for the record, you're pointing
10 to what? It's not paginated, so if you could just kind
11 of flip through and count the pages. I think you're
12 looking at Page 4.

13 A One, two -- fourth page, under "Cap Ex,"
14 capital expenditure, "Lines of Production."

15 Q So in your view, "lines of production" meant
16 costs related to third-party devices?

17 A Yeah. I mean, third-party devices, also the
18 lines of automation that we were putting in the CLIA

19 lab because we had purchased an automation line for the
20 CLIA lab also. So every -- all of the big capital
21 equipment that we were going to spend money on is
22 included here.

23 Q And the -- the fourth page was -- this is a
24 summary statement of cash flow; is that right?

25 A Correct.

0578

1 Q Did you ever explain to PFM that -- that the
2 lines of production assumption assumes those items that
3 you just described?

4 A Yes. We talked about this. They asked me
5 that question. And I said all of the big machines that
6 we buy for any purposes, for R&D, for labs, for
7 manufacturing, I put here bundled together.

8 Q In other words, it was your understanding
9 that PFM understood that Theranos purchased third-party
10 commercial analyzers for CLIA lab use?

11 A Yes.

12 Q And who was -- was that conversation with?

13 A I met with them several times, and we used to
14 talk about high throughput as part of the Stage 1 -- or
15 Phase 1, sorry, model, and I used to run some numbers
16 for them about how we would automate something. I
17 actually even showed them some of the machines when we
18 went for the tour in the R&D lab, saying, "These are
19 the kind of machines we use for high throughput
20 processing in our CLIA lab," and these were all
21 third-party big machines.

22 And then, of course, they knew we were doing
23 finger -- venipuncture. And our plan was: When we
24 open the lab in Arizona, I explained to them initially
25 it's going to be a moderate complexity lab where we

0579

1 will put all the FDA-cleared equipment.

2 Q Who from PFM did you take on that tour of the
3 lab?

4 A [REDACTED] I believe [REDACTED] I
5 believe the third guy, [REDACTED]

6 [REDACTED] But actually -- you
7 know, actually, it may not be [REDACTED] It may be just
8 two. If there was a third, maybe somebody else.

9 [REDACTED] didn't come on the lab tour.

10 Q What about the explanation of lines of
11 production, who do you think you provided that
12 explanation to?

13 A With [REDACTED] I had a long
14 discussion about that.

15 Q So I want to turn back to the -- back to the
16 macro market page here.

17 You see there's a -- there's two depreciation
18 lines there. Do you see that?

19 A I do.

20 Q Can you explain what the -- the first one

21 says, Depreciations PP&E, paren, SL assumed.

22 What does that mean?

23 A This is -- I just used the words property,
24 plan, and equipment, so all the heavy machines that we
25 were buying. I assumed a ten line -- ten-year straight
0580

1 line. So I was not using any -- any complicated
2 formulas. I was just dividing it by ten and using that
3 as my assumption.

4 Q And -- and what about "device depreciation,"
5 what does that refer to?

6 A That -- again, if I looked at the model, the
7 formulas, I would be able to confirm it, but I think it
8 would refer to our TSPUs, the two lines you see above.

9 Q And how did you decide the period of time
10 over which Theranos would depreciate these assets?

11 A I just picked a ballpark number. There
12 wasn't -- I didn't know what the accounting was going
13 to be. Just for the sake of modeling, I was using these
14 two numbers.

15 Q Did you share these assumptions with (b)(6);
(b)(7)(C)

16 (b)(6);
(b)(7)(C) }
17 A I don't think so.

18 Q Did you ever ask (b)(6); (b)(7)(C) how long the --
19 the company's depreciation period was for its assets?

20 A You know, I may have asked her once about
21 what was our -- you know, the depreciation timeline.
22 The ten years may have come from that, but I don't --
23 I'm not a hundred percent sure.

24 Q How did Theranos track the number of devices
25 it had at this -- you know, end of 2013 early 2014 time
0581

1 period?

2 A For the most part, in software because when a
3 device wakes up, it sends its heartbeat to the Cloud,
4 so in the Cloud, we can see which device is there or
5 how many devices. And they were all unique. They had
6 a serial number. But then we also had a log in
7 manufacturing where they tracked it.

8 Q Do you have a sense of how many 3.0s or 3.5s
9 the company had around this time period?

10 A End of 2013, I would say 2 to 300 would be a
11 good estimate.

12 Q Were they -- were all 2 to 300 in use in
13 the -- either of the R&D or CLIA labs?

14 A Yeah. I think I answered the question
15 yesterday. That they used to rotate because they are
16 such small devices, they're so easy to move, that they
17 would be rotating between R&D, CLIA, manufacturing
18 would be refurbishing them, servicing them, so they
19 would keep rotating.

20 Q I guess, in that refurbishing or servicing
21 process, would it ever be the company's practice to
22 sort of use a machine for parts?

23 A Or to just break it down and --
24 Q To break it down and say, "This piece is
25 still good. The rest of the machine is not working.

0582

1 Let me use this piece in another device"?

2 A I would say yes, but I'm not sure about
3 that -- that level of detail, but I would -- my common
4 sense would say yes.

5 Q Who at Theranos was responsible for
6 overseeing the manufacturing process for TSPUs?

7 A It evolved over time. Obviously when we were
8 a small company -- you know, when I joined the company
9 there were, I think, ten people in manufacturing, and
10 there was a guy, (b)(6); (b)(7)(C) but then within
11 six months of that, we had hired another guy. (b)(6); (b)(7)(C)
12 (b)(6); (b)(7)(C) and he kind of grew into that role of
13 managing not just the R&D but also the production.

14 So -- and then ultimately I believe in 2013,
15 we hired a full-time VP of manufacturing. (b)(6); (b)(7)(C)

16 (b)(6); (b)(7)(C) So -- and then he basically took over
17 the whole manufacturing.

18 Q Who did those people report to?

19 A (b)(6); (b)(7)(C) reported to me. (b)(6); (b)(7)(C) also
20 reported to me, I believe. (b)(6); (b)(7)(C) I think reported
21 to Elizabeth.

22 BY MS. CHAN:

23 Q Is (b)(6); (b)(7)(C) familiar with the development
24 of the 4 series miniLab?

25 A Not necessarily. He was more in

0583

1 manufacturing. He would only come at the tail end.

2 Q Oh, I see. Okay.

3 So who was involved in the R&D process of
4 developing the TSPUs?

5 A There were a lot of people. It was -- like I
6 said yesterday, it was a very collaborative process.
7 You would have software developers, electronic
8 engineers, chemists, and obviously mechanical
9 engineers. So there were a lot of teams working
10 together. But there was no one person would owned it.
11 I would say both Elizabeth and I owned it together, but
12 I would, you know, even with that, say, like, 10
13 percent. 80 percent was a collaborative team effort.

14 Q Did the 4 series ever go into production,
15 into manufacturing?

16 A Yes, it did. We actually submitted our
17 510(k) to the FDA on a 4 series device -- on a bunch of
18 4 series devices.

19 Q So they're in production?

20 A Well, I don't now about now.

21 Q Or at the time that you left the company?

22 A I mean, I don't know. By "production," do
23 you mean were they in the CLIA lab?

24 Q Were they being manufactured by Theranos --

25 A Yes.

0584

1 Q -- in bulk?

2 A Yes. We were always manufacturing those
3 but -- by bulk. Our manufacturing lines, we had made a
4 significant investment in Newark. It was just an
5 amazing facility. And we could -- we had estimated we
6 could produce about 200 devices a month, but we hadn't
7 gotten to full throttle yet. So I think we were about
8 30 devices a month production capacity.

9 And most -- some months, we were backed up
10 because we would have this new modification we made and
11 now we needed to make changes, so all these --
12 manufacture would get busy, and then some months, the
13 R&D guys would say, "Hang on, we need to make some
14 changes before you make anything."

15 So it was kind of more of a creative a
16 process.

17 BY MR. KOLHATKAR:

18 Q If you'd take a look at sort of the box below
19 there. Still on the first page --

20 A I'm sorry, yeah.

21 Q -- of Exhibit 251. "Retail Pharmacies,"
22 there's a number that says "revenue per requisition."

23 Do you see that?

24 A I do.

25 Q How did you determine what the average

0585

1 revenue per requisition would be?

2 A Yes. Again, it was a guess that -- Safeway
3 and we had worked together on this thing, and the way
4 we reached this number is the following:

5 We had access to what other labs were
6 charging Medicare because Safeway had a lot of data on
7 lab patients. And then we also, I think over time,
8 acquired data from Medicare directly because you can
9 buy data from Medicare. Anonymized, of course. And we
10 looked at what is the average requisition that a
11 Medicare patient has. An average requisition for a
12 Medicare patient was about -- I don't know if it was
13 mean or median, but the average was about \$80 -- \$65 to
14 \$85, just like it says here.

15 And then Safeway and Theranos, back then, had
16 decided that we wanted to be better, and cheaper, and
17 all the other advantages, so we picked 50 percent of
18 Medicare as the line that we would draw and say, "Okay.
19 We will shoot for that." And the 50 percent of Medicare
20 would have been about 40 to \$45. And we went further.
21 We used \$35 to be conservative as the estimate.

22 Q Did Theranos ever conduct an analysis to see
23 if it could break even while charging, you know, half
24 this cost to Medicare for -- for patient testing?

25

0586

1 A Yes.

2 Q Did that happen throughout 2013 and 2014?

3 A I don't think it happened in detail in 2013.

4 But in 2014, I had generated many models. It was
5 volume driven, of course. You know, it's like a
6 restaurant. If you have one patient coming, you don't
7 make money, and if you have sufficient, you make money.

8 So I had done an analysis, and I had looked
9 at, you know, different assumptions again. And our
10 revenue per requisition, in reality, ended up being
11 higher than 35. In Arizona, I think we were getting
12 about 44 or \$45 over an extended time. And so
13 obviously, you can fine-tune those assumptions.

14 And as we learned more about how much a
15 phlebotomist is going to cost, what's the fully loaded
16 cost for different things, at some point -- I don't
17 know if it was 2014 or '15, but I had created a very
18 complicate -- comprehensive model that had every single
19 detail all the way to gas, and car wash, and other
20 things.

21 Q Did that include the cost for maintaining
22 third-party analyzers in a centralized CLIA lab?

23 A Yeah. I mean, that was under a CLIA lab
24 cost. So there's not -- it's difficult to separate out
25 costs of maintaining third-party analyzers. It

0587

1 included the CLIA lab cost. That would include labor
2 and everything else in the CLIA lab, including
3 reagents, of course.

4 Q The --

5 BY MS. CHAN:

6 Q What was your break-even point? How many
7 tests did -- did Theranos have to conduct in order to
8 break even on its costs?

9 A I won't recall because it was changing over
10 time. As we were investing more in R&D, obviously the
11 break-even point changes. But -- so I won't -- I mean,
12 I'll have to be specific at a time point.

13 But I think on average, it was about, you
14 know, 15 patients or 20 patients a day, again assuming
15 a certain volume. If you're only in location, at 15
16 patients a day, you're losing money. You have to be in
17 a whole bunch of locations to break even.

18 BY MR. KOLHATKAR:

19 Q Did you have a sense in terms of number of
20 stores Theranos would have to be in before it would
21 likely have the opportunity to break even?

22 A I don't think it was a matter -- a factor of
23 a number of stores, it was a number of requisitions and
24 patient samples, which is why samples was very
25 important even more than patients per day. Because

0588

1 like I said earlier, if somebody brings multiple
2 samples -- it is the value of the requisition that

3 mattered other than how many people you are getting.
4 Right? If somebody comes in with an expensive test,
5 you make more money.

6 So it depended on that more than number of
7 stores. Number of stores was just a really weak
8 indicator of growth. Because you could be in, you
9 know, 500 stores and you're getting ten patients a day
10 or you could be in, you know, 200 stores and getting a
11 hundred patients a day. Right? So it was more
12 important that we are getting more requisitions, more
13 valuable requisitions, and then of course more
14 patients, and then how many locations you have.

15 Q I guess, did you -- did you have this view in
16 2014 that number of stores was a weak indicator of
17 growth?

18 A Yeah, of course. It's evident from the
19 model.

20 Q How so?

21 A Because if you just look at the
22 assumptions --

23 Q Okay. Again -- you're ahead. I want to
24 circle back to that point.

25 A Yeah, of course.

0589

1 BY MS. CHAN:

2 Q Can I just clarify.

3 So when you said 15 to 20 patients per day
4 would break even, is it patients or is it requisitions?
5 You were making a distinction there.

6 A Yeah. Like I said, it depends on the time
7 point, and I'm giving you a very rough figure from
8 memory without knowing which time point we are talking
9 about. But if you get highly valuable requisitions,
10 then even 12 would -- or 13 would have been fine.

11 And there was, actually, I think one analysis
12 I had done where 10 to 12 patients or 13 patients was
13 sufficient. And another, you know, 20 was sufficient.
14 So it was -- it was a wide change. Obviously, as we
15 learned more, it got more and more fine-tuned as we
16 get -- got more data from the field.

17 Q Is that patients or requisitions from the
18 field?

19 A I don't know. That's what I'm saying, is
20 that I didn't have enough data. So you can slice it
21 any way. Either you can say it was 12 to 20 patients or
22 12 to 20 requisitions. It doesn't matter. It's the
23 value of the ticket that matters. So my range was
24 pretty broad and we were still learning, like I said
25 earlier.

0590

1 So you could assume requisitions doesn't
2 really matter. I think my point is: At the end of the
3 day, it doesn't matter.

4 Q Okay. So when you said 15 to 20 -- you

5 remember it at some point being 15 to 20 patients per
6 day. When was that?

7 A I don't know. That's what I've said several
8 times. I don't know the time point. This number would
9 have changed depending on how much were -- we were
10 spending on R&D, whether we were going to spend more on
11 R&D. You can also modulate those assumptions. If you
12 assumed we are going to reduce the R&D burn, R&D
13 expense, then the patients per day or requisitions per
14 day goes down.

15 So there were a lot of inputs that went into
16 coming up with that pretty broad range, which is why it
17 was not the most important thing that I focused on. I
18 was more focused on the overall growth.

19 MR. KOLHATKAR: We'll go off the record at
20 1:50.

21 (A brief recess was taken.)

22 THE VIDEOGRAPHER: Rolling.

23 MR. KOLHATKAR: We are back on the record at
24 two zero -- 2:03 p.m.

25 BY MR. KOLHATKAR:

0591

1 Q Mr. Balwani, just to confirm, we didn't have
2 any substantive conversations during the break; is that
3 correct?

4 A That's correct.

5 Q Before we went on break, we were discussing
6 Exhibit 251.

7 Do you recall that?

8 A Yes.

9 Q And I want to return to that exhibit now.

10 A Yes.

11 Q I guess looking down at some of these other
12 macro assumptions, there -- there's an assumption in
13 the ER space for annual tests per miniLab ratio.

14 A Yes.

15 Q What does that represent?

16 A I don't know exactly. I will have to look at
17 the formula and -- and make sense out of that. It's a
18 ratio. So I don't know what it is -- what numbers are
19 being divided out.

20 Q Okay. Based on the description, would you
21 imagine it to be the -- it's the number of tests
22 divided by the number of miniLabs?

23 A That's what the formula -- I mean, the
24 expression says here, but I don't know what the logic
25 behind 6,000 is.

0592

1 Q I mean, did you -- did you have a sense at
2 that point in time how many samples a TSPU could run in
3 a year?

4 A Not in the ER setting, not by a long shot,
5 not by a long shot. And ER and ICU were not part of
6 our models for a very long term yet.

7 Q Okay. So, I guess, what were these -- why
8 were -- why -- why did you have assumptions for the ER
9 and ICU here?

10 A Well, like I said, earlier, as I learned
11 information from the market, I would just add things
12 here. And these are important things that I had
13 learned by doing some research, and I didn't want them
14 to get lost in some other document somewhere, so I just
15 put them here as an FYI. And it says at the top it's
16 just market data. But there was no other logic behind
17 it. And certainly, like I said, it was not part of any
18 model.

19 Q If you turn to the next page, which is a
20 printout of a sheet entitled "Theranos Market
21 Assumptions."

22 A Yes.

23 Q This might be a little small. Let me know if
24 you're able to read it.

25 I guess, generally, can you describe for us
0593

1 what this sheet explains.

2 A Yeah. This sheet includes additional
3 assumptions in addition to what was on the first page.
4 So I had split the assumptions, which are more general
5 and global in nature on the first tab, and then
6 assumptions that were changing by either month, or by
7 quarter, or by year on the second page.

8 Q Okay. And so -- and where are those changing
9 assumptions reflected on this sheet?

10 A So there are several things here. It's kind
11 of all over the place. But the assumptions are the
12 number of Walgreens locations or stores. That's the --
13 unfortunately, I don't have cell and columns, but under
14 January 14, there's a cell under Walgreens. Do you see
15 that?

16 Q Yup.

17 A So that. And then "Other Retail Pharmacy"
18 would be whatever other retail pharmacy locations. The
19 third line is the addition of those two. Then is the
20 prescriptions -- actually, that's -- the "RX" means
21 prescriptions per day per location, and that's the
22 assumption there for Walgreens and retail pharmacies.
23 And the final one is the total of locations times
24 prescriptions per day at -- at a given location.

25 Q And was prescriptions another way of
0594

1 describing requisitions?

2 A That's right. That's correct.

3 Q And --

4 A That's what -- that's what I remember now
5 from looking at it.

6 Q And the first -- the first description on
7 the -- at the top -- in the top left there is "RX
8 locations."

9 Does that -- does that refer to pharmacy
10 locations?

11 A Yes.

12 Q The -- and then sort of below those
13 assumptions in terms of locations and requisitions
14 per -- per location, there's a set of assumptions --
15 there's a set of figures there.

16 A Yes.

17 Q Do you see that?

18 One's related to retail pharmacies,
19 physicians' offices, hospital courier, hospitals on
20 site.

21 Do you see that?

22 A Yeah, I do.

23 Q Can you walk just through those and explain
24 what -- what those categories represent in the model.

25 A Sure. So the retail pharmacies is just the

0595

1 summation of what you see above. So it carries forward
2 the number of total retail locations, number of
3 patients processed during that month. So if you look
4 at the formula, I'm sure it is multiplied by either 26,
5 or 28, or 30 days a month. I don't know what I
6 assumed. And shows you the revenue coming from that
7 bucket.

8 Q And so -- so would you -- essentially, would
9 the revenue be sort of the per-requisition average
10 multiplied by the number of patients processed?

11
12 A Yes. And most likely, if you multiply 3,200
13 by 35, I think on the previous page, you'll probably
14 end up with this number. And then it carries over --

15 MR. FOLEY: 3,300? Are you looking at --

16 THE WITNESS: Oh, 33. Yeah, sorry. It's
17 kind of small writing.

18 MR. FOLEY: Yeah, understood.

19 THE WITNESS: Yeah.

20 BY MS. CHAN:

21 Q And is patients and number of patients
22 processed here, is that the same as requisitions above?

23 A Yeah. I'm using them here -- loosely here.
24 And also, you probably know, maybe I should highlight
25 that. "RX" is really pharmacy prescriptions in

0596

1 general. "DX" refers to diagnostics per prescription,
2 but I again used "RX" in the -- in the wrong way here.

3 And then the third one is the hospitals --

4 BY MR. KOLHATKAR:

5 Q I'm sorry, I think I interrupted you before
6 physicians' offices.

7 A Yeah. And what I was going to say is: Then
8 you can see month by month, as you change these
9 assumptions all the way at the top for RX locations,
10 this column changes. So once you go from January to --

11 from 11 to 41, then 40, then a hundred, these numbers
12 get reflected in the retail pharmacy bucket. Make
13 sense?

14 Q What about the physicians' offices lines, can
15 you explain those.

16 A Yeah, I was going to get to that next.

17 So the physicians' offices assumptions were
18 the number of physicians' offices, where we were
19 picking samples -- going to pick samples from. So our
20 plan was: As we launched in a given geography, once we
21 had the retail footprint, then we would also, because
22 we already had the insurance contracts, and independent
23 labs, basically go and pick up samples from physicians'
24 offices, we would also do the same and go pick up
25 samples from physicians' offices for processing in the
0597

1 lab.

2 These would not be finger stick samples.
3 These, in most cases, would not even be our
4 Vacutainers. Physicians do all their own draws today in
5 their offices, many of them do. Some -- the larger
6 ones, the lab will put a phlebotomist on site if
7 there's sufficient volume and if the law requires --
8 law allows. So that's what -- what the physicians'
9 offices are. Sorry.

10 Q And how did you -- how did you come up with
11 the -- your assumption for the number of physicians'
12 offices that would open -- that you would be collecting
13 from given a -- given a retail footprint?

14 A It was a bottom-up assumption based on how
15 many samples our lab is going to be able to handle.
16 And the assumption was: As we grow, there would be
17 certain physicians in a geography. And I think in
18 Arizona -- I forgot what was the right number. Arizona
19 had 6,000 physicians maybe, or 4,000. I forgot the
20 right number now. That we would be able to capture a
21 certain percentage of that market, and a certain
22 percentage of that, we'll be able to process in this
23 lab and in this lab. So it was more of a bottom-up
24 kind of calculation.

25 And if you look at the next number, you'll
0598

1 actually be able to see further assumptions. 17,600
2 divided 40 -- I don't know what the -- and then --
3 again, if I had the formula, I would be able to tell
4 what assumptions I'm making here on how many samples we
5 are picking up per day from the physicians' offices. I
6 had made it more clear for the retail locations. For
7 physicians, I just lumped all the values in this cell.

8 Does that make sense?

9 Q Okay. Yeah.

10 The -- what about the hospital couriers?

11 A It's a similar model as physicians' offices,
12 but in this case, you are picking up samples from a

13 given hospital location. And again, this is just a
14 pure courier model. Like pick up samples, you have
15 samples that you can process faster, or cheaper, or for
16 whatever reason the hospitals cannot provide, and then
17 like independent -- other independent labs, you'll be
18 able to process those samples for them.

19 And again, these numbers are bottom-up
20 assumptions. We look at how many hospital -- and
21 hospital is also the Accountable Care Organizations,
22 the physician networks that are owned by hospitals, how
23 many locations they own. And you just do a bottom-up
24 analysis of a certain percentage you'll be able to
25 capture in the market.

0599

1 MR. MCKAY: Can you slow down just a little
2 bit.

3 THE WITNESS: Sure. Sorry.

4 BY MR. KOLHATKAR:

5 Q I guess, in this -- in this model, why did
6 you assume that the -- sort of the hospital courier
7 component would ramp up after the physician pick-up
8 component?

9 A The reason for that is: In most cases, you
10 are calling on physicians first. So when you launch in
11 a market, even when you are doing retail, like
12 Walgreens, and CVS, and others, you are still calling
13 on physicians. We were calling on physicians starting
14 2013 because physicians have to write the prescriptions
15 to send them to all the Walgreens locations.

16 So you make an assumption that you have
17 already called on physicians. We already know
18 sufficient physicians who would -- who we can pick up
19 samples from because a lot of physicians draw blood in
20 their own office, like I said, and it will be faster.
21 Most likely, you will be able to do those faster
22 than -- before than hospitals.

23 And the other reason was also, about ten
24 years ago, 80 percent of the physicians were
25 independent and 20 percent worked for hospitals. The

0600

1 last ten years, the equation had changed. I think now
2 it's 60/40, 60 percent physicians work for hospitals
3 and 30 percent work for -- they are independent, 40
4 percent are independent. Ballpark numbers. I'm not
5 exactly sure. It obviously changes by -- market by
6 market.

7 But the assumption was -- I think in Arizona,
8 we had more physicians that we were calling on sooner,
9 and then hospitals in Arizona would have been probably
10 a little bit longer cycle.

11 Q Why is Theranos considering servicing the --
12 the -- sort of the doctor's office market for -- for
13 lab care services?

14 A Every lab does that. That's part of the lab

15 industry. So Quest Diagnostics and LabCorp, they pick
16 up samples from labs -- doctors' offices. You already
17 have insurance contracts. Besides, the insurance
18 companies like it because our prices were lower. We
19 were also billing Medicare 50 percent.

20 So the -- most of the advantages of retail
21 location except for obviously being in the retail
22 location applied in physicians' offices also. You pick
23 up the samples -- we control the billing, and that was
24 an important part of this, and -- and we would bill the
25 insurance company what we had agreed on with the
0601

1 insurance company. So -- and basically that meant
2 whatever prices we have advertised.

3 Also, Arizona had a lot of out-of-pocket
4 patients who otherwise couldn't afford lab testing, and
5 we were offering the same prices that we were offering
6 at retail locations and physicians' offices.

7 Q For the physicians' offices, was -- was it
8 Theranos's proposed model to -- to distribute a TSPU to
9 those locations? Or it sounds -- sounds like it was to
10 operate it in -- just pick up venous draws and process
11 in the CLIA lab?

12 A Yeah. It purely means courier. This was
13 not -- we couldn't distribute the TSPUs to physicians'
14 offices without FDA clearance, so that was not part of
15 the plan. Ultimately in the long term -- definitely,
16 it was part of our original because that, you know,
17 hopefully four or five years from -- from now, it
18 changes the game in physicians' offices if you can do
19 the test and see the physician in the same office.

20 And we believed that our TSPU, you know, I
21 thought, for the first time in history, will be able to
22 provide that -- that kind of healthcare. So -- but it
23 was not part of this model, though.

24 Q And for -- just so I understand the model,
25 the physician's office courier model doesn't include
0602

1 any finger stick, is that right, it's just collection
2 of -- if the doctor is able to collect blood venously
3 on site?

4 A Exactly right. Most doctors do venipuncture
5 today, maybe most -- a lot of doctors, but I think it's
6 most. They do venipuncture in their offices today.
7 They have trained nurses. In some cases, doctors can
8 do it. But mostly they have a physician's --

9 THE REPORTER: Can you slow down, please.

10 THE WITNESS: Most of the cases, they will
11 have a physician's assistant or a nurse who will draw
12 the blood. And they will pack it, they will put labels
13 on the samples, they will put the requisition. If they
14 have EMR connectivity, electronic medical records
15 connectivity, then the transaction goes to the lab
16 automatically. And then if it is a manual process,

17 then the physician will call the lab and say, "Hey, I
18 have samples for you today."

19 And in many cases, the labs will provide the
20 physicians with the kits, collection kits, so that the
21 lab can process the samples the way lab is expecting
22 to. Like I mentioned yesterday, there were some assays
23 that are validated on serum and plasma, and different
24 labs do it differently, so you need different tubes.
25 So labs would provide that to the physicians.

0603

1 But our model here was just pick-up.

2 Q And is that the same for the hospital
3 model -- courier model here, that it's just pick-up of
4 venous blood?

5 A Well, venous blood and nasal, urine, feces,
6 all matrices.

7 Q But you weren't -- you weren't proposing
8 to -- to -- let me correct that.

9 This model in Exhibit 251 wasn't, in your
10 view, representing Theranos distributing the -- the CTN
11 and having hospitals conduct finger sticks for this
12 courier aspect?

13 A At some point the answer is: Yes. It
14 doesn't impact the model, though. Just like if you
15 look at retail, whether the patient is getting finger
16 stick or venipuncture doesn't really impact the model.
17 So if we had received FDA clearance in the time
18 frame -- in the -- during the life of this model, we
19 could -- absolutely could have distributed our CTNs.
20 And that would have been great. I mean, I think we
21 could have gotten even more volume.

22 But here, this model does not assume either
23 CTNs versus finger stick here. I mean, if you include
24 them, it doesn't change because we were not charging
25 more or less for finger stick or venipuncture.

0604

1 Q Would it have affected Theranos's cost on the
2 back end whether -- whether tasks were performed using
3 a Theranos CTN or a traditional venipuncture?

4 A I don't think that reagent cost was a
5 significant factor. The simple answer is: Probably
6 yes. But the reagent cost for processing samples in
7 the total cost equation is pretty small except for some
8 tests, and in those cases, we probably would have
9 developed our own test to reduce cost. But in general,
10 I would say it's not significantly material once you're
11 hitting a reasonably large volume.

12 Q In 2013, was it cheaper for Theranos to --
13 to -- to build a nanotainer or buy a venous draw tube?

14 A Because in 2013, our volume was extremely low
15 and Vacutainers are bulk produced by millions and
16 billions, actually, and we did have a good deal with
17 Becton, Dickinson or whoever the supplier was. My
18 guess is: The venous puncture tubes is cheaper, just

19 the cost of the tube itself, but I don't know if that
20 necessarily meant the entire requisition was cheaper.

21 But having said that, obviously, as the
22 volume increased, our CTN cost over time would have
23 gotten cheaper. It's just, you know, a simple law --
24 averages of law volumes, and then it would probably
25 would have been cheaper.

0605

1 Q The model here lists for -- for January 2015
2 the number of Walgreens locations as 1,100.

3 Do you see that?

4 A I do.

5 Q In -- in January of 2014, did you expect
6 Walgreens to have opened 1,100 Theranos locations?

7 A Not exactly 1,100. But in January of '14
8 when we had these amendments signed with Walgreens, I
9 recall that our model with Walgreens at that point was:
10 Walgreens performing the finger stick, we providing the
11 CTNs, not -- us not hiring phlebotomists in the field,
12 it was going to be their labor, we will just train the
13 trainer and they will train all of the technicians and
14 they would handle all of the operations in the PSCs,
15 our expectation was that we would scale very rapidly.
16 And Walgreens used tell us they're execution machines.

17 So like -- I think I already answered your
18 question, that not exactly 1,100.

19 Q Did you have any sort of exact figure in your
20 mind about where you expected the Walgreens rollout to
21 be by January 2015 as of January 2014?

22 A I didn't have an exact number, which is why I
23 was using this as a modeling exercise to see in two or
24 three years, we want to get to a certain number. But I
25 wasn't sure if -- for sure in a certain month, or even

0606

1 a certain quarter, or even a certain half a year we
2 will be able to hit those numbers exactly the way they
3 are in the model or not.

4 Q What about with respect to the February 2014
5 number, do you see you go from 11 stores to 21 between
6 January and February 2014?

7 A Yes.

8 Q Did you have reasonable confidence in January
9 2014 that Walgreens would go to 21 Theranos locations
10 by January 2014?

11 A No, I don't think so.

12 Q So how did you set the assumption for 10
13 additional stores for the next month?

14 A I think there are two answers. One is: It
15 was a model, so I had to start somewhere, and I started
16 with 11 in January of 2014. The other thing is: If we
17 missed a month, and I showed this to PFM and I showed
18 it to a bunch of other people, all you had to do is go
19 to that column header that says "January 2014" and
20 enter "March 2014," and it shifts the entire model out.

21 So -- as a matter of fact, when PFM
22 invested -- signed the investment agreement, it was
23 February 4th, and at that time, this model showed that
24 we had 11 Walgreens locations. And clearly we didn't,
25 we only had three. So it was well understood that this
0607

1 is just a modeling exercise, and one could plug in
2 numbers based on as we learn and as we move forward.

3 Q You mentioned that there were some other
4 investors that you provided that explanation to. Who
5 else do you remember providing that explanation to?

6 A Nobody specific. But it was a common thing
7 that I would do when I brought up the model. I would
8 say, "Here is how you manipulate it and here is how you
9 change it. And here's the column header. Just modify
10 this." So I would -- used to just basically give a
11 brief overview of the model before I give the model to
12 anybody who wanted a copy.

13 Q The -- if you look further down in the --
14 again, this is the Theranos Market Assumptions page of
15 Exhibit 251 -- there's a section at the very bottom
16 there for miniLabs new production? Bottom left --

17 A Yes, I see that.

18 Q What does this set of rows represent?

19 A This is an estimate of how many new units of
20 our TSPUs we had to produce based on these assumptions.
21 And as a matter of fact, if you look at the formula
22 behind these, you will see they're linked to something,
23 some math based on the assumption model -- assumptions
24 in the model.

25 Q In your mind at the time, why was Theranos's
0608

1 growth here related -- how would that impact the
2 miniLabs that it needed to produce?

3 A It was a rough assumption that a certain
4 percentage of samples, you'll be doing on finger
5 sticks. And obviously -- again, this is the end of
6 2013. We were in three stores. Two of those three
7 stores had just opened a month ago. So we didn't have
8 enough data. But for the sake of modeling, I made some
9 assumptions that, you know, if X number of samples come
10 from finger stick and you run them on TSPUs and on
11 other machines, then this is how many units you will
12 need.

13 So if you have spreadsheet, you'll be able to
14 see the formula behind this linked to the volume
15 numbers from different locations.

16 Q I want to turn the page to the next sheet
17 which is mercifully easier to read. And it's entitled
18 "Projected Statement of Income."

19 Do you see that?

20 A I do.

21 Q And I guess just as a general matter, what --
22 what did this sheet in the workbook represent?

23 A Yeah. So this basically is an output of the
24 assumptions one would manipulate in the model. So as
25 you change any assumptions on Page Number 1 and Page
0609

1 Number 2 of this model, they will just bubble up to
2 this page and the next pages here. So it's -- it's a
3 summary of what was shown in the pages back there and
4 some additional information.

5 Q If you look at the period ending -- the
6 period ending 12/31/2013, if you look under the
7 "Revenue, U.S. Commercial Only," it's listed as 25
8 million for lab services from U.S. retail pharmacies.

9 Do you see that?

10 A Yes, I do.

11 Q What does that number represent?

12 A At that point in my mind, that meant the
13 25-million-dollar innovation payment that we had
14 received from Walgreens, is I think what is -- what is
15 this referring to.

16 BY MS. CHAN:

17 Q When did you receive that payment?

18 A I think it was in early 2013, January time
19 frame, something like that.

20 BY MR. KOLHATKAR:

21 Q Do you know if Theranos -- I mean, do you
22 think you were representing to -- to PFM that Theranos
23 had \$25 million in revenue from its retail pharmacy
24 services as of the end of 2013?

25 A No.

0610

1 Q Why not?

2 A Because PFM knew that we were in three
3 locations, two of them we had just launched. I
4 explicitly talked about this number and I told them
5 that as part of the Walgreens contract, they have a
6 hundred-million-dollar commitment to us. That's \$25
7 million. We have another \$75 million coming.

8 Q Okay. But I guess did you -- did you not
9 view that \$25 million as being Theranos's revenue
10 for -- for 2013?

11 MR. COOPERSMITH: The question is a little
12 vague, but if you understand it, you can --

13 THE WITNESS: Yeah. I was going to ask you
14 to clarify that because I don't understand the
15 question.

16 BY MR. KOLHATKAR:

17 Q In your mind, was -- did Theranos earn \$25
18 million in revenue in 2013?

19 A In my mind at that point, yes.

20 Q Did that understanding change over -- at any
21 point in time?

22 A No. I mean, we were starting to modify the
23 contract. But no, at that point we had -- I was -- the
24 \$25 million that were coming in 2012 was my

25 understanding that that is revenue.

0611

1 BY MS. CHAN:

2 Q What is your understanding of when revenue
3 can be recognized?

4 A That, I don't know. And I used to --
5 actually, when I met with PFM, I made the -- exactly
6 the same statement that you asked me right now, is: "I
7 don't know how this is going to get recognized, but
8 this is cash in the bank. According to our contract,
9 this is nonrefundable. So it is here. You can" -- "in
10 your model," PFM, or (b)(6);
(b)(7)(C) whoever I was talking to,
11 "you can use it any way you want. You can move it into
12 2014 or 2015. I don't know how this is going to get
13 recognized, but I want to alert you that we have
14 received this cash." That was a conversation that I
15 had.

16 And from what I understood, he told me in a
17 brief conversation later that they had looked at this
18 model and they put it aside and created their own
19 model, and in that, they had assumed zero revenue. And
20 I think they testified to that also at the PFM trial.

21 Q You mean deposition?

22 A Sorry, deposition. Sorry.

23 Q The -- I guess, did you take any steps
24 internally to check to see if -- if Theranos had
25 retained \$25 million in 2013 when -- when building this

0612

1 model?

2 A No, I did not.

3 Q You didn't check this number with (b)(6); (b)(7)(C)
4 did you?

5 A No, I did not.

6 Q Do you know what Theranos ultimately reported
7 in revenue on its taxes in 2013?

8 A I would not recall. But like I said, I
9 wasn't sure how this was going to get reported from
10 accounting standards. We didn't have audit financials,
11 so I just wanted to really make sure this is -- that's
12 clear.

13 Q Did you always make that clear to investors
14 that Theranos didn't have audited financials?

15 A Yes.

16 Q Did any investors ever ask if Theranos
17 maintained audited financials?

18 A I mean, if some -- I don't recall explicitly
19 somebody, but if somebody would -- had asked me, I
20 would have said, "We don't have the recent audited
21 financials," and that was the truth.

22 Q Did you ever hear Elizabeth Holmes tell
23 investors that they couldn't -- that Theranos
24 couldn't share its audited financials?

25 A I don't recall it, but that would be correct

0613

1 because we didn't have it. That's why she couldn't
2 share.

3 Q Did she provide that -- do you ever recall
4 her providing that --

5 A I don't know. I'm guessing here. I'm just
6 saying I didn't -- never heard her say it.

7 MR. COOPERSMITH: Mr. Balwani, you don't have
8 to guess.

9 THE WITNESS: Oh, sorry.

10 MR. COOPERSMITH: Just answer the question if
11 you have knowledge of the answer.

12 THE WITNESS: I apologize.

13 BY MR. KOLHATKAR:

14 Q Again, this is not a memory test. If you
15 don't remember --

16 A I don't remember.

17 Q -- there's no penalty.

18 A Okay. Thanks. I appreciate that.

19 Q The -- so just to make sure I understand your
20 answer, do you ever recall Ms. Holmes telling any
21 potential investors that the company couldn't share its
22 audited financials because of sensitive information in
23 the footnotes?

24 A I don't recall.

25 Q The -- the model also shows expected revenue
0614

1 from -- so I think -- is it -- is it fair to say that
2 the -- the 12/31/14 numbers, the 109 million, 72
3 million, 50 million, would -- would roll up from the
4 last sheet?

5 A Yes.

6 Q There's a 30-million-dollar number below that
7 for pharmaceutical services.

8 Do you see that?

9 A I do.

10 Q How did that -- how would that number get
11 generated in this financial model?

12 A I think in 2013, we had ballparked -- I
13 forgot what was the calculations we used. And again,
14 if I see the formulas, it may refresh my memory. But
15 that in 2014, at some point, we would revive our
16 pharmaceutical business, and -- and we projected that
17 if we do X number of samples and we provide software
18 services, then this is probably what we will be able to
19 generate from pharmaceutical companies.

20 Q When -- when creating those assumptions about
21 what you would be able to generate from pharmaceutical
22 companies, did you -- did you compare Theranos's
23 historical revenues for -- for pharmaceutical
24 companies?

25 A No, I did not.

0615

1 Q Do you know if anyone did at Theranos?

2 A Not to my knowledge.

3 Q I guess I'm trying to understand how in
4 2014 -- at the start of 2014, I understood your earlier
5 testimony to mean that -- to be that Theranos wasn't
6 actively engaged in -- in work with any pharmaceutical
7 companies; is that --

8 A Correct.

9 Q I guess, how did you expect Theranos to
10 generate \$30 million within a year if it wasn't
11 actively trying to do anything on that front?

12 A I think it was the combination of two things
13 that I alluded to earlier, which is: We knew -- we had
14 conversations with Walgreens that they were already
15 priming that channel. That once we were ready to
16 process more samples, we would have an opportunity
17 to -- to do more clinical trials which are usually more
18 expensive than a typical patient requisition. And then
19 we probably would have started engaging in
20 pharmaceutical companies also directly.

21 Q In your mind, you expected that to be able to
22 generate \$30 million in revenue within a year?

23 A That was my -- my -- my estimate, yeah.

24 Q I guess, what was that estimate based on?

25 A How many number of samples we will be able to

0616

1 process in a typical pharmacy coming from clinical
2 trials, and I think we had assumed, again in
3 discussions with Walgreens, that, you know, one out of
4 ten patients -- or 10 percent or 15 percent of the
5 patients we can get from pharmaceutical trials.

6 But again, I'll have to look at my notes in
7 the model or other places to be able to refresh my
8 memory. I forget what were the assumptions, but there
9 were some assumptions that had gone behind this.

10 Q The -- the revenue section also includes a
11 section on costs for revenue just below that.

12 Do you see that?

13 A Yes.

14 Q How were these numbers generated in the
15 model?

16 A I think I had assumed -- again, at this point
17 we didn't have enough data, so I had some cells in the
18 model where I had made an assumption that retail
19 pharmacy would be 30 or 35 percent of the cost and --
20 of the revenue and others were going to be different.
21 So it was some percentage that I had assumed off the
22 revenue.

23 BY MR. FOLEY:

24 Q So meaning, you just -- you assumed a certain
25 margin based on the -- the revenue that the model

0617

1 calculated?

2 A Correct. And as we got more knowledgeable
3 about those numbers from retail pharmacy and then
4 ultimately from physicians' offices, we get more -- got

5 more knowledge, I fine-tuned that number as we moved
6 on.

7 Q So as you came to understand the granularity
8 of costs that were associated with it?

9 A Exactly right, yeah. And at some point, like
10 I said earlier, we had created a very granular model
11 market by market. We had created a model for Arizona
12 with, you know, gas prices, and car washes, and all
13 those things fully loaded that came pretty close to the
14 assumption that I started with.

15 Q So what was the basis for your initial margin
16 assumptions?

17 A It was high-level assumptions based on what
18 we -- I saw in the field. And also, I may have
19 consulted (b)(6); (b)(7)(C) or Safeway may have also looked at
20 what Quest and LabCorp costs for work -- for certain
21 services. So I may have made a bunch of assumptions
22 that went to this one to start with in 2010, 2011, and
23 then they kind of stuck. And over time, as we got our
24 own data, we started refining this.

25 Q But at the time of this calculation, it was

0618

1 just you didn't have the -- the detail understanding
2 this is a top-down?

3 A That's right, yeah. We didn't have detail.

4 BY MS. CHAN:

5 Q So at this time, this was, say, you know,
6 three months from, I guess, the soft launch in
7 Walgreens.

8 A Yes.

9 Q So you had already been -- or Theranos had
10 already been conducting patient testing for a few
11 months at that point; is that right?

12 A About a month and a half or so, yeah.

13 Q So wouldn't you have had some of these costs
14 to include in here sort of how many nanotainers are
15 being used and what the cost is for that, the cost of
16 the courier services that you're using to courier the
17 samples back? Why wouldn't you use those costs in your
18 cost assumptions?

19 A The reason is: The volume was really, really
20 low. We were in three locations. So you hired a
21 courier and that person goes to two locations versus as
22 you grow 12 months from then, they can go to 20
23 locations. And I didn't have enough knowledge --
24 ultimately obviously, I did, but in -- a month into
25 this operation, I didn't have enough knowledge to be

0619

1 able to make those accurate predictions. The data that
2 I was getting from our field was just too early.

3

4 It's like open -- like I said, you want to
5 open a restaurant chain with a thousand stores and you
6 only have one restaurant and five customers, it's

7 difficult to model what will be expenses throughout the
8 thousand restaurants based on that one little -- it
9 gives you some insight, of course, and you begin to
10 understand, especially if you've been paying attention
11 to the industry, and you start to pick up those things.

12 And I was talking to, like I said, Safeway
13 quite a bit and -- and initially also to Walgreens to
14 get a better feel. Walgreens had hired, I think, two
15 or three people from Quest Diagnostics, and one of
16 the -- one of them, I think, was a president in Quest
17 Diagnostics. I forgot the rank. And when I met with
18 them, I would do a sanity check and asked "What can you
19 tell me about costs? What assumptions should I make?"
20 So I had a conversation with those.

21 So I was just kind of soaking that
22 information from everywhere. But I didn't have enough,
23 like I mentioned earlier, to be able to pinpoint with
24 accuracy.

25 BY MR. KOLHATKAR:

0620

1 Q Why doesn't this model include costs of
2 revenue for 2013?

3 A Why doesn't it?

4 Q Right.

5 A That's because, like I said, the \$25 million
6 is the -- the innovation payment made by -- made by
7 Walgreens. And it may have been also an oversight.
8 Like I said, you know, it was early enough that it was
9 not accurate, obviously.

10 Q And it's your understanding that you made it
11 clear to PFM that this was not accurate?

12 A If I made it more than clear that this is a
13 model. I actually asked them, "If you see errors in
14 this one, please let me know. And if you have" -- "you
15 have so much insight" -- which is what they were trying
16 to tell us during that time frame, that November,
17 December, that they know the healthcare industry really
18 well -- "please tell me. And I'm looking forward to
19 your feedback." Absolutely.

20 Q And again, you think that's -- that was in a
21 conversation with (b)(6); (b)(7)(C)

22 (b)(6); (b)(7)(C)

23 A Those guys, for sure. Those guys -- and they
24 actually had another third, a junior numbers guy. I
25 forgot his name, but he used to be in the meeting

0621

1 also -- in one meeting, but I don't remember even his
2 face, unfortunately. But (b)(6); (b)(7)(C) was also a numbers
3 guy, so, you know, he could understand numbers. And
4 Brian certainly was a numbers guy.

5 Q Can you walk me through these operating
6 expenses and how you created these assumptions.

7 A Yeah. I mean, one is the -- there's the
8 killer software right there (indicating). Research and

9 development, including killer software and apps and
10 support. I had ballparked what percentage of revenue
11 we would want to spend on R&D.

12 CLIA lab operations, I think I started out
13 with some information that I got from the CLIA lab.
14 And, actually, to go back to your question, the retail
15 pharmacy cost, why did I not include the cost of
16 revenue for pharmacies, some of this was included here,
17 the CLIA cost.

18 Then we had the data center. Again, I had
19 made assumptions of the percentage of revenue I think
20 we were spending on data center. Same thing with sales
21 and marketing and G&A.

22 So, again, there was additional cells in this
23 spreadsheet, probably, or I had hardcoded the formula.
24 Again, if I see the formula, I would be able to tell
25 that I had assumed certain percentages we'll be send

0622

1 spending on these categories.

2 Q I guess, do these expenses include the
3 per-patient fee Theranos was paying to Walgreens?

4 A Well, in the -- 2013, the answer is: No,
5 because we don't see the cost of revenue for retail
6 pharmacy here. But after 2014, my calculation was that
7 that was included in the cost of revenue there.

8 Q So, I guess, what -- what is the cost of
9 revenue figure in 2014? What does that include for --
10 for retail pharmacy?

11 A Well, it was -- again, it was -- there is no
12 further, you know, breakdown of this on the spreadsheet
13 because I was, at that time, bucketing everything
14 pretty broadly. But anything that is not included in
15 the operating expenses, including sales and marketing,
16 data center, IT, CLIA lab, R&D, including the software
17 costs, because software was a pretty significant cost,
18 I was not including in the cost of revenue, this would
19 be, you know, mostly variable costs associated with a
20 requisition. So reagents, and money that we were going
21 to give to Walgreens, and probably something other --
22 some other costs here. Probably phlebotomists was
23 included here. So directly variable costs for the
24 sample collections.

25 But again, I don't remember the assumptions.

0623

1 If I see the numbers in front of me, I would probably
2 be able to give it better.

3 Q If you turn to the next page, there's a -- it
4 says, "Pro Forma Quarterly Statement of Cash Flow."

5 Do you see that?

6 A Yes.

7 Q And the numbers here aren't provided
8 quarterly, right, it's just annualized?

9 A It's annualized. Correct.

10 Q What is "Services NBL by Walgreens" mean?

11 A Internally, we were calling the innovation
12 payment as a licensing fee. I don't know why we came
13 up with that -- with that name, but we were just --
14 initially, we used to refer to it as network bundling
15 licensing fee.

16 The thought was: We are bringing the
17 insurance companies, we are bringing software, we are
18 bringing a lot of value added network, and in exchange
19 for that, we are getting this innovation payment. And
20 we used to called it network bundling licensing fee. I
21 actually don't remember where this name came from. And
22 if you ask me, "Tell me how did that makes sense," I
23 would not be able to do.

24 But it was just kind of a name that we used
25 for all of the services we were providing as innovation

0624

1 services.

2 Q When you say it was a name "we" used, who is
3 the "we"?

4 A At the board level, we used that name, so
5 some board members early on in 2010 used it. 2011,
6 Elizabeth and myself.

7 Q It's your understanding that she would
8 understand what network bundling licensing fee would --
9 would -- would mean?

10 A You know, I'm closer to this model, and if I
11 don't recall, if she does, that's awesome, but I
12 wouldn't be surprised if she doesn't either.

13 Q I, guess what was the -- and how would there
14 be a -- an NBL fee by Safeway and other retailers?

15 A Yeah. So the -- our Safeway contract
16 included rights that we give to Safeway. Safeway
17 wanted to be the, for the lack of a better -- what I
18 will say a middle man. The right word will come to me,
19 a middle man for providing our services into all
20 grocery stores across the nation and probably including
21 Canada. And they wanted -- because they had a smaller
22 footprint than Walgreens, they wanted to have as many
23 locations as part of Safeway as Walgreens, like 2,000,
24 3,000, something like that, but they didn't have that
25 many stores.

0625

1 So (b)(6); (b)(7)(C) said, "If
2 you give us the rights to make 10 percent off of the
3 revenue" -- or 15 percent, I forgot the number, "we
4 will go with you because we know the grocery network,
5 and if they sign deals with you, you'll get an up-front
6 innovation fee from them, and then we will take over
7 all of the operational details to help you scale,"
8 Safeway Corporation will.

9 "And we will make sure the branding is
10 good" -- and his model was Starbucks. He said, "You
11 know, just like Starbucks gives them," I guess, "the
12 way" -- "the rights to manage the brand in their

13 stores," he said -- only in Safeway stores -- he
14 said -- their point was: They would take all off -- all
15 of the responsibility off of our shoulders so we don't
16 have to hire people who are maintaining a quality of
17 service that they would have people going around making
18 sure the services are good, the people are leaving
19 happy, the stores are clean. In exchange for that,
20 they will make money.

21 And so that was kind of what -- what the
22 model was.

23 Q Okay. And is that how you -- was that
24 explanation just so you provided the -- what will
25 represent the \$25 million to Theranos in 2014?

0626

1 A That's my guess yes.

2 Q What are the adjustments to the prepayment of
3 revenue?

4 A Yes. We had -- we had a contract with, I
5 think, Blue Cross Blue Shield of Massachusetts that we
6 were going to launch in Massachusetts first, or we were
7 going to try. I don't think it was contractually
8 binding. And when we launched in Arizona, they were
9 unhappy about it. And so that's my recollection.

10 So in 2013, we modified our contract with
11 them. We returned them this 18,500,000, and we said,
12 "Okay. We will come back to you at some point when we
13 are ready to come to Massachusetts." But that's what it
14 is.

15 Q And what about the equity transactions in
16 2013, what -- what equity transactions do you recall
17 taking place in that time frame?

18 A You know, I don't remember exactly whether
19 they were early in 2013 or later. I would have to -- I
20 would need more details for that, but I don't recall
21 right now.

22 Q Where would you get that number?

23 A I would probably ask (b)(6);
n(7)(c) how much we have
24 raised or I would approximate how much we are planning
25 on raising in 2013 and include here.

0627

1 BY MS. CHAN:

2 Q So the lines of production line that you see
3 under "Capital Expenditures" --

4 A Yes.

5 Q -- where are the assumptions that go into
6 that line?

7 A I don't remember the formula behind it, but I
8 may have looked at the number of labs we were opening
9 and the cost of new labs, or some of that parameter. I
10 don't remember the formula or the assumptions behind
11 it, but I'll have to look at -- look at the formula and
12 the notes to be able to say where the assumptions came
13 from.

14 Q And you said that this line would have

15 included, you know, any costs or expenditures
16 associated with maintaining the commercially available
17 machines or purchasing commercially available machines;
18 is that --

19 A I would say purchasing.

20 Q Okay.

21 A So any machines that we would buy from
22 outside, whether it's for R&D, we bought a lot of
23 equipment for R&D, a lot of expensive machines, would
24 be included here. And for the CLIA lab and other big
25 machines that we needed. The automation line of
0628

1 course, yes.

2 Q So did you have any idea at this time as to
3 how many of those commercially available machines you
4 would need in your lab going forward to 2014 and 2015?

5 A Yeah. I had done an estimation with -- with
6 my supply chain director and also a few people in the
7 lab to see what is the throughput that we'll be able to
8 accommodate on different platforms. And so I had
9 ballpark figures that ultimately got better and better
10 and better.

11 But I had ballpark numbers that showed us,
12 you know, we can process 6,000 samples per day on a
13 certain set of machines. You know, let's assume
14 two-thirds of them or one-third are venipuncture or
15 whatever the assumption was. We had enough data to be
16 able to calculate the throughput.

17 And then either I think in 2013 or 2014, we
18 had also reached out to Siemens who was one of the
19 vendors that we did work with, and we bought a lot of
20 machines from them and asked them to tell us how to
21 optimize things so we can do more and more samples.
22 And we made a commitment to them to buy these
23 automations lines. You know, they're literally like
24 lines of production that go on a conveyor belt, and
25 giant robots pick up the samples, put them in the
0629

1 machine. No human has to touch them. Robots can also
2 pick up the sample and store them for later use. Fully
3 automation -- automated.

4 And that would have included our throughput
5 significantly because no humans were needed and also
6 reduced our labor costs. And they actually had
7 provided us some guidance on that.

8 Q What was your understanding as to how much an
9 Advia 1800 would cost?

10 A I think it was about 120,000, \$150,000, but
11 I'm ballparking it.

12 Q And that was the cost back in the 2013, 2014
13 time period?

14 A Yeah. I mean, the cost of that hasn't really
15 changed that much. So I would -- and these machines
16 are -- lab machines don't change for, like, decades.

17 So I think my memory is: It was around 120 or 200
18 tops. But in that range.

19 BY MR. KOLHATKAR:

20 Q So I want to turn to the next page --

21 BY MR. FOLEY:

22 Q Before we move on. So the 75-million-dollar
23 Walgreens NBL fee --

24 A Yes.

25 Q -- is that in the same nature as the 25

0630

1 million that is shown in 2013 on the prior page?

2 A It's part of the same hundred million
3 dollars. Correct.

4 Q So why isn't this -- why isn't the -- that
5 the cash came in, as reflected on this Pro Forma
6 Quarterly Statement of Cash Flow in 2013, why isn't it
7 being shown as income?

8 A Yeah. I think there was an omission that it
9 should have been included either in 2013 or 2014, and I
10 didn't include it either -- on either places. So these
11 numbers (indicating), technically speaking, should have
12 been higher or this should have been somewhere else.
13 So that was -- that was an error.

14 BY MR. KOLHATKAR:

15 Q And just for clarity of the record, when
16 you're saying "these numbers should be higher" --

17 A Sorry.

18 Q -- you're pointing to --

19 A Yes.

20 Q -- the statement of income figures for 2013
21 or 2014?

22 A Yes. So I'm pointing to the lab services
23 from U.S. retail pharmacies revenue. If you were to
24 assume \$75 million innovation payment is coming in
25 2014, then it would add to the \$109 million. Because

0631

1 the \$109 million is coming from the formulas that we
2 saw earlier on Page Number 2 and Page Number 1. So if
3 you add the \$75 million, the revenue number should be
4 higher here.

5 BY MR. FOLEY:

6 Q And then on the pages titled "Projected
7 Statement of Income," which is the prior -- just the
8 prior page --

9 A Yes.

10 Q -- who came up with the title "Projected
11 Statement of Income"?

12 A I think I cut and pasted it from somewhere.
13 But I put the title. I added that.

14 BY MR. KOLHATKAR:

15 Q I want to turn to the last page on this -- on
16 Exhibit 251, which is entitled "Consolidated Balance
17 Sheets."

18 Do you see that?

19 A I do.

20 Q Where did you get the information for the top
21 half of this page here?

22 A Usually, balance sheet information used to
23 come from (b)(6); (b)(7)(C) and she used to pull it from QAD
24 directly. And I used to just cut and paste and drop it
25 here.

0632

1 Q And earlier, we had a discussion about some
2 of the descriptions of assumptions that you -- that you
3 had provided, and you mentioned that there were some
4 notes in the -- in the -- in the model.

5 Are those notes reflected at the bottom of
6 the page here?

7 A Yes. These are the notes that I had typed in
8 to capture some of the other soft, quote/unquote,
9 assets.

10 Q Okay. So it says -- under the note, it says,
11 "Please note that the following intangible assets are
12 not included in the balance sheet above. These assets
13 have been valued at multibillion-dollar valuation in
14 the past."

15 A Yes.

16 Q Do you see that?

17 A Uh-huh.

18 Q Did you write that?

19 A Sorry. Yes, I did.

20 Q Who valued these intangible assets at
21 multibillions of dollars?

22 A Yeah. We -- we had done a round in 2011 when
23 we had -- or 2012. I forgot the exact time frame. We
24 had raised some money where we had very little revenue,
25 I think close to zero, if not zero. And our company

0633

1 was valued at, I think, 6 billion or 7 billion. I
2 forgot the last -- exact number. And that's what I was
3 referring to, is that it is because of these
4 innovations that our company was valued at that number.

5 Q Did you ever explain to any investors that
6 this note about a multibillion valuation referred to, I
7 guess, the C-1 round?

8 A Yes. They used to -- well, not actually the
9 entire C-1 round, but the fact that somebody had
10 valued -- investors had valued the company. And yes, I
11 had that discussion with several investors.

12 Q Do you recall any -- any specific ones?

13 A PFM, because I had long discussions with them
14 around the model in general. And I don't remember who
15 else talked to me about it, but people would sometimes
16 ask me, "Tell me a little bit more." Or actually,
17 sometimes I would actually take the investor here to
18 point out, and say, "Oh, by the way, here are some
19 notes for your consumption."

20 Q The balance sheet also includes \$183 million,

21 it looks like, for deferred revenue --

22 A I see that.

23 Q -- at the time.

24 What did that represent?

25 A I don't know that. I don't recall. I mean,

0634

1 I would have to see the details behind that.

2 BY MS. CHAN:

3 Q What was your understanding of -- of deferred
4 revenue?

5 A This may have included the -- the \$75 million
6 or a hundred million from Walgreens because we hadn't
7 gotten paid -- this number paid yet. And it may have
8 also included revenue from the payment from Safeway.
9 But I'm not so sure if that was included here or not,
10 so I don't know exactly what was included here.

11 Q What is your understanding of what deferred
12 revenue means or when something should be booked in the
13 financial statement under deferred revenue?

14 MR. COOPERSMITH: Are you asking at the time
15 or as he sits here?

16 BY MS. CHAN:

17 Q At the time.

18 A I didn't have a good understanding of that
19 then and -- or now. Usually, these came from (b)(6);
(b)(7)(C)
20 this balance sheet, so I tried not to touch it much.

21 Q Did she ever ask you what she should be
22 booking under deferred revenue or how to account for
23 the certain innovation fees or prepurchase payments
24 from Walgreens or Safeway?

25 A She wouldn't ask me, but sometimes she would
0635

1 come and discuss that, and I would say, "Talk to the
2 accountants" and -- or "We will," you know, "put it
3 here, put a note somewhere so that we can talk to the
4 accountants about it." But it was not like she was
5 asking my direction because she was a CPA, so she's --
6 she's not going to ask my direction on this.

7 Q I want to hand you another document that's
8 been --

9 A Do I put this away?

10 Q You know, it might be helpful to have it out.

11 A Okay.

12 Q I'll hand you another document that's been
13 marked as Exhibit 195.

14 THE WITNESS: Yes, I see it.

15 BY MR. KOLHATKAR:

16 Q Do you recognize Exhibit 195?

17 A I do.

18 Q What is it?

19 A This is an e-mail conversation between
20 myself, Elizabeth Holmes, and three or four people from
21 the consulting firm that we were working with called --
22 I think called BDT.

23 Q And is this taking place in October of 2014?

24 A Yes, it is. October 13 is one of the

25 e-mails.

0636

1 Q And it looks like you're providing an
2 attachment entitled "Theranos U.S. 2014/2015-Oct
3 14.XLSX"?

4 A Yes, correct.

5 Q So were you attaching your current version of
6 the financial model for them?

7 A Yes, it seems like it.

8 Q Okay. So I want to turn to the -- the
9 attachment which is the model printed in -- it looks
10 like on the fourth page there.

11 Do you see that, the model that begins with
12 "device costs" at the top left? It looks like you're
13 on the right page.

14 A Yes, I do.

15 Q It looks like the -- the device costs lines
16 have expanded from the PFM model.

17 A Yes.

18 Q I guess, how -- how did you -- why did you
19 decide to extend out the -- the time period for device
20 costs assumptions?

21 A Sure. Like I mentioned earlier, the model at
22 the end of 2013 was still fairly raw, and I was still
23 learning and making a lot of assumptions, and over
24 time, I continued to add more and more information.

25 So this would be an effort to add more

0637

1 assumptions that over time, our price will increase as
2 the volume increases. All the reasons that I gave you
3 earlier. And components get cheaper, the labor gets
4 better, assembly gets faster. So I was making
5 assumptions here that over time, our device costs will
6 go down.

7 And I think we were also getting better data
8 from our manufacturing, and that was feeding into these
9 assumptions.

10 Q And is it fair to say that from the time of,
11 you know, PFM getting its model in late 2013 or early
12 2014 till October 2014, Theranos had a lot more
13 information from its retail rollout?

14 A Had -- comparatively speaking, yes.

15 Q And did you endeavor and include that updated
16 information in this model as of October of 2014?

17 A I tried as much. I was not spending too much
18 time on the model, not always. So I -- whenever I got
19 time, I would go back and try to update the model. But
20 I wouldn't say necessarily that it was realtime
21 information, as it came in, I updated it every day or
22 every week.

23 Q I guess, what changed the device costs
24 assumption lower in this version compared to the prior?

25 A Sure. I think in 2014, I had started working
0638

1 with (b)(6); (b)(7)(C) and a few other people in the --
2 what we used to call the math team. These were all
3 quants, mathematicians, and machine learning, and AI
4 people, data analysis people. And we had pulled a lot
5 of data from our actual manufacturing, and -- and we
6 were getting greater confidence what the -- the numbers
7 would be. So this reflects that information -- that
8 change.

9 Q What about depreciation -- the depreciation
10 years there? It looks like it's changed from ten years
11 for PP&E to -- to 15 years and -- and four years for
12 the devices to three years.

13 A Yeah. The depreciation of PP&E, again, you
14 know, I don't know if I got the number from (b)(6); (b)(7)(C) or
15 not, but I made an assumption there for the sake of the
16 model because, you know, whatever the right number
17 would be, we can just plug it in and it will reflect
18 through the model.

19 And the depreciation of the device is an
20 assumption that I had made that as we grow, we wanted
21 to be obsoleting ourselves. So the device
22 depreciation, we always wanted to -- I wanted to assume
23 that we were more aggressively replacing our own
24 devices, so I had reduced that to three.

25 Q If you turn to the next page of the document,
0639

1 it looks like there's some updated numbers in terms of
2 pharmaceutical locations.

3 Do you see that?

4 A Yes.

5 Q And by October of 2014, Theranos had opened
6 41 Walgreens locations?

7 A That's correct.

8 Q Did you have any expectation in October of
9 2014 to open an additional 159 by November of 2014?

10 A No, I did not.

11 Q Why does the model reflect growth to 200
12 stores in the next month?

13 A Sure. When I had started working on this
14 model with these consults, we just plugged in the
15 numbers to verify the overall model works according to
16 our assumptions, so there were no bugs in the formulas,
17 no changes anywhere. So it was more of, you know,
18 picking some numbers and -- and sticking them in the
19 model, not necessarily, you know, what we were doing
20 next month.

21 Q Do you know if similar numbers were shared
22 with potential investors around the same time frame?

23 A I don't recall.

24 Q Would it have been accurate to provide
25 similar numbers to potential investors around the same
0640

1 time frame?

2 A It will be a model, so, yeah. I mean, it's
3 not about accuracy and inaccuracy, as long as the
4 explanation is there that this is a model. One could
5 plug in any numbers and make assumptions. Like I said
6 earlier, in some cases, you can just say, "Well, what
7 happens if you are delayed by six months?"

8 Well, change the October 2014 to April 2015,
9 and the stuff -- then it just shifts out.

10 Q How did Theranos provide this model to
11 potential investors? Did it always provide the Excel
12 spreadsheet?

13 A Not always. I -- like I said, in meetings, I
14 used to project this on the screen, and I would walk
15 people through this. In some cases, people would say,
16 "Yeah, you know, this is interesting. I would like to
17 play with it," and I would give them either an e-mail
18 when I could. Sometimes the e-mail would bounce back
19 because size of the file was large. And sometimes I
20 would put this on a USB key.

21 In some cases, people would say, "I don't
22 care about these details. Just give me a couple of
23 pages for my reference."

24 And I would say, "Which pages?"

25 And they'll tell me which pages, and I would

0641

1 print it and hand it to them.

2 Q Do you recall any investors saying that they
3 didn't care and just wanted a few specific pages?

4 A Yeah. Most investors didn't ask for it,
5 so --

6 Q I guess my question is: Do you recall any
7 investors asking for specific pages for -- from this
8 model?

9 A I don't recall explicitly. Actually, I take
10 that back. I think [REDACTED] had asked because he
11 said -- I said, "I will send you the soft copy."

12 And he said, "I'm not the kind of guy who
13 reads e-mails. Somebody prints them out for me. So
14 just give me a couple of pages from that."

15 So I think I had printed it for him and
16 either gave it to him there or sent it to him somehow.
17 But that's my recollection.

18 But there may have been others. I just don't
19 remember. I just remember it because it was funny that
20 he doesn't read his own e-mails.

21 BY MS. CHAN:

22 Q So in the case of [REDACTED] then, did you
23 change the Walgreens assumptions so that they were more
24 closely consistent with your understanding of when
25 Theranos services would be rolled out in Walgreens

0642

1 stores?

2 A No. I didn't -- I was not modifying the

3 model to give to (b)(6); (b)(7)(C) He basically said, "Just
4 take a snapshot of what you have and give it to me when
5 you're ready." So it was not that I modified anything.
6 It was to give him how we were thinking about the
7 business, what business line items we were thinking
8 about, the pharmacy and the doctors' offices and -- he
9 was more focused on those categories.

10 So, no, I didn't modify anything. I just
11 printed what he asked me to print and gave it to him.

12 Q So you don't think that (b)(6); (b)(7)(C) was
13 interested in knowing about how your revenues would
14 be -- be projected in future years?

15 A My understanding from (b)(6); (b)(7)(C) is that he
16 saw the model, but his interest was more, I mean, the
17 majority of our conversations with him was around the
18 vision of what we will be doing for 10 to 20 years. So
19 he displayed, I would say, less interest or I think, in
20 honesty, it may have been close to zero interest in
21 what we were doing in the next six months, or twelve
22 months, or eighteen months.

23 So he -- he was not focused on that. Most of
24 our investors that we met with in C-1 and C-2, our
25 conversations were around five to ten years, and with
0643

1 (b)(6); (b)(7)(C) our conversation actually was mostly
2 around "I don't want to sell my stock." And so --
3 because in our charter, we were talking about, you
4 know, how we buy back the investors and how to
5 structure the company. Yeah, we spent a lot of time on
6 how Elizabeth was thinking about structuring the
7 company.

8 And (b)(6); (b)(7)(C) said -- yeah, I mean, he was
9 giving us hints on how to structure the company and --
10 because he has, you know, his kids are also involved in
11 the business, so a lot of our conversations were around
12 10, 15 years and beyond.

13 BY MR. KOLHATKAR:

14 Q Do you remember when this meeting with (b)(6);
15 (b)(7)(C) took place?

16 A I think it was winter of 2014, I would
17 believe. Yes, winter of 2014. I don't remember if it
18 was December or January, but it was that time frame.

19 Q So late 2014, early 2015?

20 A Yes.

21 Q Did (b)(6); (b)(7)(C) or any of his representatives
22 ask how confident you were in Theranos's 2015
23 projections?

24 A I don't recall that. But I didn't present
25 these as projections to begin with, so I think I would
0644

1 have probably corrected him that this is a model.

2 Q So -- just so I'm clear on your answer, your
3 answer is: You don't recall him asking that question?

4 A Yes. But what I'm saying is: Had anybody

5 said "projections," I would have corrected them because
6 that's kind of a habit I have.

7 Q And it's a habit you think you had back then
8 as well?

9 A For a long time.

10 Q Did you tell (b)(6); (b)(7)(C) or his
11 representatives that you thought that the projections
12 were within a 30-percent -- that you had confidence
13 that the 2015 projections would be met within a
14 30-percent, plus or minus, range?

15 A I don't recall that. It doesn't sound like
16 something I would have said. I may have been talking
17 about something else, but it doesn't sound like
18 something I would say.

19 Q Do you recall saying that?

20 A No, I don't.

21 BY MS. CHAN:

22 Q Even if potential investors weren't -- you
23 believe that they weren't so interested in, you know,
24 how the revenue was going to be in the few years and a
25 little more interested in other things in your model,

0645

1 why wouldn't you want to show them an accurate picture
2 of -- of what you're displaying here? Why wouldn't you
3 want to show them that, you know, Walgreens -- Theranos
4 is likely not going to be rolling out in Walgreens in
5 the next few months?

6 MR. COOPERSMITH: Objection. Because it
7 assumes things in the question.

8 But please answer.

9 THE WITNESS: Yeah. I think the answer is a
10 similar one. Our conversations with the investors were
11 long term. If somebody had wanted the model -- I
12 obviously I had offered the model to every -- every
13 investor and I gave it to several. But I didn't want
14 to spend my time updating the model if it was not
15 required, and especially if they could have modeled it
16 themselves. So that was the reason.

17 BY MS. WINKLER:

18 Q But how could they have modeled it themselves
19 if they didn't know how many stores were going to be
20 opened at the end of the year?

21 A No, I could have given them the -- if I had
22 given them the USB key which I offered, they would have
23 easily modeled it.

24 Q Right. But if they didn't have the accurate
25 information, the true knowledge of what the state of

0646

1 affairs was, how could they have done a model that
2 projected out in the future?

3 A Yes. The reason is: A lot of the
4 conversations we were having, we were talking about the
5 changes -- the risks to the rollout schedule. If
6 somebody were to ask me what happens, the answer that I

7 gave earlier today and yesterday was: I would say,
8 "You know, if we miss a deadline, our expectation is:
9 Things may slow down, and in that case, things will get
10 pushed out by three or four months, and you can just go
11 to the top and make that change here. But our
12 assumption is that once you pick up a certain pace that
13 both companies were deeply committed to rolling this
14 thing out."

15 Q But you're not answering the question. The
16 question is: How, for example, could they have made
17 their own accurate model if they didn't know that by
18 the end of 2014, 200 stores weren't going to be opened?

19 A I think they could have -- they would have
20 come back and asked me.

21 Q Did anybody come back and ask you that?

22 A I don't recall anybody did.

23 Q Did you ever tell anybody that?

24 A Tell what?

25 Q That there weren't going to be 200 Walgreens

0647

1 locations opened at --

2 A Yeah. When we were -- even with PFM --

3 Q Let me finish my question.

4 A Sorry.

5 Q That there weren't going to be 200 Walgreens
6 locations opened at the end of 2014?

7 A Yes.

8 Q Who?

9 A Anytime when I was engaging with -- I don't
10 specifically remember who, but when I would point out
11 the model, I would say, "Of course, we are just months
12 away from this, so we are not opening 100 locations in
13 a month right now. But this is a model and you can
14 modify it" --

15 Q So who did you tell that to?

16 A Like I said, I don't recall specifically who,
17 but if somebody asked me a specific question, like "Are
18 you" -- "So you'll be at 400 locations this month," I
19 would say, "That's an assumption on the model. Of
20 course we only have three locations right" --

21 Q You're telling me what you would say. I'm
22 asking you who did you say that to.

23 A I don't recall any specific person that I
24 said that to.

25 BY MR. KOLHATKAR:

0648

1 Q If you flip forward a couple of pages in
2 Exhibit 195 to the Projected Statement of
3 Income.

4 A Sorry.

5 Q Flip forward in Exhibit 195 --

6 A Yes.

7 Q -- to the Projected Statement of Income.

8 Do you see the Projected Statement of Income

9 there?

10 A Yes.

11 Q Do you see the EBITDA number that is
12 projected for 2014?

13 A I do.

14 Q And it looks -1.2 million?

15 A Yes.

16 Q In other words, is -- this is predicting
17 that -- this model would predict Theranos would break
18 even as of the end of 2014. Is that what this
19 reflects?

20 A Assuming we hit those assumptions, yes.

21 Q In October of 2014, do you think Theranos was
22 going to break even that year?

23 A No. This was -- actually, you're looking at
24 a model that I was working with with BDT. So no, I
25 didn't -- I didn't think so.

0649

1 Q Okay. Did you think Theranos was going to
2 break even in 2014 as of October 2014?

3 A I don't remember in this moment. I would
4 have probably looked at the model and tried to figure
5 out if the answer is yes or no.

6 Q I guess, did you have any expectation in the
7 fall of 2014 that Theranos was on track to break even?

8 A It depended -- it depended on how we would
9 have recognized the \$75 million, a hundred million
10 dollars revenue. But if you exclude that for a minute,
11 the answer is no.

12 MR. COOPERSMITH: Would this be a good time
13 for a break?

14 MR. KOLHATKAR: I think I'm done with this
15 document. Let me just -- give me two seconds.

16 MR. COOPERSMITH: Of course.

17 BY MR. KOLHATKAR:

18 Q Do you know if Exhibit 195 was shared with
19 other investors?

20 A This specific one?

21 Q Correct.

22 A I don't -- I don't remember. If -- there
23 would be a log for that if it was.

24 Q Do you recall sharing this Exhibit 195 with
25 investors around this time frame?

0650

1 A I would share a model with the investors, but
2 I don't know if it was exactly this one or not.

3 BY MS. CHAN:

4 Q You just said there is a log if you had
5 shared it with investors. There was a log --

6 A Yeah. I mean, in the e-mail, if I gave it to
7 somebody, if I presented it, I would saved the file
8 under that name or it would be on the 300 folder. So I
9 would, like I said, I usually used to tag it and say
10 "Showed to so-and-so," or "Used on this date." But

11 that's what -- sorry, that's what I meant.

12 Q Have ever recorded it in the file name on
13 your 300 folder?

14 A In some cases, I did, yes.

15 Q Okay. What about other cases, are you saying
16 that you just sometimes did and sometimes didn't?

17 A I think I did it reasonably regularly unless
18 I was meeting with somebody -- multiple people within a
19 week or two weeks, then chances are, if I didn't make
20 any modifications, then I would say, "Model as of
21 February 3rd," or "February 2nd," or "February 20th."
22 And then -- but I would not name it by "Four meetings
23 that I had and presented the model."

24 MR. KOLHATKAR: Why don't we take a break.
25 We'll go off the record at 3:09 p.m.

0651

1 THE VIDEOGRAPHER: Off the record.

2 (A brief recess was taken.)

3 MR. KOLHATKAR: Back on the record at 3:23
4 p.m.

5 BY MR. KOLHATKAR:

6 Q Mr. Balwani, just to confirm, you didn't have
7 any substantive conversations with the staff during
8 the break; is that correct?

9 A That's correct.

10 Q You can put those exhibits aside. Thank you.

11 (SEC Exhibit No. 252 was
12 marked for identification.)

13 BY MR. KOLHATKAR:

14 Q I'm going to hand you a document I'll mark as
15 Exhibit 252. For the record, Exhibit 252 is a
16 multipage document Bates stamped SEC-ARANCA-E-0000059
17 through 69.

18 Mr. Balwani, I'm not going to ask you to read
19 the whole thing, but do you recognize Exhibit 252?

20 A I do.

21 Q What is it?

22 A It seems like an engagement letter from
23 Aranca to address to Ms. Holmes for some services.
24 That's what it looks like it.

25 Q And if you turn to the page ending in 68.

0652

1 A Yes.

2 Q Do you see your signature there?

3 A Yes, I do.

4 Q Were you signing this agreement on behalf of
5 Theranos?

6 A Yes.

7 Q Is the date of the signature October 17,
8 2014?

9 A Yes, it is.

10 Q What is Aranca?

11 A My understanding is it's a firm, I think
12 multiple services. But the two I'm familiar with is

13 they do -- they provide 409A evaluations to the
14 companies for stock option issuance purposes and they
15 also provide consulting services to companies in
16 different industries, and including healthcare, is my
17 understanding.

18 Q If you turn to the, I guess, the page after
19 the signature page, there's an Exhibit A. It says,
20 "Purpose intended use of this appraisal." Do you see
21 where it says, "The termination of exercise price for
22 granting common stock options to employees in
23 compliance with" -- is that IRC Section 409A? Do you
24 see that, under the "purpose and intended use of
25 appraisal"?

0653

1 A On the last page?

2 Q On the page ending in 69.

3 A Yes, I do. I do.

4 Q And it says, "The termination of exercise
5 price of grant a common stock options to employees in
6 compliance with IRC Section 409A."

7 A Yes.

8 Q And the next bullet point says, "As an input
9 for evaluations to determine the fair value of options
10 pursuant to SFAS123R for financial reporting purposes."

11 A I see that.

12 Q Did you understand that to be the purpose of
13 this appraisal?

14 A That's what is written here. And what the
15 company was using this for was not for this purpose at
16 this time in 2014.

17 Q What purpose was that?

18 A Yeah. In -- towards the end of 2013, we had
19 decided that, as a company, and we had several
20 discussions at the board that we wanted to come up with
21 our own evaluation of how to value our stock within the
22 company. And as part of that, one of the things I had
23 asked -- the board had asked me to do was to work with
24 Aranca to see what methodology they use to value our
25 company based on certain inputs. So how does -- how do

0654

1 they value what IP and patents or contracts, and also
2 some, you know, software factors, cash position and
3 equity rounds.

4 Q Did you ever tell Aranca that you wanted to
5 work for them for that purpose?

6 A No, I did not.

7 Q Why not?

8 A Because I wanted them to explain to me how
9 they were using the methodology to value a stock
10 options so I could learn from them.

11 Q I still don't understand why wouldn't you
12 just tell them that's what you wanted to learn from
13 them.

14 A I didn't think it was important to tell them

15 what was the purpose of it. We were signing them for
16 some services that they were providing. My intent was
17 that I will give them different inputs, and based on
18 that, how to value the stock options.

19 Q Who was responsible for giving Aranca inputs?

20 A So between -- through 2013, the primary
21 interface with them was [REDACTED]

22 And even after that, she was the primary interface.
23 But I started interfacing with Aranca a little bit more
24 in 2012 than 2013 and 2014.

25 Q Did you ever tell [REDACTED] you were using
0655

1 Aranca for this other purposes?

2 A I don't think so.

3 Q Why not?

4 A Again, it was unnecessary.

5 Q If you take a look at the obligation for the
6 client page, SEC-ARANCA-80 ending in 60.

7 A Yes.

8 Q Do you see the third paragraph there under
9 Section 3, "Client and its representative weren't
10 represent in covenant to Aranca that the information
11 provided by client is complete and accurate to the best
12 of the client's knowledge and that all financial
13 statement information reflects accurately
14 client's results of operations and financial and
15 business condition in accordance with generally
16 acceptable accounting principals unless otherwise
17 noted."

18 Do you see that?

19 A Yes.

20 Q Were you agreeing to provide Aranca accurate
21 information about the company's financials?

22 A For a given purpose, yes.

23 Q And do you believe you provide -- and given
24 purpose that Aranca understood was this 409A valuation?

25 A Correct. But that was not our intent
0656

1 behind -- going through this exercise.

2 Q Did you provide Aranca with accurate
3 information of its -- accurate information concerning
4 the company's results of operations, financial and
5 business condition?

6 A We provided Aranca with a certain set of
7 projections making some assumptions. That we are not
8 using their valuation for our 409A purposes. With that
9 in mind, I would say, yes, with the intent clear, we
10 provided them clear accurate information.

11 Q What intent clear?

12 A That the purpose of using Aranca reports
13 would be not to value stock options, but the value of
14 stock options given a certain set of assumptions. My
15 plan was to modulate those assumptions to say how do
16 they value the stock options if the assumptions change

17 from X to Y. And I was going to give them a different
18 set of assumptions.

19 Q I guess why did you need Aranca to produce
20 409A report for that purpose?

21 A Well, the purpose was not necessarily 409A
22 report. The purpose was, like I said, to understand
23 the methodology in the process of them producing 409A
24 reports so that I can understand how they value our
25 softer skills, softer assets, which I didn't understand

0657

1 at that time. And Aranca had spent quite a bit of time
2 with the company by then, so that they had the
3 understanding of the company and the -- our IP and
4 other things that we had done in the past and the
5 industry, from when I talked to them, that I thought I
6 would be able to learn something from them.

7 Q Who at Aranca were your primary points of
8 contact?

9 A I don't think there was one person. I had
10 spoken to Aranca on the phone two or three times.
11 There was couple of times the gentleman was based in
12 India. Almost all their team was based in India. And
13 so I spoke with some gentleman over there. And then I
14 think I spoke with, once or twice, with their
15 representative here in the U.S. also, but I don't
16 remember the names.

17 Q Who on the board asked you to do this with
18 Aranca?

19 A The entire board was -- we had a long
20 discussion around this, and most of the board members
21 discussed that and that we -- it was assigned to me to
22 go and do this exercise.

23 Q Did you ever carry it out? Did you ever use
24 the Aranca report to help build some sort of value to
25 understand its valuation model?

0658

1 A No. I never got the time. I spent a little
2 bit of time with them to get the information, but I was
3 not satisfied with information I was getting. It
4 wasn't all that much money at that point so it kind of
5 fell lower on my radar, lower priority. So I didn't
6 use -- to answer your question correctly, no, I did not
7 use that information for anything.

8 Q Did anyone on the board express concerns
9 about the way you were proposing to use the Aranca
10 report?

11 A This particular report or Aranca reports from
12 the past?

13 Q Any Aranca reports.

14 A I don't recall any specific. We had
15 discussions at the board level around the use of Aranca
16 and how, you know, their valuation methodology, but I
17 don't think specifically there was any concern. I'm
18 not sure -- I don't think I remember.

19 Q Why were you doing this exercise in October
20 2014?

21 A I think their contract was expiring. This
22 was kind of automatic renewal is my guess. I was not
23 necessarily reaching out to them for a specific purpose
24 at this point. So it must have been an automatic
25 renewal that came to my desk and I signed it.

0659

1 BY MS. CHAN:

2 Q Which board meeting do you recall where the
3 board made a decision to go ahead and use the Aranca
4 reports for the internal modeling purposes.

5 A So there are two points of clarification. I
6 don't think the board said we will use the Aranca
7 reports for evaluation. It was more the methodology we
8 learned from Aranca we would apply towards formula we
9 would come up with in-house. But there were several
10 board meetings, actually. I think pretty much all
11 board meetings in 2014 had a discussion on this topic.
12 And maybe even in late 2013, but 2014 we had
13 discussions on this topic.

14 Q So pretty much all the board meetings in
15 2014, the board would have discussed learning from
16 Aranca how they were valuing the company in order for
17 the company to come up with its own internal model?

18 A Learning from Aranca and learning from other
19 sources, if we could. And that would have included
20 talking to other private companies, how they valued
21 their internal stock. I had a brief meeting with
22 couple of people from Battelle Corporation, where they
23 were educating me on how they do it. So we had other
24 additional resources. This was not going to be the
25 other one. This was the discussion with the board.

0660

1 Q Wasn't the company planning to issue stock
2 options at the end of 2014 and 2015?

3 A Yes. Our plan was to issue stock options,
4 but we didn't have the valuation in place, how to value
5 the stock options because we stopped using Aranca. So
6 we thought we will be able to come up with the
7 evaluation soon. But like I said, my bandwidth was
8 limited. I never got a chance to spend on that so we
9 didn't issue any stock options.

10 Q So even though you were planning to issue
11 stock options at the end of 2014 and 2015, you decided
12 not to use the Aranca report for that purpose?

13 A Correct.

14 Q So what were you planning to do? Or how
15 would you do -- who were you planning to retain to put
16 together the 409A report, if not Aranca?

17 A I'm sorry. Didn't mean to interrupt.

18 One was, I actually tried to retain a firm
19 based in U.S. to see if they can help us with this, and
20 also learn from them. But that firm had very low

21 insurance around confidentiality leaks or
22 confidentiality violations in their contract. So we
23 got a contract from them and I was going to engage them
24 and get the help. Because one of the reasons I was
25 worried was the discussions I had had with Aranca, the
0661

1 gentleman in India, they were very lax about talking
2 about other companies, oh, yeah, we worked with that
3 company, we advise them on this and that. I was
4 worried about the confidentiality of information from
5 Aranca because I felt like they were, like, very casual
6 about it. And so I prefer to work with company in the
7 U.S. So I tried to retain them, but like I said, that
8 didn't pan out.

9 The other part of your question was what were
10 we planning on using in-house? Like I said earlier,
11 our plan was to come up a formula that the board would
12 approve and then we will stick with it. But like I
13 said, I personally didn't get a chance to spend time on
14 it. And then we were also hoping that I will collect
15 enough information that once we have the CFO, this is a
16 project for them, this is not a project I was qualified
17 for, to take it all the way.

18 Q Who was the U.S. company you were thinking
19 about retaining for this?

20 A I don't remember the name, but we had some
21 correspondence with them, even a contract, that we just
22 didn't sign.

23 Q Did you discuss with anyone at Theranos the
24 fact that you were concerned that Aranca wasn't keeping
25 confidentiality?
0662

1 A Yes, I talked to the board about it, the
2 entire board. And I also talked to Elizabeth Holmes
3 about it, that I was -- I had a conversation with them.
4 And I hadn't paid any attention to Aranca until 2012 or
5 2013 because these guys were in place when I came to
6 Theranos.

7 And in that conversation, when I was trying
8 to understand a little bit more about how they value IP
9 and this and that, the person on this other side was
10 trying to sell me on consulting services. And I got
11 really concerned that -- and I went to the website, did
12 some research and I saw they do consulting for a lot of
13 life sciences startups. And so I talked to Elizabeth
14 and said has anybody looked at how we are protecting
15 the IP with these guys. And she hadn't paid attention
16 to this either.

17 And then the next board meeting I brought up
18 to the board's attention that I am seriously concerned
19 about this. And especially if we were going to give
20 them our contracts with Walgreens, there was a lot of
21 confidential information there, that I was not
22 comfortable sharing with them. I had a discussion with

23 the board about that.

24 Q Why did you continue working with them in
25 2014 if you didn't trust them?

0663

1 A Well, we were not using them for 409A
2 evaluations. Like I said, the purpose of working with
3 them in 2014, and I think this carried over to 2015 was
4 just to learn from them because we had already made
5 investment in them. They already knew sufficient about
6 the business. It was certainly a good starting point
7 to work with somebody you had been working with for a
8 while.

9 Q Did Aranca provide you with a copy of their
10 model while they were preparing the 409A reports in
11 previous years?

12 A I'm sorry, I didn't understand that question.
13 Which model?

14 Q Hadn't Aranca provided you with the model
15 that they were using to come up with evaluation for the
16 company's common stock in prior years?

17 A Before 2013, yes.

18 Q Okay. So why couldn't you use that model for
19 your internal modeling purposes? Why did you have to
20 go through a back door and ask some questions and learn
21 that way?

22 A We were using that model, actually. So what
23 happened was, the model that you are referring to, that
24 they were using in 2013, what I did was I increased --
25 I added another year to that and increased it by I

0664

1 think 5 or 10 percent or 20 percent for the subsequent
2 year. And I gave them that model. And I did exactly
3 what you just described. Precisely that.

4 BY MR. KOLHATKAR:

5 Q Theranos -- so in October 2014, you mentioned
6 earlier Theranos was working with BDT as a consultant,
7 right?

8 A Yes.

9 Q Why didn't you have BDT do this evaluation
10 work for you?

11 A I didn't believe that was their expertise.

12 Q Why not?

13 A That's a good question. I mean, I didn't
14 know that BDT had that expertise. Perhaps I should
15 have asked them, but it didn't even occur to me that
16 BDT would be in 409 valuation businesses.

17 Q What I understood your testimony to be was
18 that you weren't using Aranca for 409A, right?

19 A Correct.

20 Q You were using them for a broader company
21 valuation?

22 A Yes.

23 Q Why weren't you using BDT for broader company
24 valuation purposes?

25 A That's a good question, actually.

0665

1 Personally, I didn't think about that. But we actually
2 did work with BDT on how to structure -- they were
3 educating us on structured deals in the long run, 10,
4 15 years down the road when we hit positive cash flow
5 coming. How we would use it. But this point that
6 you're making, it's a good point. I didn't think about
7 that. I don't think anybody with the board did either.

8 Q Did any investors ever ask to see a copy of
9 Theranos's 409A reports?

10 A I recall one investor who had asked.

11 Q Which one?

12 A PFM.

13 Q Do you know when they asked?

14 A Yes. I believe it was summer or late summer
15 or early fall 2014.

16 Q So after they invested?

17 A Yes.

18 Q And what information did you provide them?

19 A So initially, I was not thinking about 409A.

20 I think I had a conversation with (b)(6); (b)(7)(C) and I said I

21 will send it to you. But then I also explained to him
22 that we are not using 409 valuation -- first of all, I
23 told him, we use 409 valuation to value stock options
24 common shares. So actually, let me take a step back.

25 He had said he needed the 409A evaluation, if

0666

1 we could provide it to him, because as auditors, we are
2 trying to value their investment in Theranos. And I
3 said yeah, it shouldn't be a problem, and I'll talk to
4 (b)(6); (b)(7)(C) and I'll send you 409A. And then later when I
5 talked to (b)(6); (b)(7)(C) I remember that, wait a minute, we
6 had modified the intent of 409A so I called -- I had a
7 conversation with --

8 Q Sorry to interrupt. I guess, what about your
9 conversation with (b)(6); (b)(7)(C) refreshed your recollection on
10 that point that (b)(6); (b)(7)(C) didn't know about this
11 modification?

12 A Because I asked her, do we have the latest
13 409A evaluation because I didn't remember when was the
14 last time we did one. And when I was asking her that
15 question, then it popped in my brain that, oh, wait a
16 minute, the last evaluation was, I think, December 2013
17 and things have changed since then. So I had a
18 conversation with him and their general counsel,

19 (b)(6); (b)(7)(C)

20 (b)(6); (b)(7)(C) And I had a conversation with
21 them, I said, look, here's the thing about 409A, we are
22 not using it internally, the last time that we used was
23 in December 13th. I think I gave her the valuation or
24 what the valuation was in 2013, but this one is not
25 going to be used with you. And so I think we left the

0667

1 conversation with that.

2 I don't know what they said. They said they
3 send it to us anyway or not, I don't remember that.
4 But then I -- and I kind of moved on. I moved on to
5 other things.

6 And then later on, I think (b)(6); (b)(7)(C) had
7 reached out to me saying, hey, can you provide us the
8 409A valuation. I don't know if we met in person or on
9 the phone. I don't remember the details. But I said,
10 hey, what's the purpose. And he goes we just need to
11 give it to the auditors. It's just a checklist,
12 otherwise they may write down our investment. I said,
13 well, we are potentially doing an equity round. And
14 that's a transaction that will value our equity at the
15 same price that we did in February 2014. That should
16 be even more sufficient than what you need the 409A
17 valuation for. And I gave them the background of 409A
18 is not going to be useful to you. And he goes, yeah,
19 that's all we need. That will do it.

20 Q Did you tell (b)(6); (b)(7)(C) that Theranos was
21 using Aranca to help it develop an internal evaluation
22 model?

23 A I may have had a discussion with him, but I
24 don't recall. Because I used to talk to him pretty
25 openly and pretty frankly because I was trying to
0668

1 solicit his opinion, and his help also many times. But
2 I don't think I dug deep into Aranca, or even name
3 Aranca to him. I told him the model is -- the 409A is
4 not going to be useful for you. And what you really
5 need -- our conversation shifted from you need it for
6 this purpose and we work with other investors and we
7 give them this letter. That will be sufficient for
8 you. He goes, yeah, that's even better because 409A
9 evaluation is probably going to be not that useful.

10 And so after that, in December or early
11 January, I provided him that information and then he
12 didn't come back and ask for anything.

13 Q Any other investors ask for a copy of
14 Theranos's 409A reports?

15 A I don't recall at this moment.

16 BY MS. CHAN:

17 Q Why did you sign Exhibit 252 and represent
18 that you would be providing accurate information to
19 Aranca for the 409A purposes if you weren't intending
20 to provide accurate information for that purpose?

21 A Well, this was a form template. I didn't
22 read the entire thing. It was just a template form to
23 renew contract with them, so I didn't necessarily read
24 all the details in the contract.

25 Q Did you sign the 2013 letter as well?

0669

1 A I don't recall. I don't know.

2 BY MR. KOLHATKAR:

3 Q Sitting here today, do you have any concerns
4 that you signed a contract that didn't reflect your
5 intent at the time?

6 A Not at all.

7 Q Why not?

8 A Because internally, as the company and a
9 counsel on the board and the entire board I said this
10 is a good use and this is a good way of doing this. So
11 I didn't have any concern then, and I don't have a
12 concern now.

13 (SEC Exhibit No. 253 was
14 marked for identification.)

15 BY MR. KOLHATKAR:

16 Q I'll hand you what I'll mark as Exhibit 253.
17 For the record, Exhibit 253 is a document Bates stamped
18 TS0021420 through 21507.

19 Mr. Balwani, I'll represent to you that this
20 document was provided -- the company represented to us,
21 at least, that this document was provided as part of
22 the October 21st, 2014, board binder.

23 A Okay.

24 Q Do you recognize Exhibit 253?

25 A Yes. It looks like an Aranca report.

0670

1 Q It looks like -- does it look like a one fair
2 market value of common stock as of September 30, 2014,
3 dated October 21, 2014?

4 A Yes, sir, that's what it says.

5 Q Do you understand this to be the report that
6 was produced pursuant to the engagement letter that you
7 saw 253 -- 252?

8 A That, I don't know. But it would be
9 reasonable guess. It may be something that was already
10 in motion and I just had to sign the paperwork.

11 Q I want to turn to the page that starts with
12 "company overview."

13 A What page is that?

14 Q 21427.

15 A Okay.

16 Q The -- if you look at the last paragraph, it
17 says, "The company has previously funding from its
18 pharmaceutical partners through prepayments with
19 contracts. In 2013, the company's product development
20 and manufacturing is on track and products were
21 launched in the market Q3 of fiscal year '13."

22 Did you understand that to refer to the
23 Walgreens rollout in Q3 2013?

24 A It seems like it, yes.

25 Q Overall, did you expect Aranca's description

0671

1 of the business to be accurate?

2 A Not necessarily. It would be high level I
3 think, but I didn't spend much time on this.

4 Q Who did spend much time on this?

5 A My guess is maybe (b)(6);(b)(7)(C) probably
6 provided this description, high level description. And
7 I may have edited it, but I don't remember doing it.
8 So -- I don't remember doing it.

9 Q If you take a look at the page ending in
10 21447 there's income statement.

11 A Yes.

12 Q Did you intend for this income statement to
13 be an accurate reflection of Theranos's income for
14 the -- for -- for 2014?

15 A I think I've answered that question earlier,
16 that information that we provided to them had a
17 different purpose. So which was to use this report,
18 not for 409A evaluation, but for us to learn how they
19 value other assets. So with that in mind, I would say
20 yes, we provided them with set of assumptions in mind,
21 this is accurate.

22 BY MR. FOLEY:

23 Q Why didn't you just ask them to value the
24 other assets?

25 A You know, I think I had a conversation with
0672

1 them, and I try. And I may even have e-mail exchanges
2 with them, and they didn't give me a straight answer.
3 They said, well, we cannot just do that. We need to
4 look at other things and we need to look at the whole
5 picture. And I was actually very irritated with them
6 on that point. And I said, well, you should be able to
7 value IP very clearly and our soft assets. And you'll
8 see that I think I was irritated with them. That they
9 wouldn't do it unless we provided them some numbers,
10 some projections for them to come back with a number.

11 BY MS. CHAN:

12 Q When did that conversation take place?

13 A I don't recall the -- I mean I talked to them
14 multiple times, so it may be in 2012 and 2013, and 2014
15 also.

16 Q Who did you talk to?

17 A I think I answered the question earlier.
18 There were couple of gentlemen from India who I spoke
19 with. I don't remember their names. And then when I
20 was getting frustrated by not getting the answer how to
21 value the IP, I had complained to them. And they said,
22 well, somebody from the U.S. office will call you and
23 have a conversation with you. And this person from
24 U.S. office called me and had a conversation. But
25 again, I don't remember the name either.

0673

1 BY MR. KOLHATKAR:

2 Q Do you see the summary income statement that
3 begins on page ending 448, balance sheet on 449 and the
4 cash flow statement on 450?

5 A I do.

6 Q I understand your testimony to be that, in

7 your view, these weren't necessarily accurate numbers,
8 given the purpose that you had attended this report to
9 be; is that fair?

10 A In 2014 and beyond, correct.

11 Q So as of October 2014, you necessarily
12 believe that these future figures represented
13 Theranos's best estimates for future revenues?

14 A That's correct. What we were -- like I said,
15 just to finish my sentence, is that I commented earlier
16 we took the numbers from the prior years and added two
17 columns, increased that by 20 percent. I forgot the
18 percentage. And we just provided them that information
19 to see how they will come back and provide us with
20 numbers.

21 Q So between the -- comparing the Aranca report
22 versus the financial model dated similarly,
23 October 2014, which document reflects, in your opinion,
24 Theranos's best estimate at the time of its future
25 revenues, as of October 2014?

0674

1 A I would say I relied more on the model as a
2 place where I would look at the health and where the
3 business was headed. So I use model for that purpose,
4 a financial model.

5 Q I guess my question is: In terms of your
6 expectation for what Theranos was likely to earn in
7 revenues in the next three months, the next fifteen
8 months, which document would you go to look to as of
9 October 2014?

10 A I would look at the financial model.

11 Q In other words, the financial model would
12 more closely reflect your expectation in the Aranca
13 report; is that fair?

14 A The financial model will reflect my
15 expectations better, yes.

16 Q You can put that document aside. Who is
17 Woodruff-Sawyer?

18 A I think that's the name of the firm that we
19 were trying to engage, the other firm in the U.S., that
20 would have helped us with 409A evaluation.

21 Q Did -- did Woodruff-Sawyer provide insurance
22 services for Theranos?

23 A Well, I'm sorry. Maybe I'm confusing it
24 then. They may be a broker then. I'm sorry. I'm
25 mixing the name maybe.

0675

1 Q Did you provide your insurance broker with
2 financial information in connection with policy
3 renewals from time to time?

4 A I don't think I did. (b)(6);
(b)(7)(C) may have. But I
5 didn't provide them information model.

6 Q Did you provide them any financial
7 information?

8 A I don't recall. If I see something, maybe it

9 will refresh my memory.

10 Q And I guess generally, would it be important
11 for Theranos to provide accurate information to its
12 insurance providers?

13 A I wouldn't know the answer to that because I
14 don't know what was the purpose of the insurance and
15 how much that financial information fed into -- to the
16 insurance quote so I would not know.

17 (SEC Exhibit No. 254 was
18 marked for identification.)

19 BY MR. KOLHATKAR:

20 Q I'll hand you what I'll mark as Exhibit 254.
21 For the record, Exhibit 254 is a document Bates stamped
22 THPFM0000677241 through 677245.

23 Do you recognize Exhibit 254?

24 A One second. It seems like an e-mail
25 conversation between [b](6);
[b](7)(C)] and a few people on this
0676

1 call from this company.

2 Q And do you see that in the last e-mail here,
3 there's a reference to, "As Sunny mentioned, we run at
4 about 8 to 9 million" -- "we run at about 8 to 9
5 million a month. The net loss ended 2014 amounted to
6 about 80 million"?

7 A I see that.

8 Q Do you recall having a conversation with any
9 of the recipients of this e-mail where those figures
10 were shared?

11 A I don't recall at this moment.

12 Q Do you recall being on calls with any of
13 these individuals?

14 A I was on calls with insurance company couple
15 of times, but I don't recall the specific calls.

16 Q Do you remember [b](6); (b)(7)(C)] ever sharing with you
17 that the company's net loss for the nine-month period
18 ended in September 30, 2014, amounts to about \$80
19 million?

20 A I don't recall at this moment the specific --
21 that information.

22 Q Do you have any reason to doubt that number's
23 accuracy?

24 A No. I mean it came from [b](6);
[b](7)(C)] and it seems
25 like, as Sunny mentioned, she's mentioned my name that
0677

1 it's probably -- she also says about 80 million, so
2 it's ballpark. So I would say, no reason to doubt
3 that.

4 Q So if the -- if Theranos's net loss for the
5 nine-month period end September 30, 2014, was about \$80
6 million, would you have had expectation to break even
7 for year end 2014?

8 A I think I answered that question earlier.
9 The answer is, if you exclude the innovation payment
10 and how that was going to get recognized, then the

11 answer would be no, so same answer.

12 Q Would there be any reason why (b)(6); (b)(7)(C)
13 would be excluding it from this description here?

14 A I think for the purpose of this call, we were
15 focusing on burn. But again, I don't remember what the
16 question were and what was the context of the answer.

17 Q In the 2014 time period, there's a burn rate
18 of 8 to \$9 million sound like a burn rate for Theranos?

19 A You know, I would guess it's on the high
20 side. But probably, yes. I mean, I wouldn't remember
21 what exactly it was.

22 Q Did you ever share Theranos's monthly burn
23 rate with any investors?

24 A I don't recall. But yeah, I don't recall
25 exactly right now.

0678

1 Q Would you expect the financial model we
2 talked about to reflect Theranos's accurate burn rate?

3 A To the best of my abilities, I would say,
4 yes, but because the financial model also had a lot of
5 assumptions, and the store rollout and patients per day
6 and other things, and the expenses were tied to that, I
7 would say if you modify assumptions one way or another,
8 then the answer would be no.

9 Q Let me ask maybe more simply.
10 How would Theranos's burn rate be reflected
11 in the -- in the financial model?

12 A Probably not be reflected accurately. The
13 reason is, the expenses were tied to assumptions that
14 one could modify. So it was not dynamically tied to
15 the QAD system where the information was being pulled
16 realtime, so that would be the answer.

17 Q In other words, the -- the burn rate in the
18 model was tied to revenue?

19 A In the model.

20 Q In the model, right?

21 A Which was tied to the assumptions in the
22 model.

23 Q And that differed from the burn rate that
24 Theranos's actually experienced at the time that you
25 preserve in the finance database?

0679

1 A Correct.

2 BY MS. CHAN:

3 Q Actually, I wanted to ask a question
4 about -- and you can keep that in front of you. But if
5 you go back to Exhibit 195, which I think is in your
6 pile, would be the set of financial information that
7 you sent to BDT.

8 A I see that.

9 Q Do you see that?

10 So this was sent in October 13, 2014.

11 A Yes.

12 Q And if you turn to -- I guess there's no

13 Bates numbers on the page, but if you turn to the
14 projected statement of income in the model, you see
15 that for --

16 A Yes, I do.

17 Q -- year end 2014, you're projecting revenue
18 of \$125 million?

19 A Modeling, just to correct it.

20 Q Do you see that?

21 A Yes. But a modeling, not necessarily
22 projecting. Just to clarify that.

23 Q What do you mean by that?

24 A That this is a financial model based on the
25 certain assumptions that I'm modeling. That's what the

0680

1 model is showing. I'm not necessarily projecting.

2 Q Okay. That's fine.

3 A Okay.

4 Q And, you know, looking back, then, at

5 Exhibit 254, it looks like you and (b)(6); (b)(7)(C) had

6 told the insurance companies that actually Theranos has
7 a net loss of 80 million as of September 30, 2014?

8 A Yes.

9 Q Right.

10 So which is more correct?

11 A So this is not correct because this is model.

12 BY MR. KOLHATKAR:

13 Q Just for the record, you're pointing to
14 Exhibit 195?

15 A Yes. The Exhibit 195 is financial model. As
16 a matter of fact, the couple of people from BDT and
17 myself sat in the room and made realtime edits to this
18 model to be able to fix some of the formulas and other
19 things in the model. So this is not representing the
20 accurate information coming from a QAD database at that
21 point.

22 BY MS. CHAN:

23 Q So if you gave similar revenue projections
24 for 2014 to other potential investors during this time
25 frame, something over a hundred million dollars, that

0681

1 would also not be accurate?

2 A It would be, again, share with them as a
3 model saying given these assumptions, this is the
4 output, yes.

5 Q Okay. And -- and so the information that
6 you're providing to Woodruff-Sawyer, in that same
7 month, net loss of \$80 million as of September 2014,
8 that would be accurate?

9 A Well, I don't know what -- I think I alluded
10 to that earlier what was their cushion and what I was
11 responding to. But assuming this information here is
12 correct, this one talks about that number. And again,
13 it ballparks it.

14 Q Did it concern you that the numbers were so

15 different, one was, you know, negative 80 million and
16 the other one was over a hundred million?

17 A No, I didn't.

18 Q Why not?

19 A Because when I was sharing this information
20 with any investor, I was talking about a planning model
21 over and over again, and very clearly. And this
22 number, like I said, on the call, the purpose of this
23 call and where this information came from was
24 different.

25 BY MS. WINKLER:

0682

1 Q So did you share accurate financial
2 information with any investors?

3 A You mean, like, audited financials?

4 Q No. I mean like accurate financial
5 information out of QAD? Did you tell any investors
6 that?

7 A I think the balance sheet that came into the
8 model, I shared with them this come from financial
9 systems. And that is the snapshot as of that date or
10 that month, whatever it's labeled. But the other two
11 sheets there were tied to the assumptions and they were
12 models.

13 Q So were any investors, around October 2014,
14 aware that there was a net loss in the company of about
15 \$80 million?

16 A I don't recall if I had explicitly that
17 conversation with the investor.

18 Q Did you tell any investors that?

19 A I don't recall that at this minute.

20 MR. KOLHATKAR: Why don't we change videos.
21 We're off the record at 4:03 p.m.

22 THE VIDEOGRAPHER: Off the record.
23 (A brief recess was taken.)

24 MR. KOLHATKAR: Back on the record at 4:13
25 p.m.

0683

1 BY MR. KOLHATKAR:

2 Q Mr. Balwani, you didn't have any substantive
3 conversations with the staff during the break; is that
4 correct?

5 A That's correct.

6 Q I'm going to hand you a document that's been
7 previously marked as Exhibit 213. I'll represent to you
8 that Exhibit 213 were the financial materials included

9 (b)(6); (b)(7)(C)

10 A Okay.

11 Q I don't want to ask you everything. But I do
12 want to turn to the last page, which is Bates stamped
13 TS607. It says -- it looks like the note section has
14 been changed to an additional comments section.

15 Do you see that?

16 A Yup.

17 Q Did you make that change?

18 A I probably did. Because nobody else would
19 touch these files.

20 Q And it looks like the first line says,
21 "Please note all revenue projections are based on
22 contracts already signed and in place for 2015 and
23 2016."

24 Do you see that?

25 A I do.

0684

1 Q You see the use of the word "projections"
2 there?

3 A Yes, I do.

4 Q Why did you use the term projections in this?

5 A Because it is inside a whole financial model
6 so I probably used the word -- this word loosely.

7 Q And the next line says, "No additional
8 contracts assumed signed." As of the end of 2014,
9 Theranos had not signed a contract with CVS; is that
10 right?

11 A That's correct.

12 Q So this model should not reflect any revenues
13 from rollout at CVS; is that correct?

14 A Well, I think I addressed that question
15 before, that, in some cases, I used CVS or Safeway
16 slash something as a placeholder or just CVS because we
17 had a contract at Safeway. And during the
18 conversations, we will talk through this point with
19 whoever we had a meeting with.

20 Q I guess how -- how -- I had understood the
21 sentence before to say, "All revenue projections are
22 based on contracts already signed and in place for 2015
23 and 2016." At this time, Theranos didn't have any
24 contracts in place with CVS?

25 A That is correct.

0685

1 Q So you're saying CVS could nevertheless be
2 included in the model?

3 A The place in the model where CVS comes into
4 play was revenue from pharmaceutical pharmacy services.
5 In the pharmacy services column -- row, we have two
6 rows in there. One was for Walgreens and the other one
7 was for other. In the other, I used to bundle or bulk
8 Safeway, CVS, Walmart or whoever else we would work
9 with in the future.

10 Q I guess how would you bundle in CVS or
11 Walmart if you hadn't signed a contract yet?

12 A The point was, we had a contract with
13 Safeway. So the point I was trying to get across was,
14 either we will execute with Safeway or if we replace
15 that contract, it would be with CVS or some other -- we
16 were working with them, would be the comment that I
17 would make. And that's the comment that I did make in
18 the meeting.

19 Q Did you make that comment to (b)(6); (b)(7)(C) or
20 his representatives?

21 A I believe so.

22 Q In what meeting?

23 A I think I was only in one meeting where they
24 had two or three additional people in the -- in the
25 meeting. I forgot which meeting it was. And we had
0686

1 showed them pictures from our Safeway locations and we
2 had talked about Safeway in that context.

3 BY MS. CHAN:

4 Q So you told (b)(6); (b)(7)(C) during a meeting,
5 that if things didn't work out with Safeway, that
6 Theranos was expecting to rollout with CVS, and that
7 was what was being modeled in the financial projection?

8 A Yes. I would walk through that. And other
9 thing also is I think that we also had, in -- in that
10 same row, I would say, Safeway, CVS slash dot, dot,
11 dot. I would walk through that, that we may also work
12 with Walmart, if we -- if we decided to do that.

13

14 Q When was that meeting?

15 A I think I answered that question. It was --
16 I don't remember when exactly it was. I think it was
17 December or January, or maybe it may have been November
18 of 2014. Sorry, 2014. But I was in one meeting, my
19 recollection, is that where I had talked about Safeway
20 was when they had two or three additional people with

21 (b)(6); (b)(7)(C)

22 Q You can put that document aside. I'll hand
23 you what's been Bates stamped -- what's been previously
24 marked as Exhibit 227. And I'll represent to you that
25 Exhibit 227 is a document that was provided to the

0687

1 board in connection with the April 2015 board meeting.

2 A Okay.

3 Q Do you recognize Exhibit 227?

4 A It looks like one of the models.

5 Q Did you review this information before
6 providing it to the board?

7 A I don't remember exactly this one or not.
8 But in general, I didn't use to. I would just project
9 the model and discuss it.

10 Q Whose responsibilities was it to present
11 financial information to the board?

12 A Well, we didn't have a CFO. So if there were
13 any specific questions, usually the questions from the
14 board would be around cash position. And that's where
15 we focused on. But I don't recall having a
16 conversation around monthly revenue or quarterly
17 revenue with the board. I didn't have that information
18 is what I would tell them.

19 Q Whose responsibility was it to provide the
20 company's cash position to the board?

21 A I would. I would reach out to (b)(6); (b)(7)(C)
22 before most of the meetings where I would need that
23 information. She would pull up from QAD systems. Or I
24 will speak from memory, if I had spoken from memory and
25 I'll include that.

0688

1 Q And if you'd look at third page of Exhibit
2 227. And that's one of those balance sheets, right?

3 A Yes.

4 Q And is that information you would generally
5 get from (b)(6); (b)(7)(C)?

6 A Either that or it would -- if it is linked to
7 the model, then it would be from the model. I mean,
8 usually I would project the spreadsheet on the screen
9 so I don't know -- I need to look at the formula where
10 it came from. But if it came from QAD system, then it
11 comes from (b)(6); (b)(7)(C). But if it came from model, then
12 this would become the model.

13 BY MR. FOLEY:

14 Q How would this link to the model?

15 A I think we had ending cash in the cash flow
16 statement in the model. So there's a tab in the model
17 that says ending cash balance. It says 664 in 2015 and
18 845. And I will just hyperlink to that.

19 Q Well, what about the remainder of the balance
20 sheet?

21 A I probably didn't touch the rest of the
22 columns.

23 Q So you think cash may have been linked to the
24 model, but everything else came from where?

25 A If I see the model, I would be able to firmly

0689

1 say that, but I don't recall.

2 Q But if -- if cash is linked to the model --

3 A Yes.

4 Q -- you said it's a possibility, where would
5 the other line items on this document come from?

6 A In the balance sheet?

7 Q Yes.

8 A They would come from (b)(6); (b)(7)(C). So I would take
9 that, put in the spreadsheet as much as I can and link
10 from here to the model, I would. The others I would
11 just leave the way they are.

12 BY MR. KOLHATKAR:

13 Q Did this information provide your best
14 estimate of the company's projected income as of the
15 April 2015 board meeting?

16 A Same answer, based on certain assumptions,
17 the answer is yes. But I would actually walk through
18 those assumptions with the board. That's the whole
19 purpose of me projecting this to the board. By talking
20 to them about how things are going at Safeway and other
21 places.

22 BY MS. CHAN:

23 Q So this was given to the board in April 2015.
24 So by that time, you know, obviously the company
25 already knew how much in revenue it had made in 2014.

0690

1 Was it consistent with your understanding that Theranos
2 has made \$108 million in 2014?

3 A We had discussed that at the board meeting.
4 I recall this because I had -- this was the Walgreens
5 innovation payment that we discussed earlier. And I
6 had highlighted to the board that we had \$100 million
7 innovation payment from Walgreens that's included here.
8 I don't know how to recognize this from accounting
9 purposes, that's not my forte, but I'm highlighting
10 that's the -- that's the number here, included here.

11 BY MR. KOLHATKAR:

12 Q Where is the other 8 million?

13 A I actually don't remember. I'll have to
14 look -- look at the spreadsheet. It may be that I was
15 guessing that this is how much we had ballparking, this
16 is how much --

17 Q I just --

18 A Sorry. I don't remember this moment.

19 BY MS. CHAN:

20 Q In the 25 million, initial \$25 million
21 payment from Walgreens came in 2013, right?

22 A Correct.

23 Q So why was it being included here in 2014?

24 A I had discussed that also at the board, at
25 that meeting. That when -- originally when I had put

0691

1 the 25 million in 2013, that we didn't recognize that
2 as revenue in 2013. So it may be that the entire
3 payment is going to be recognized in 2014 or 2015. I
4 wasn't sure. So I provided that information to the
5 board.

6 BY MR. KOLHATKAR:

7 Q In 2015, who is the company's secretary, the
8 board's secretary?

9 A I don't recall. It may be me in 2000 --
10 actually, we had a hired our general counsel, (b)(6); (b)(7)(C)

11 (b)(6); (b)(7)(C)

12 (b)(6); (b)(7)(C)

13 Q How did the board maintain its minutes in
14 2014?

15 A I think at some meeting, I took the notes.
16 Actually, I take that back. Actually, I took some
17 notes. I think in some meetings either (b)(6); (b)(7)(C) or
18 Elizabeth took the notes, but I don't recall exactly.

19 Q And would the board vote on minutes from
20 prior meetings and later meetings. In other words,
21 would you vote on -- I'm just -- as an example, vote on
22 the January minutes at the April meeting?

23 A Not if -- not necessarily immediately on the
24 same meeting. Sometimes we be behind in the board

25 minutes so then we will roll it and vote on two prior
0692

1 meetings. I remember once in a meeting that happening,
2 but I don't recall exactly which meetings.

3 Q And do you believe the minutes would reflect
4 the fact that you discussed this document as a model?

5 A I don't know. I don't recall the notes. But
6 in some cases, I was taking the notes. In some cases,
7 others were taking the notes. I was not a very good
8 note taker because I was also doing a lot of talking.

9 MR. COOPERSMITH: He's talking about the
10 minutes.

11 THE WITNESS: Oh, sorry. I don't know. I
12 don't know the answer.

13 BY MR. KOLHATKAR:

14 Q Who would take notes while you were talking?
15 Anyone step in to the note taker role?

16 A Later on, when (b)(6); (b)(7)(C) had joined, I
17 think she took over. Before then, I think maybe (b)(6);
18 (b)(7)(C) was taking notes or Elizabeth, like I said
19 earlier. So I don't remember exactly.

20 Q You can put Exhibit 2 --

21 BY MR. FOLEY:

22 Q Can I ask one more question?

23 A Yes.

24 Q If you look at the last page, Exhibit 227,
25 and go to Exhibit 213, the last document in Exhibit
0693

1 213.

2 A Okay.

3 Q Do you see that the total asset from Exhibit
4 213, as of December 14, are 603,745?

5 A Yes.

6 Q Okay. You see that's the same number as
7 total assets on TS0021913, which is Exhibit 227?

8 A Yes, I do.

9 Q Those are different months end, right?

10 A That's right.

11 Q Do you know why the balance sheet hasn't
12 changed between -- in that one-month period?

13 A I think it's showing the same balance sheet
14 here, if I'm not mistaken. In the date at the top, it
15 says December 2014 and the other one January 2015.

16 Q Right.

17 A So my guess is, it may be the same balance
18 sheet that I didn't get a chance to update probably
19 because all the --

20 BY MR. KOLHATKAR:

21 Q Again, do you know or you're guessing?

22 A I'm just looking at the number. They're all
23 matching. Actually, that's a good observation. So
24 that's what it looks like.

25 BY MR. FOLEY:

0694

1 Q That you -- sorry.

2 A It looks like that is the same information.

3 Even the date says December 2014 and January 2015.

4 Q Right.

5 A And I'm not sure. You know, I think it's --

6 it may be 12/31 and 1/1/2015, if you look at the

7 formula. I don't know what's behind it. But it seems

8 like it's identical balance sheet so it's not updated.

9 Q So I guess my question is: What date is
10 this -- are these numbers from?

11 A Probably January 2015. You're talking about

12 this one, right? The one that says January 2015?

13 Q I'm trying to figure out why two balance

14 sheets with different dates have the exact same

15 balance.

16 A I think they may be off by a day. I mean, I

17 don't know. If I look at the date behind this, I may

18 be able to tell.

19 BY MR. KOLHATKAR:

20 Q You can put those exhibits aside. Thank you.

21 I want to talk generally about investor communications.

22 You mentioned earlier sort of a process where

23 you would attend some investor meetings; is that

24 generally your recollection?

25 A Yes.

0695

1 Q Were there certain topics you and Ms. Holmes
2 split up in those meetings?

3 A Not necessarily. Every meeting was very

4 different. I mean, I'm assuming you're referring to

5 2014, '15, time frame, or all the way 2010?

6 Q How about let's take end of 2013 through

7 early 2015.

8 A I don't think we ever walked into a meeting

9 that was -- or two similar meetings. Every meeting was

10 different because the people we were meeting with

11 were -- had different backgrounds, different

12 businesses. Our conversations were almost different --

13 always different. Some strategic partners were more

14 interested in how would we work together, you know,

15 five years, ten years, whenever we work together. And

16 others were more interested in learning, you know,

17 how -- more about the business so they can think how

18 they would help us. So it was a very different

19 conversation.

20 Q In your experience sitting in on it sounds

21 like -- were there a number of meetings in that time

22 frame?

23 A There were a few meetings. I don't -- I

24 don't remember how many, but not too many, though.

25 Q More than ten?

0696

1 A Probably not more than ten. But that would

2 be about right, ten, twelve number, yes.

3 Q In any of those meetings, did you ever hear
4 Elizabeth Holmes something -- Elizabeth Holmes say
5 something that you thought was inaccurate?

6 A No.

7 Q Did she ever raise any concerns about the
8 accuracy of any of the information you were providing?

9 A No. I was showing the model so I don't think
10 that was an issue.

11 Q Did you have any other role in these investor
12 meetings other than showing model?

13 A Yeah. Like I said, we -- there was no split
14 of what we said. Our focus was describing our vision
15 for the company. And I would say 80 percent of the
16 conversation, if not more, was describing that. And
17 clearly, like I shared with you earlier, software was a
18 very significant part of company's vision. I used to
19 talk a lot about software, and what role software is
20 going to play in terms of future and where we see our
21 growth and so on and so forth.

22 Q Who at the company had the final authority to
23 decide what written materials were provided to
24 investors?

25 A I don't think either of -- there was anybody.

0697

1 Because most of these conversations we had with these
2 investors more as potential partners. So like I said,
3 each meeting was different. And this is why the
4 information that we discussed in meetings was also
5 mainly different. It depended on the interest level on
6 the person on the other side. Some had more technology
7 background so, you know, we tend to talk more about
8 tech and software. So I don't think it was a decision
9 that this is the word that we are sharing with them.
10 It was a really open-ended conversation talking about
11 vision out in the future, two years, five years, ten
12 years, sometimes 15 years or more.

13 Q I'll hand you a document -- you can have more
14 water. This will take me a second.

15 A Okay, good.

16 (SEC Exhibit Nos. 255 and 256
17 were marked for
18 identification.)

19 BY MR. KOLHATKAR:

20 Q I'm going to mark two documents. One I'll
21 mark as Exhibit 255, one I'll mark as Exhibit 256. And
22 for the record, Exhibit 255 is a document Bates stamped
23 THPFM0003870572. And 256 is a document Bates stamped
24 TS315637 through TS0315903.

25 Do you recognize Exhibit 255?

0698

1 A Yes, I do.

2 Q What is it?

3 A It's an e-mail communication between myself

4 and (b)(6); (b)(7)(C)

5 Q Are you sending him a slide deck?
6 A It seems like it, yes.
7 Q I'll represent to you that Exhibit 256 is the
8 document that the company identified as the attachment
9 that was provided to PFM in connection with Exhibit
10 255.
11 A Okay.
12 Q Do you --
13 A Should I put this away?
14 Q You can put e-mail aside.
15 Do you recognize Exhibit 256?
16 A Yes, I do.
17 Q What is it?
18 A It seems like a copy of one version of the
19 slide deck that we used in almost most of the meetings
20 that we had.
21 Q And I think we described this process
22 generally yesterday. Was this that sort of slide deck
23 that you -- that was on a shared drive with the company
24 that various people would provide inputs to?
25 A Yes.

0699

1 Q Did you review this deck before providing it
2 to (b)(6); (b)(7)(C)?
3 A No, I did not.
4 Q Did you present parts of this deck to (b)(6);
(b)(7)(C)?
5 (b)(6); (b)(7)(C)?
6 A Yes, I did.
7 Q When did you do that?
8 A I don't remember the exact date, but I think,
9 in January of 2014, I met with them two or three times.
10 And we probably used this deck for discussion in one of
11 those meetings, which I think is being referred here.
12 So my guess is it's before January 7 -- 17, 2014.
13 Q And was something similar to this available
14 for -- did you use something similar to this with other
15 investors in the CT round?
16 A Yeah. I mean, we use this deck for, I would
17 say, or similar deck for all of our meetings that we
18 had.
19 Q I want to turn to page ending in 651.
20 A Okay.
21 Q Do you see this slide entitled "Same Tests a
22 Whole New Approach"?
23 A I do.
24 Q And there's a series of picture here. Can
25 you explain what this is a series of pictures of?

0700

1 A Yes. These pictures are showing a process of
2 collecting a sample from finger stick. And finally one
3 nanotainer at the end.
4 Q The nanotainer is the image on the far right?
5 A Far right, correct.
6 Q And the -- is the CTN the -- the item that's

7 pictured in the image third from the right?

8 A Correct, yes.

9 Q So can you just explain to me how -- what
10 would be transferred from CTN to the nanotainer?

11 A Yeah. So what happens is, there are other
12 steps before that also. You would wick the finger with
13 the alcohol swab, clean it up. And obviously you don't
14 hold the finger like this. But the finger was held
15 using gravity. So people are sitting on the table,
16 but --

17 Q Sorry. It looks like from this picture that
18 the finger is --

19 A Yeah.

20 Q -- faced up?

21 A Faced up.

22 Q But you wouldn't do that in reality?

23 A No, no. It's not the case because gravity is
24 not very happy with it. So you want the finger facing
25 down. A patient would be sitting on a chair. And you

0701

1 will use the pink lancet. This was a lancet, just like
2 you use glucose test. We will puncture the skin. We
3 would also, I mentioned earlier, we would give somebody
4 a bottle of water so they can bleed better. And then
5 we would wick off first drop of blood, depending on the
6 test and the protocol. We would use that CTN that you
7 see in the third bubble to wick the blood into the
8 capillary tubes. Then there was an entrance step where
9 you would basically press the CTN and the blood would
10 go inside the nanotainers, inside the holder. And --
11 and that would be -- and then the -- there were two
12 nanotainers attached to the CTN. Every CTN had two
13 nanotainers. This one is only showing one.

14 Q The -- the line below the picture says,
15 "Theranos runs any test available in central
16 laboratories and processes all sample types."

17 Do you see that?

18 A I do.

19 Q In -- at the end of 2013 or early 2014, was
20 Theranos running any tests available in central
21 laboratories?

22 A So I think there are two answers to that. I
23 believe the answer is true, yes, we were running tests
24 available -- any tests available in central
25 laboratories. And processing all sample types.

0702

1 However, I think I make a broader point here
2 is that a large number of slides in this slide deck are
3 aspirational. We use to just cut and paste and drop
4 from marketing literature. And some of them are
5 physician office -- office presentations. So a lot of
6 the information here was aspirational. And it was, in
7 many cases, never even used ever.

8 But when we started this conversations,

9 usually this deck was running on my computer or there
10 was a separate computer in the conference room where it
11 would run, but I would be manning the computer most of
12 the times. And I would say, here's a slide deck -- I
13 would provide this background basically. It's a slide
14 deck. It has a ton of content. We have collected
15 content from marketing efforts and some of the design
16 work that we're doing for our apps and so on and so
17 forth. So we just want to give you an overview of what
18 we were thinking about. So that's what the slide deck
19 is.

20 Q Do you recall telling any investors that the
21 slide deck included aspirational information?

22 A Absolutely.

23 Q Which investors do you recall?

24 A Every single investor.

25 Q Everyone you met with you told --

0703

1 A Every single -- there's no way we -- this
2 information that I shared with you, it was my routine
3 every single time I would start with a discussion, not
4 just investors, like I said, I used to man the
5 computer. I used to sometimes walk through the slide
6 deck. Of course, there was never ever any meeting in
7 which we walked through all of them. Not even half of
8 them. Some meeting we would not even get to, you know,
9 ten slides.

10 But I would always start by saying there's a
11 lot of stuff here that is -- some of it we're doing.
12 Some of it is aspirational. Some of what shows a
13 revision, but we want to share with you what we're
14 doing. Because the people we were engaged with in
15 these meetings, what all people that we wanted to
16 engage with for a very long term, five years, ten
17 years. So we would -- I would always make sure that I
18 could share that.

19 Q I guess, in just taking a look at this slide,
20 do you see how someone reading a slide could get the
21 impression that Theranos could run any tests available
22 in central laboratories and process all sample types
23 from the finger stick?

24 A No. The reason for that is almost every
25 single slide here requires a voice or an explanation to

0704

1 go along with the voice. And that's the reason we used
2 to use this as a discussion deck. So we could -- some
3 slides have pictures, which don't show you anything,
4 and no words there. You wanted somebody to provide
5 the context. So -- and people that we share this
6 information with, people are very clear about that.

7 Q Okay. Do you recall sharing this particular
8 slide with any investors?

9 A I don't recall any -- any one single
10 investor, but this is part of the slide deck. So

11 sometimes we would actually get the slide deck, but if
12 they had already seen the finger stick process, like a
13 demo, then we would just skip it.

14 Q If this slide deck required sort of a voice
15 over, would there be any reason for Theranos to provide
16 in the materials to investors before any meetings?

17 A Yes. Because we would -- any meetings where
18 we would meet with them, we would walk them over,
19 walk -- walk through the slide deck with them.

20 BY MS. WINKLER:

21 Q But you just told us that you never walked
22 through the whole slide deck with the investors?

23 A Yeah.

24 Q So how are they supposed to decide when they
25 got it, or after your meeting, what was aspirational

0705

1 and what was not?

2 A I mean, if we -- when we were walking through
3 the slide deck, we were actually jumping around and
4 giving them information that they were interested in
5 engaging with. A lot of the slides here are -- they
6 cannot speak for themselves, but they're aspirational.
7 And any time they were not, like I said, we would
8 provide some commentary. But we always provided a
9 slide deck saying -- by saying this is a discussion
10 deck that we will discuss in the meeting. This is what
11 we discussed in the meeting. And sometimes people at
12 the meeting will say, oh, there's a lot of content.
13 There's a lot to go through this. This is very
14 interesting. It shows you plans for the future so give
15 us a slide deck after that.

16 Q So this slide deck that we're looking at
17 here, is anything on there indicate that's it's
18 aspirational?

19 A If you just look at the slide by itself,
20 obviously there's no comment here that says it's
21 aspirational, which is why I said there's always a
22 voice over that goes with the slide.

23 Q So what if you didn't discuss this slide at a
24 particular meeting?

25 A Yeah. Then people just skip it and ignore it

0706

1 and move on.

2 Q And then if investor looked at it after the
3 meeting, it doesn't say it's aspirational so how would
4 they know that?

5 A I mean, our expectation was, because I shared
6 with them that there's a lot of ideas in the future and
7 many of these slides are -- show our ideas, they would
8 reach out and ask us the question if there's something
9 that was very important for them that they wanted to
10 know for sure before they made a partnership decision
11 or any decision.

12 Q Was there ever an occasion where an investor

13 actually asked for additional information and you
14 refused to give it to them, for example, financial
15 information?

16 A It depended -- it depended on the
17 information. It would be possible. But I don't recall
18 exactly which and when.

19 Q So you don't recall any instances where
20 Theranos told an investor that they could not have
21 additional -- a potential investor that they could not
22 have additional information that they requested?

23 A No, I'm not saying that. I said it's
24 possible. I don't recall it, but it wouldn't surprise
25 me if that was the case.

0707

1 BY MR. HABERMEYER:

2 Q Mr. Balwani, I want to ask you a question in
3 follow up.

4 I believe I heard your testimony earlier that
5 this sentence directly under the pictures Theranos runs
6 any test available in central laboratories; is that a
7 true statement as of late 2013 or early 2014?

8 A In my understanding, yes, it was correct.

9 Q So this was not an aspirational statement?

10 A At this point, no, I don't believe so.

11 Q So Theranos was able -- was running, at that
12 point in time, any tests available in central
13 laboratories?

14 A Yeah. I think -- maybe I should clarify.
15 That central laboratories does not necessary mean Quest
16 or LabCorp running 3,000 tests. Central laboratories
17 is a term used -- like hospitals have central
18 laboratories. So they also run tests, but they don't
19 run all 3,000 tests. So this was not meant to mean
20 that we run all 3,000 tests in our laboratories. The
21 point is, there are different types of tests laboratory
22 run, like urine, feces, general chemistry, nucleic acid
23 amplification, infectious diseases. And we don't do
24 those test. That's what it's mainly communicating.

25 Q Did you explain that in these meetings with

0708

1 investors it was not meant to include the 3,000 tests
2 that Quest and LabCorp would offer and instead, just
3 the handful of tests that you just described?

4 A We would talk about how many tests we were
5 running in our lab. And we had only 150, 200 tests in
6 the lab -- in our laboratory. So it's one of those
7 assumptions that -- I don't think I said, oh, by the
8 way, we don't have 3,000 tests we are running. Because
9 if we did, they would be on our menu. They would soon
10 be appearing on our menu, we would be telling people we
11 will have 3,000 tests on our menu in two months.
12 That's not the case. We had 200 tests and that menu
13 stayed fairly static in that range. So I don't -- I
14 didn't say it to somebody, by the way, any tests means

15 3,000 tests. No, I didn't.

16 But also, at the same time, it was extremely
17 simple and obvious to me that nobody is making the
18 assumption that a small company like ours is running
19 3,000 tests, like Quest Diagnostics or LabCorp.

20 BY MR. KOLHATKAR:

21 Q At this time, Theranos's CLIA lab, if it had
22 a test, if it received a sample for a test it couldn't
23 run, it would send it out to a reference lab; is
24 that --

25 A That's correct.

0709

1 Q To like an ARUP, is that --

2 A And UCSF sometimes, and may have other
3 laboratories, but yes.

4 Q If you turn to the page ending in 682.

5 A Okay.

6 Q You see the picture where there's a contrast
7 of a picture of a lab day when it looks like someone is
8 getting a venipuncture test and contrast that, the
9 arrow to Theranos picture with the finger stick. Do
10 you see that?

11 A Yes. So that's not just a venipuncture test.
12 That's also looks like a syringe.

13 Q Oh. What do you mean -- how do you know?

14 A Because we know. I mean, people looked at
15 syringes and done lab tests. They can tell that's a
16 syringe, or not a butterfly, like a traditional lab
17 draw. That's what it is.

18 Q Okay. So -- so what does this pictures
19 represent in your mind?

20 A I think you have to look at the two pictures
21 and -- next to each other. The one after that.
22 Actually, you already have it, I think.

23 Q So this -- just for the record, you're
24 referring to the page ending in 83?

25 A Yes. 683.

0710

1 Q Okay.

2 A So the discussion here is that we're
3 transforming the patient experience. And we talked in
4 one slide about tests that can be performed from finger
5 stick. And obviously people that we were talking to,
6 like (b)(6); (b)(7)(C) and others at PFM, saw that we were not
7 drawing that much blood, we were drawing more blood.
8 But this was to get the point across that we are doing
9 finger stick. Just this one doesn't show a full vial
10 of blood; it just shows the concept. And then this one
11 shows that we also use venipuncture and here's the
12 butterfly needle which is a much better patient
13 experiences that we use.

14 Q I guess -- so looking at the picture above
15 the butterfly needle picture --

16 A Yes.

17 Q -- what's that supposed to represent?

18 A I think it's a snapshot of Quest Diagnostics
19 Laboratory or -- or a traditional laboratory, patient
20 collect center.

21 Q I guess I'm a little confused because it
22 looks like a traditional blood center and then two
23 venous draws there?

24 A Yeah. But one of the venous draw is very
25 different from the other. Just like these are two

0711

1 different slides. This one is showing you a venous --
2 a venipuncture from a different type of vessel. And
3 you have finger stick here. And this one is showing --
4 I mean, if you look at the headline, transforming the
5 patient experience is what it's talking about.

6

7 Q So it's your testimony that the slide ending
8 in 83, transforming a patient experience slide, the
9 picture on the top and the picture on the left refer to
10 the old patient experience and the picture on the right
11 bottom right refers to the Theranos's experience?

12 A Yes. Theranos's venipuncture experience.
13 Because we -- like I said, that's the butterfly that
14 we've been talking about since yesterday -- yesterday
15 and today.

16 Q If you turn to the page ending in 85 --

17 A Okay.

18 Q -- the column on the right says, "Routine
19 specialty and esoteric testing."

20 A Yes.

21 Q Do you see on the left it says, "All 1,000
22 plus currently run tests slash CBT codes are available
23 through Theranos"?

24 A Correct.

25 Q Was that aspirational or current?

0712

1 A I believe at that point, it was current.
2 Because we were -- end of 2013, we had shared this with
3 PFM that we were still sending out tests to ARUP. So
4 we did have the entire menu that we had started out
5 with -- with in 2011. But I think in Q1 of 2014, we
6 deprecated it because the overhead of maintaining the
7 tests that we were sending to ARUP, given the low
8 volume was low. And then later on, we were still -- we
9 were contemplating should we add more tests or not. So
10 at this point, I believe in 2013, it was -- it was
11 correct.

12 Q So I guess in the late 2013, early 2014 time
13 period, Theranos was offering 1,000 plus CBT codes?

14 A Yes. The way it will work was, the answer is
15 yes, we were offering it, but we were not
16 advertising -- advertising that on the menu. On our
17 menu, we had about 200 tests or so. But if a patient
18 showed up, like, the case at Safeway location that we

19 had since 2011, the tests that were not on our menu, we
20 would still collect the sample. Because we didn't want
21 the patient to go away. So we still had those thousand
22 or 800 or 700 tests available from the ARUP menu
23 available to us.

24 Q What about the next bullet, "Theranos runs
25 any test available in its central laboratories," is
0713

1 that aspirational or actual?

2 A I think that's the same comment that I made
3 earlier that -- that we were running any type of test
4 in the central laboratory.

5 Q So in other words, this wasn't an
6 aspirational statement at that time?

7 A That's correct. That's my understanding.

8 Q If you look at the page ending in 98.

9

10 A Yes.

11 Q I think we reviewed an earlier version of the
12 slide in connection in an earlier exhibit. Do you
13 recall that?

14 A I'm sorry, which -- which one?

15 Q Validation of Theranos.

16 A We saw this one before.

17 Q Something similar to this before, do you
18 recall seeing that yesterday?

19 A Actually, I've seen a lot of stuff. Sorry.

20 Q It's fine. My statement had no real use.

21 A Okay.

22 Q I'll move on. Do you see the sentence there,
23 the third paragraph in, "Lab infrastructure is
24 validated under FDA, ICH and World Health Organization
25 guidelines." Do you see that?

0714

1 A Yes.

2 Q As of the end of 2013, start of 2014, was
3 that a true statement?

4 A You know, I was not familiar with the
5 chemistry side and R&D side and lab side of the
6 picture. So I don't know if that was true or not.
7 This was not my expertise. So I would not be able to
8 make a firm statement.

9 Q Who -- whose expertise was it?

10 A I think there were different people in the
11 chemistry department who would know more about it. The
12 team leads that we talked about yesterday, six or seven
13 people, that I mentioned yesterday, they would know
14 what guidelines they were following in the chemistry
15 element process. I was not involved with that. I
16 didn't have the expertise.

17 Q Did any of the team leads ever meet with any
18 investors?

19 A No. I don't -- I mean, I think when I had
20 taken (b)(6); (b)(7)(C) for a tour of our lab, he may have

21 seen people and he may -- he may have introduced
22 people, but he didn't meet with them in a meeting, just
23 like a handshake or a hi.

24 Q Did you ever bring any of those team leads to
25 discuss this slide when going through the slide deck
0715

1 with potential investors?

2 A I don't know because I think this lab
3 infrastructure is validated, has been there since 2010,
4 and before. We saw that somewhere else also. So this
5 was the company's -- these guidelines -- guidelines,
6 what I think in place already when I joined the
7 company. So -- so it's possible some of the lead --
8 team leads met with investors back then in 2010 or '11
9 or before, but not in recent days, not in recent years.

10
11 Q Between you and Ms. Holmes who would be the
12 person more capable of describing the validation of
13 Theranos systems under the guidelines listed here?

14 A Which sentence? I think there are a lot of
15 different things here.

16 Q Just that same third sentence.

17 A Yeah. The lab infrastructure is validated.
18 She would -- back in 2010, she had more knowledge about
19 this. I don't know if she still was plugged into this
20 or not. But like I said, there were other team leads
21 and chemistry who would know this for sure.

22 Q I guess. But between the two of you, who
23 would know more about it at the end of 2013, start of
24 2014?

25 A I mean, I assume they didn't. But I wouldn't
0716

1 guess if she knew or not.

2 Q Turn to the next page, the page ending 700,
3 there's a page called "Products." What is this -- what
4 is this slide used to explain?

5 A I think it give a flavor of some of the
6 products that we had worked on or some of the products
7 that were available.

8 Q You mean, you think this slide was just to
9 give a flavor of those products or to actually describe
10 the products that Theranos had?

11 A No. This is certainly not -- doesn't look
12 comprehensive. Because a lot of things here are -- are
13 not mentioned, like ton of software that we wrote is
14 not mentioned here and --

15 Q What else?

16 A We also don't have any mention of 3X devices
17 here. It only talks about miniLab -- miniLab and 4S.
18 We would obviously not talk about our modified devices,
19 but --

20 Q Why wouldn't you talk about your unmodified
21 devices here?

22 A Why wouldn't we? Because we would bind them

23 commercially. They were not necessarily inventions or
24 products that we were developing in-house, would be
25 my -- my guess.

0717

1 Q Did you ever tell any investors that Theranos
2 was vertically integrated?

3 A That's the term we used, yes.

4 Q Was Theranos vertically integrated in 2013?

5 A That was a revision that were -- I mean, we
6 were already doing a lot of pieces. Vertical
7 integration is an open-ended stack. You can never be
8 completely vertically integrated. For example, in our
9 TSPU, we used to buy micro processes from Intel. So
10 clearly not full vertical integration. But the point
11 here was, and our vision was, that we want to
12 vertically integrate and we want to talk about this
13 with the investors, that we were probably more
14 vertically integrated than any kind of company we knew,
15 and our vision was to be even deeper -- have deeper
16 integration.

17 Q And part of that discussion of integration,
18 did you explain that Theranos manufactured its analyzer
19 devices?

20 A Yes.

21 Q As part of the that conversation, did you
22 explain that Theranos also purchased commercially
23 available machines?

24 A Depending on the conversation. But in some
25 cases, yes, that would come up.

0718

1 Q Do you recall any instances where it came up?

2 A I don't recall, but it's kind of an
3 uninteresting detail that I probably wouldn't remember
4 either.

5 Q If you look at the next page, there's an
6 overview of Theranos's systems. Do you see that?

7 A Yes.

8 Q What are the devices on the top left picture
9 here?

10 A This is our, I think, 4X and 3.X device.

11 Q The one on the left is?

12 A Sorry. The one on the left is 3.X, the one
13 on the right is one variation of 4.X device.

14 Q What variation is that?

15 A I don't recall the code name or the version
16 number, but it's a 4 -- 4X series device. I cannot
17 from picture exactly what it is, but I know it's a 4
18 series device.

19 Q Again, this is an overview of Theranos's
20 systems, right?

21 A Yes.

22 Q I think earlier we were talking about, you
23 know, the use of an analytical systems, analyzer,
24 devices, do you remember that, just discussion

25 generally?

0719

1 A Yes.

2 Q Would Theranos's systems include more than
3 the items that are sort of in that box there?

4 A Probably, yes.

5 Q What else would it include?

6 A We also had our own reagent and antibodies
7 that we were manufacturing. So that would be included
8 over here. Obviously I think I already mentioned that
9 in addition to this decision support system, we also
10 had a lot of software that we were using that would be
11 mentioned here. This is actually 2013, right? This is
12 the --

13 Q I think the date of the cover e-mail is early
14 2014.

15 A Yeah. And obviously the CTNs are not
16 mentioned here so I would say CTNs.

17 Q Anything else?

18 A Probably other things, but that's what comes
19 to my mind top of my head.

20 Q Turn to pages ending in 710, slide called
21 "Theranos Proprietary Test." Do you see that?

22 A Yes, I do.

23 Q Do you see that the first number there is
24 routine specialty and esoteric tests?

25 A Yes, I do.

0720

1 Q At the time, did Theranos provide routine
2 specialty and esoteric tests?

3 A Through a CLIA lab, yes.

4 Q What do you mean by through the CLIA lab --
5 CLIA lab?

6 A That these tests were on our menu.

7 Q Including some that we sent out to ARUP,
8 right?

9 A No. From -- from what I remember, we were
10 also doing specialty and esoteric testing labs.

11 Q How was Theranos conducting those esoteric
12 tests?

13 A I mean, I will have to look at test-by-test
14 basis, but some were probably conducted using our
15 technology on the modified devices. If they were
16 considered esoteric or specialty, and some were being
17 conducted using venipuncture.

18 Q And so for those ones that were being
19 conducted on venipuncture and run on nonmodified
20 devices, how were they Theranos proprietary tests?

21 A Then my -- again, this slide would not be
22 referring to that, this slide would be referring to the
23 fact that we are capable of doing routine specialty and
24 esoteric tests using Theranos's proprietary technology.

25 Q I guess did you -- did you consider

0721

1 venipuncture run on a commercially available machine
2 unmodified to be a Theranos proprietary test?

3 A No.

4 Q Did you explain that the routine specialty
5 and esoteric tests, then, may have referred to more
6 than Theranos's proprietary tests?

7 A Depended on the discussion. But if the
8 discussion was that can we do using our technologies,
9 these tests, I think the answer would have been yes,
10 and we probably -- I don't know exactly which test
11 falls into specialty and esoteric category, but I know
12 some tests that we were doing using -- using our
13 technology did fall into this categories. So the
14 answer is, yes, we would say our technology is capable
15 of doing this test.

16 Q Okay. If you want to turn to the page ending
17 in 29 -- I should say 5 -- or 729. And this is -- this
18 is a reference to Theranos cost savings; is that right?

19 A Yes.

20 Q I just want to go through these and you can
21 tell me whether these were actual at the time or
22 aspirational at the time. So how about that first
23 bullet, was that aspirational or actual at the time?

24 A This was aspirational.

25 Q What about the second?

0722

1 A Aspirational.

2 Q Third?

3 A Aspirational.

4 Q The fourth?

5 A Aspirational.

6 Q The fifth?

7 A Aspirational.

8 Q And the last one?

9 A Aspirational.

10 Q So did Theranos, in terms of actual
11 capabilities at the start of 2014, what were Theranos's
12 actual cost savings that were available?

13 A Cost savings to -- to the consumers or cost
14 savings to Theranos itself?

15 Q To Theranos itself. Or the consumer. I
16 mean, the consumer would just be the pricing, right?

17 A Yes.

18 Q Okay.

19 A So that's -- so -- sorry. I didn't mean to
20 interrupt.

21 Q What about Theranos internally?

22 A I don't think this is talking about the
23 Theranos internally, which is why I wanted to clarify
24 this. This is saying how Theranos envisions a fully
25 loaded cost saving and I can walk you through all five

0723

1 and explain how we envisioned it.

2 Q Okay. I guess why don't we look at the

3 fifth -- fifth or six bullet point that says, "The
4 unprecedented lack of variation from system to system
5 yields higher integrity data and longitudinal
6 trending." Do you see that?

7 A Yes. Yes.

8 Q At the time, did Theranos's SPU create an
9 unprecedented lack of variation from system to system?

10 A I would say no. But I also, like I said
11 earlier, we were not representing that either. This
12 slide was talking about the future and the discussion
13 around that would be that this capability would allow
14 us to do what we were talking about here.

15 BY MS. WINKLER:

16 Q So why doesn't this slide say Theranos's
17 future cost savings?

18 A Yeah. I mean, I think what happened was,
19 because a lot of people were just dragging and dropping
20 slides here, nobody actually went and edited it and
21 fixed the tenses because the assumption was, you're
22 always talking through the slide. And people who were
23 present knew, for example, that realtime and ER and
24 hospital reduces bed stays and cost. That's true. Are
25 we doing that, are we in ER and -- and hospital?

0724

1 Obviously the answer was no.

2 So when we met with hospital partners or
3 any -- any strategic partner, we would talk about these
4 in vision statements. I don't recall what was the
5 original source of this, but I know a lot of the
6 information was just cut and paste and drag and drop
7 into the slide deck.

8 Q So as we sit here today, can you tell me that
9 you told every potential investor that Theranos's
10 systems aren't in physicians' offices at that time?

11 A I wouldn't -- I -- I didn't say to investors
12 that we were not, but we were very clear and we never
13 said we were in physicians' offices. Because it was a
14 vision and aspirational that we used to talk about in
15 the meetings. If we were in physician's office, that
16 would be a major discussion point in our discussions.

17 Q But did you tell any -- did you tell all the
18 investors that you talked to that Theranos's systems
19 were not in physicians' offices at the time you gave
20 the presentations?

21 A I think I answered the question. The answer
22 is, no. However, I said we also didn't tell people we
23 were in physicians' offices because the people we were
24 engaging with, the lab partners, the hospital partners,
25 and the investors were sophisticated because we were --

0725

1 when we would say, ultimately, when we are in
2 physicians' offices, this is what will happen. We
3 never said, oh, by the way, we are in that physician's
4 office and this is what we're doing. That is not the

5 case. We were talking about aspirational.

6 BY MR. KOLHATKAR:

7 Q You mentioned something in an answer a minute
8 ago that you didn't correct necessarily past tense or
9 present tense, didn't have someone go through the slide
10 deck and correct for that.

11 Did Theranos sometimes confuse past, present
12 and future tense in discussion with its investors?

13 A No. And I wish I had gone through this, and
14 I regret that I hadn't paid attention to that, but the
15 answer is no, we were not confusing. It's just that,
16 literally, people were just dropping slides and cutting
17 and pasting into these things. There were too many
18 hands into this thing, the slide deck. And the core
19 assumption was this was a discussion deck. And we are
20 always walking people through this because, even by
21 itself, if you go through a large number of slides, the
22 right audience, if this was given to a completely
23 uneducated person, it would be different. But we were
24 always accompanying this with providing details,
25 providing context. And almost every single time, this

0726

1 was being used either by me or Elizabeth in meetings
2 that we were having with people with whom we were
3 sharing our vision, and where we wanted to take this
4 company in the next five or ten years.

5 Q Did you understand that Elizabeth Holmes
6 could distinguish between the present and future tense?

7 A Yes. I'm pretty sure she could.

8 BY MS. CHAN:

9 Q Turn to page ending 733, there's a section of
10 the presentation that's titled "Clinical Data."

11 A Yes.

12 Q Do you see that? And then if you flip
13 through that section, which is a very large section of
14 the presentation, there are a number of correlation
15 graphs, it seems like.

16 A Yes.

17 Q So for instance, if you go to 780.

18 A Progesterone?

19 Q Yes.

20 A Yes.

21 Q It looks like there is a graph for
22 progesterone. What is this correlation that the graph
23 is trying to show?

24 A So again, this is -- I'm going to be out of
25 my league on the science side. But what this shows is

0727

1 that how does a Theranos assay for progesterone
2 correlate with a progesterone assay most likely made by
3 manufacturer call IBL. If you recall, I talked, either
4 yesterday or today, about when you develop an assay,
5 you need a predicate method to show that you're
6 performing within a certain range of the predicate

7 method. It's never hundred percent.

8 If you're a hundred percent, then the R
9 square, the blue would be .99 or 1.0 to be perfect.

10 And if you're not perfect, then the R square goes down.

11 And if you're missing points on the edges, means the
12 very low and very high values, again, the R square
13 shrinks. So many things impact the R square value, but
14 this graph shows how tightly you correlate with
15 something else.

16 Q So in other words, it's comparing the
17 Theranos assay with the same assay on a reference
18 method?

19 A No. It's -- it's comparing Theranos assay
20 with the assay that was developed by the reference
21 method, by whoever the FDA cleared assay. So not our
22 assay running on some -- some third-party device. It
23 will be, for example, in this case, IBL made the
24 machine, if they had a machine, and made the assay.
25 FDA cleared, most likely. And we are comparing to

0728

1 that.

2 Q Okay. So the progesterone test and the
3 results of that test being run on the Theranos method
4 versus a reference method?

5 A I'm sorry I'm being --

6 Q Is that it?

7 A -- I'm being a stickler to this, but I want
8 to be accurate. Based on my understanding, it's not
9 necessarily method. It's assay. So method is more of
10 a scientific concept, like you have a method, ELISA is
11 a method. Here what we're saying is there is an assay
12 for progesterone assay. And you run -- so if I draw
13 blood from you, let's say -- this is a female hormone.
14 And if you draw blood from -- if I draw blood from you
15 and I run 15 samples on Theranos assay, Theranos
16 chemistry, and I run the same exact samples on IBL
17 machine using the assay developed by IBL, then this is
18 the result we get. This is how we plot.

19 Q And with respect to the Theranos assay, what
20 devices is that assay being run on?

21 A I don't know what device. Device is not
22 mentioned here. It may not be device. It may be
23 performance off of our chemistry. Pure chemistry in
24 some cases, it may be devices. But mostly these are
25 performances of our chemistries.

0729

1 BY MR. KOLHATKAR:

2 Q You mean like on the bench?

3 A Yes. In R&D, yes.

4 BY MS. CHAN:

5 Q Okay. So -- so I guess I don't really
6 understand. So is the device being used --

7 A In some --

8 Q -- to conduct these tests?

9 A In some cases, the device is being used. In
10 most of the cases, my understanding is these are
11 performance level -- raw chemistry performance and how
12 good our chemistry is performing.

13 Q Okay. And so where it's -- where it's
14 actually Theranos versus something else, it's just the
15 Theranos chemistries. And how -- how are the
16 chemistries run, are they either run manually or
17 through a machine, right?

18 A Yeah. So what happens if -- if you look at
19 Theranos TSPU, one of the big innovations in the TSPU
20 is we mimic what a human does on a bench. So, for
21 example, if a human takes a sample blood, puts into one
22 tube, mixes into third tube, takes a reagent, puts in
23 the first tube, washes it, incubates it for 30 minutes
24 whatever the protocol is, that's the protocol.

25 When you do that in the machine, the machine
0730

1 does it exactly the same way as a human does it on the
2 bench. It's the same -- same protocol. Now,
3 obviously, the temperature conditions are different so
4 we optimize them. So when you, in our case, when we
5 develop an assay on the bench, means we also have
6 developed the protocol for the -- for the -- on the
7 bench. The final step at that point is to transport
8 all the device, if we had to, in most cases using
9 same -- same a way close to the same protocol.

10 But the bulk of the work, the hard work, is
11 already done in developing the assay because you have
12 to find the reagents, the antibodies, and perform --
13 and develop the assay like the chemist do.

14 Q So in some cases, when you're running the
15 Theranos assay, is it being done on just regular
16 commercially available machines?

17 A No. In most cases, I would say it's probably
18 not running on any machines. But in this point, even
19 if it is, in some cases, I think some nucleic acid
20 amplification tests, they run on a very
21 generic machine. Think about like Intel CPU, there's
22 some machines for nucleic acid amplification tests.
23 They act like Intel CPU. Everybody uses them for
24 development. But the key thing, when you're developing
25 a nucleic acid amplification test, is to develop the

0731
1 reagent sequence so you can develop the right test.

2 So basically developing chemistry properly is
3 the biggest step in many assays. In some assays that's
4 not the case. But in most cases -- assays, that's the
5 case, in my understanding again from having worked with
6 chemists.

7 Q Did you ever explain to potential investors
8 that these correlation charts are actually comparing
9 Theranos assay against reference assays and not
10 Theranos devices against reference devices?

11 A Yes. Anytime we talked about a -- a data, we
12 always say we want to share with you our assay
13 performances. We never said does the performance of,
14 you know, an entire stack, including software, CTNs.
15 In some cases, CTNs may not be involved. We used to
16 always focus on saying is the performance of our assays
17 compared to predicate methods.

18 Q Who did you share that with?

19 A Anytime anybody wanted to walk through the
20 details, and most of the time, it would be people who
21 are familiar with the assay development process or
22 experts, like for example, PFM, they had at least one
23 guy, I think (b)(6); (b)(7)(C) that I mentioned earlier, (b)(6); (b)(7)(C)
24 (b)(6); (b)(7)(C) So he would be
25 the kind of guy who would be interested in going

0732

1 through this and he would share with us.

2 Q So you told (b)(6); (b)(7)(C) from PFM that this was
3 showing Theranos' assays versus reference assays --

4 A That's correct.

5 Q -- and not Theranos' devices versus reference
6 devices?

7 A Correct. Yeah. We didn't use to -- use
8 device names, so I would say -- I don't -- let me
9 actually backtrack.

10 I don't remember exactly what word -- what I
11 said, but if he had the conversation with Alexei on
12 assays, then we would always say this is the
13 performance of our assays versus predicate method.

14 Q So you don't remember having a conversation
15 with him?

16 A I don't remember an exact conversation with
17 him.

18 BY MR. KOLHATKAR:

19 Q I'm going to hand you a couple of documents.

20 A You want me to put this away?

21 Q Sure. Thank you.

22 (SEC Exhibit Nos. 257 through
23 259 were marked for
24 identification.)

25 BY MR. KOLHATKAR:

0733

1 Q First I'll hand you 257. And I start this
2 process while you're rubber banding that one. Hand you
3 258 the same time, and 259 as well. Just while you
4 review these.

5 A You want me to go through all three of them?

6 Q Let me read them for the record and we can go
7 through them one by one. Exhibit 257 is a document
8 Bates stamped THPFM0000868711. Exhibit 258 is a
9 document Bates stamped THPFM0000868708. And 259 is a
10 document Bates stamped THPFM0000878985.

11 Why don't we start, do you recognize Exhibit
12 257?

13 A I do.

14 Q What is 2 -- Exhibit 257?

15 A It's e-mail chain between myself and [b)(6); (b)(7)(C)]

16 [b)(6); (b)(7)(C)] and then I forwarded a draft of that, my
17 response to -- to Ms. Holmes.

18 Q And then the second one, Exhibit 258, do you
19 recognize Exhibit 258?

20 A Yes. That seems like an e-mail response from
21 her, from Elizabeth Holmes to me.

22 Q And then is the top e-mail chain another
23 draft to her, still on 258 here?

24 A Yes. I mean it's a draft from -- yes, I
25 agree. That's still my e-mail to her.

0734

1 Q And 259, does that look like you forwarding
2 another message to her?

3 A It seems like it, yes.

4 Q Just -- just to go through the timestamps
5 here, it looks like the first one is -- 257 is time
6 stamped Wednesday -- Wednesday January 22nd at 3:50
7 p.m.

8 Do you see that?

9 A Yes, I do.

10 Q 258 is time stamped Thursday, January 23rd at
11 12:51 a.m.

12 A I do.

13 Q And 259 is time stamped same day at 1:02 a.m.
14 Do you see that?

15 A I do.

16 Q What do you understand these documents to be?

17 A It seems like it's an e-mail response that
18 I'm going to send to [b)(6); (b)(7)(C)]. And I'm sending her
19 drafts to get her feedback or -- or maybe I was talking
20 to her when I was going to her office and she would
21 give me something to add or remove and I would based on
22 our conversation.

23 Q In other words, you're discussing with Ms.
24 Holmes what information you will provide [b)(6); (b)(7)(C)]?

25 A In the e-mail this is what I'm doing, yes.

0735

1 Q And -- and that potentially accompanied by
2 in-person conversations with her?

3 A Potentially, yes.

4 Q Do you recall having in-person conversations
5 with Ms. Holmes about this e-mail thread?

6 A I don't recall.

7 Q I want to focus your attention to Exhibit 257
8 in the second long paragraph there, with -- speaking
9 with.

10 A Yes.

11 Q It says, "Speaking with you at HG won't be
12 possible, unfortunately, as that will be a negative for
13 our relationship given where we are."

14 A Yes.

15 Q What were you -- what were you proposing to
16 tell Mr. Grossman here?

17 A So if I recall correctly, (b)(6); (b)(7)(C) had
18 asked us to speak with USG, United Healthcare and
19 Walgreens. And I had discussed with Elizabeth that we
20 didn't think it was necessary. We were not -- we
21 didn't want to take a favor from either Walgreens or
22 United Health. And so this is what I was basically
23 referring to.

24 Q The next sentence, it says, "We can walk you
25 through our R&D lab where we use a large number of our
0736

1 devices, if that becomes the last remaining item on the
2 list that you" -- "that you check off. Ideally, it
3 would be just yourself and you can confirm that you had
4 Hep C shots administered on you, as this is a BSL-2
5 facility, but we can work through this."

6 Do you see that?

7 A I do.

8 Q What -- what are you responding to here?

9 A I think he had sent me an e-mail. If you
10 look at the last line here, "Lastly, we would like to
11 see the lab analyzing any action, if that's possible."
12 And somewhere in here I think he had said just a
13 checklist item. I forgot where it was. I was just
14 repeating his -- his comment here. So this is what I'm
15 responding to.

16 Q Okay. And turn to the next page.

17 A Same e-mail?

18 Q I'm sorry. To the next document, Exhibit
19 258.

20 A Should I put this away, the first one?

21 Q You know, it might be helpful to keep it out.

22 A Okay.

23 Q The -- again, the last e-mail in the chain,
24 this is from you to Ms. Holmes at 12:51 a.m. on January
25 23rd, it says, "If walking through our lab becomes the
0737

1 last remaining item on the list, then we can walk one
2 person, perhaps yourself, through our BSL R&D lab where
3 we use a large number of our devices."

4 Do you see that?

5 A I do.

6 Q In your mind, what was the reason for the
7 proposed change in language?

8 A I don't remember. It looks pretty similar to
9 me.

10 Q Do you know why you were sending another
11 version of this to Ms. Holmes?

12 A I may have reflected on what I wanted to do
13 with him. I don't remember why I'm sending another
14 version. Sometimes I would think and send something
15 and then I will come back and think that maybe my
16 previous version was not good. I'll modify and send it

17 again. But I don't know what's going on over here.

18 Q Turning to the last exhibit, Exhibit 259.

19 Again, this is another draft. It looks like this one
20 is from Ms. Holmes to you. There's no message at the
21 top.

22 A Okay.

23 Q Is it possible that she's editing your
24 message below?

25 A It's possible. I cannot tell what she

0738

1 edited.

2 Q Okay. Why don't we look at the -- that same
3 sentence. "If walking through our lab becomes the last
4 remaining on the list, then we can walk one person,
5 perhaps yourself, through our BSL-2 lab where we are
6 running banks of our devices."

7 A Yes.

8 Q Do you see that?

9 A Yes.

10 Q Do you -- do you know if that's an edit you
11 made or she made?

12 A I wouldn't be able to tell.

13 Q Did it appear in this version, R&D has been
14 removed; is that right?

15 A Yes.

16 Q Did (b)(6); (b)(7)(C) understand the BSL-2 lab
17 meant the R&D lab?

18 A No. The BSL-2 is a designation for a lab.
19 It could be R&D or CLIA. BSL-2 is just saying what is
20 the safety level of the lab. It doesn't have to do
21 anything with R&D or CLIA.

22 Q What about banks of our devices, do you have
23 any idea why large number of our devices change to
24 banks of our devices?

25 A She liked using this term because of the

0739

1 comment I had made earlier that we used to call our
2 devices as racks and blades. So in data center, we
3 usually use the banks of blades. So I think she was
4 just refining the term. There was nothing else that's
5 different between these two.

6 Q Did you have any concern that by removing the
7 reference to R&D, you were giving the impression that
8 you -- that you were using banks of devices beyond the
9 R&D setting?

10 A No.

11 Q Why not?

12 A Because when I walked (b)(6); (b)(7)(C) to the
13 lab, I told him explicitly, the conversation we had was
14 R&D lab. And I walked him through the lab. I
15 introduced him to people or the -- I told him this is
16 where we're doing nucleic acid amplification test, and
17 I pointed out to him where do development of different
18 chemistries.

19 Q Okay. So but do you remember when that tour
20 took place?

21 A Yeah.

22 Q Sometime after this?

23 A It must have been after this, but I remember
24 the tour.

25 Q Did Theranos ever use banks of its devices in
0740

1 its CLIA lab?

2 A Yes.

3 Q Which one? Which devices?

4 A 3.0s, 3.5s. Basically what happened was, if
5 you put -- we had a removable rack where you can just
6 put devices. And it had a big power brick at the back.
7 You could just move the entire trolley back and forth.
8 And we were using those in R&D and CLIA, both places.

9 Q So at this time, January 2014, where was the
10 R&D lab located?

11 A In Palo -- 1601 Palo Alto.

12 Q Where was the CLIA lab?

13 A 1601 Palo Alto.

14 Q Was the Newark facility open at this time?

15 A We had a Newark facility open. We were doing
16 manufacturing. I'm not sure if we were doing CLIA lab
17 over there or not. I'm not sure if the CLIA had moved
18 there or not.

19 Q Okay. So was part of the CLIA lab in Palo
20 Alto then, or you're -- you're not sure where it was
21 exactly at this time?

22 A I wasn't sure exactly -- I wasn't sure
23 exactly where it was at the time.

24 MR. KOLHATKAR: Take a quick break at 5:21
25 p.m.

0741

1 THE VIDEOGRAPHER: Off the record.

2 (A brief recess was taken.)

3 MR. KOLHATKAR: Back on the record at 5:32
4 p.m.

5 BY MR. KOLHATKAR:

6 Q Mr. Balwani, just to confirm, you didn't have
7 any substantive discussions with the staff during the
8 break; is that correct?

9 A That is correct.

10 Q In the 2013 time frame, did you tell PFM that
11 Theranos had validated 1,000 CPT codes?

12 A No.

13 Q Did you tell PFM or anyone from PFM that
14 Theranos was vertic -- vertically integrated with
15 respect to its analyzers and chemicals?

16 A With respect to analyzers and -- I think I
17 answered that question earlier. It's a very broad
18 statement. If you include microprocessors and
19 ingredients into chemistry like salt, the answer is no.
20 But in general, the fact that we were manufacturing our

21 own devices, and reagents and chemistry, the answer
22 would be yes.

23 Q Theranos wasn't vertically integrated with
24 respect to the third-party commercial analyzers?

25 A No, of course not.

0742

1 Q Did you ever hear Elizabeth Holmes say that
2 Theranos had -- had developed and validated 1,000 CPT
3 codes?

4 A No.

5 Q Did you ever tell anyone from PFM that --
6 that Theranos had been operating on funds from
7 contracts since 2006?

8 A No.

9 Q Did you ever hear Elizabeth Holmes say
10 something like that?

11 A I don't recall.

12 Q Did you -- did you tell anyone from PFM that
13 the Theranos platform can do 1,000 to 1,050 CPT codes?

14 A No.

15 Q Did you tell them you had a tech solution to
16 1300 CPT codes?

17 A I don't recall that one.

18 Q Do you recall Ms. Holmes saying anything like
19 those last two statements?

20 A I don't recall.

21 Q Did you tell anyone from PFM that traditional
22 labs need large buildings, labor and equipment, and
23 that Theranos can do same in 200 square feet?

24 A If that -- I don't remember saying that, but
25 I think I answered earlier that if we were talking in

0743

1 the context of our devices, then we used to -- we used
2 as an example, but I don't know if I said that to PFM
3 or not.

4 Q And, you know, I'm just going to try and run
5 through some statements whether or not you made them,
6 I'm going to ask you not to speculate. But just if you
7 have a memory, yes or no or you don't remember --

8 A I understand.

9 Q -- I'd appreciate it. If there's an
10 explanation, obviously give it, I just --

11 A Yes.

12 Q I don't want you to speculate about what you
13 might have said.

14 A I appreciate that.

15 Q Did you say that Phase 1 involved providing
16 any test with a drop of blood?

17 A No.

18 Q Did you ever hear Elizabeth Holmes say
19 something like that?

20 A No.

21 Q Did you say -- did you tell PFM that Theranos
22 wanted ten patients per day in its Walgreens stores?

23 A Without context, I would say I don't remember
24 or --

25 Q Did you hear Elizabeth Holmes use ten
0744

1 patients per day as a goal?

2 A I don't think -- I don't recall. I don't
3 think so.

4 Q Did you tell PFM that Theranos' is break-even
5 point would be to have 15 patients per day per store?

6 A We may have discussed that. But again, there
7 would be context around it. But I don't remember
8 specifically if I use exactly that sentence.

9 Q I guess what would be the important context
10 around that break-even number?

11 A If in the context of a model we were looking
12 at what we thought was break even and what volume,
13 those are -- like I said, with PFM, I used to have kind
14 of brainstorming discussions. So it's possible that I
15 probably said under this model -- this scenario is
16 about 15 patients a day.

17 Q Okay. In -- after the PFM invested, you
18 continue to have conversations with PFM; is that
19 correct?

20 A Yes.

21 Q Was that primarily with (b)(6); (b)(7)(C)?

22 A Primarily, yes.

23 Q Generally, what were the purpose of those
24 calls or meetings?

25 A (b)(6); (b)(7)(C) used to call. And he wanted to
0745

1 meet and catch up. I always thought they were, you
2 know, relationship-building meetings so that was the
3 gist of it.

4 Q In July of 2014, did you tell (b)(6); (b)(7)(C)
5 that the company was performing 70 to 75 percent of
6 its tests at Walgreens on finger stick?

7 A Was performing? I don't recall saying that.

8 Q Did you tell (b)(6); (b)(7)(C) that the company
9 was capable of performing 70 to 75 percent of this
10 tests at Walgreens on finger stick?

11 A I don't recall specifically. It's possible
12 that I said that, but I don't recall specifically.

13 Q Would that be a true statement at that time?

14 A The number probably was even higher, but I
15 would say, yes, that would be a true statement.

16 Q Is that because, again, we talk about those
17 bordering patterns yesterday.

18 A Correct.

19 Q So in other words, Theranos could do 70 to 75
20 percent of finger stick at the time, but may not
21 actually because of the --

22 A Ordering patterns, yes, correct.

23 Q Or volume, other the reasons you gave?

24 A Example I gave of 40 patients, yes.

25 Q Did you tell [REDACTED] in July of 2014,
0746

1 that Theranos needed to have nine to ten patients per
2 day before Walgreens would roll out to other locations?

3 A I don't recall. I don't recall the context
4 either.

5 Q Did you tell [REDACTED] that -- that you
6 would need to have finger stick on nine out of ten
7 patients at Walgreens before they roll out to other
8 location?

9 A That was actually -- I don't know if I recall
10 I said it to him or not. At one point, that was our
11 internal goal.

12 Q When was that your internal goal?

13 A In the first half of 2014. I think I also
14 shared with you the example of scaling at Walgreens
15 around finger sticks and sending the venipuncture
16 patients in 24-hour stores. So that's what -- what our
17 internal goal and that's what I was referring to.

18 Q Did you tell -- did you tell [REDACTED] in
19 September of 2014, that Theranos was -- that -- that
20 Walgreens had committed to 500 stores in fiscal year
21 2015?

22 A Walgreens had committed? I don't recall the
23 exact words. But again, I would like to see the
24 context of what that was.

25 Q Did you tell [REDACTED], in September 2014,
0747

1 that Theranos was close to break even?

2 A No.

3 Q Would that have been true at the time?

4 A No. Again, with that explanation that I gave
5 earlier, if you include the innovation payment then
6 maybe, but otherwise not.

7 Q Right.

8 So other than that sort of one payment that
9 you -- set aside a deferred revenue that you were
10 unsure how it was going to be treated, it would --

11 A Correct, yes. Because I think [REDACTED]
12 knew we were in 40 stores, we just launched the 41st
13 store in September 2014.

14 Q Do you recall having conversations with
15 individuals from BDT Capital in September or October of
16 2014?

17 A Yes. I think we talked about that earlier.

18 Q Did you tell anyone from BDT that Theranos's
19 machine costs 30 to 45 -- 35 to \$40,000 compared to a
20 million dollars for their competitor suppliers?

21 A There's a greater context behind that. If I
22 made that statement, there's an explanation behind it.

23 Q Why don't -- do you recall making that
24 statement?

25 A I don't recall it.

0748

1 Q What was -- what was the greater explanation
2 of that statement be?

3 A We used to compare the capability of our
4 device and what are the different tests we can do. And
5 so if you were to assemble the lab to be able to do a
6 test that we were able to do on our device, the cost
7 would be much higher. But I don't think I said
8 million. It may have been target number, like, it'd be
9 huge like a million dollars or something like that.

10 Q Were there other numbers that you used
11 rhetorically when you were talking to BDT or other
12 potential investors?

13 A I don't recall. But -- sorry. I think -- I
14 don't remember if I said million dollars or not, but I
15 would say if you were to assemble the lab with all
16 these equipments, it would cost a lot more money.

17 Q Was that an aspirational statement or a
18 factual statement at the time?

19 A I believe that to be a factual statement.

20 Q Did you tell anyone from BDT that all --

21 A Sorry. If I made the statement, it would be
22 factual, yeah.

23 Q Did you tell BDT that Theranos can run all
24 their blood tests on one machine using the chemicals at
25 one consumable cartridge?

0749

1 A Run all of their tests.

2 Q All of Theranos' tests on one machine using
3 one consumable cartridge?

4 A No.

5 Q Would that have been an accurate statement at
6 the time?

7 A Without additional context, I would say no.

8 Q Did you tell BDT that Theranos was vertically
9 integrated?

10 A It will be the similar answer that I gave
11 earlier, that if you are assuming that we're talking
12 about the big components, the chemistry binders, some
13 of key reagents, device, manufacturing of the device,
14 consumerables, the CTN, the answer would be yes. But
15 if you include the smallest possible thing, like salt
16 and CPUs and other things, the answer would be no.

17 Q Did you tell anyone from BDT that --

18 A Sorry. I don't recall.

19 Q Did you tell anyone from BDT that Theranos
20 buys aluminum, plastic and then develops the hardware
21 from there?

22 A I don't recall saying that to BDT.

23 Q Did you ever hear Elizabeth Holmes say
24 something like that?

25 A To BDT? I don't recall.

0750

1 Q Do you recall her saying that to any other
2 investors?

3 A I don't recall saying to any other investors.

4 Q In October 2014, was Theranos trying to get a
5 government contract for Ebola testing?

6 A I don't know if we were trying to get a
7 government contract. I think we had submitted our
8 Ebola assay to FDA for EUA, emergency use authorization
9 clearance, but I don't recall if there was a contract
10 that was entered into or not.

11 Q Did you ever tell BDT, or any prospective
12 investors, that Theranos is about to sign \$120 million
13 contract for Ebola?

14 A With who?

15 Q With the government.

16 A No. I don't recall saying that.

17 Q Would that have been a true statement at the
18 time?

19 A I don't recall. I mean I -- I don't think
20 so.

21 Q Do you recall Elizabeth Holmes ever
22 describing a potential government contract for Ebola
23 testing?

24 A No.

25 Q Did you ever tell BDT that KPMG was Theranos'
0751

1 auditor?

2 A I don't recall.

3 Q Did you ever hear Elizabeth Holmes say that?

4 A To BDT again? I don't recall.

5 Q Did you ever tell BDT that the audits have
6 details in their footnotes on certain nonpublic
7 contracts so that there was some sensitivity to
8 sharing them with BDT?

9 A The audited financials?

10 Q Correct.

11 A I don't recall saying that.

12 Q Were there audited financials in October
13 2014?

14 A No.

15 Q Did you ever hear Elizabeth Holmes make that
16 comment?

17 A No.

18 Q Did you tell BDT that Theranos gets to decide
19 on the timing of the Walgreens rollout?

20 A I don't recall.

21 Q Would that have been a true statement at the
22 time?

23 A Yes. We had the -- we had control over the
24 rollout, if we wanted to proceed or not. But obviously
25 there were things Walgreens controlled also.

0752

1 Q What were those things?

2 A Construction on the stores. We were at --
3 that was a time frame and when we were renegotiating
4 the contract. So things would have changed on what was

5 in the control and what was not. But in general, at
6 that point, either training the technicians or building
7 out the stores for us would be the fundamentally --
8 fundamental things.

9 Q When discussing the model with BDT, did you
10 tell BDT that you were fairly confident in the 2014
11 numbers because you were already in October?

12 A I don't recall.

13 Q Do you recall Elizabeth Holmes saying
14 anything like that?

15 A I don't recall either.

16 Q Did you -- did you tell BDT that for 2015,
17 you might -- you think there might be plus or minus 30
18 percent variance from what the model was reflecting due
19 to execution risk?

20 A I don't recall saying that.

21 Q Do you recall Elizabeth Holmes saying
22 anything like that?

23 A I don't.

24 Q Do you recall telling BDT that the model
25 should reflect 135 Safeway stores for January in

0753

1 northern California?

2 A The model? I don't recall saying that.

3 Q Was Theranos planning on opening 135 centers
4 at Safeway in January 2015 as of October 2014?

5 A No.

6 Q Did you tell BDT, in October of 2014, that
7 Theranos was currently picking up samples and running
8 them -- running them -- picking up sample from
9 hospitals and running them in a CLIA lab?

10 A No.

11 Q Would that have been a true statement at the
12 time?

13 A Can you finish the sentence? The question
14 was hospitals and what?

15 Q Sorry.

16 I want to be clear. In October 2014, did you
17 tell BDT that Theranos was picking up samples from
18 hospitals and running them in its labs?

19 A No, I don't recall saying that.

20 Q Would that have been an accurate statement at
21 the time?

22 A Not to my knowledge, no.

23 Q Was Theranos picking up samples from
24 hospitals in October 2014?

25 A Not at that point.

0754

1 Q When did it start?

2 A I don't think we ever did.

3 Q Did you ever hear Elizabeth Holmes say
4 something like that?

5 A No. I don't recall.

6 Q Did you ever tell any investor or potential

7 investor that, in October 2014, that Theranos was 30
8 days away from an announcement of a contract with the
9 Department of Defense and expecting to launch in
10 airports in November?

11 A No.

12 Q Would that have been a true statement at the
13 time?

14 A No, not to my knowledge.

15 Q Did you ever hear Elizabeth Holmes say
16 something like that?

17 A No.

18 Q Did you ever tell anyone from BDT or any
19 other potential investors that Theranos expected to
20 receive \$50 million from its upfront contract at
21 airports?

22 A I don't recall saying that.

23 Q Would that have been a true statement at the
24 time?

25 A Not to the best of my knowledge.

0755

1 Q Did you ever hear Elizabeth Holmes say
2 anything like that?

3 A I don't recall that either.

4 Q Did you tell any -- anyone from BDT or any
5 other potential investor that, historically, Theranos
6 had generated 30 to \$60 million revenue from
7 pharmaceutical services?

8 A No, I -- I don't recall saying that.

9

10 Q Would that have been an accurate statement at
11 the time?

12 A No. Not to the best of my knowledge, no.

13 Q Did you ever hear Elizabeth Holmes say
14 something like that?

15 A I don't recall her saying that.

16 Q Do you recall discussing the Fortune article
17 with BDT?

18 A No, I don't recall.

19 Q Did you ever hear Elizabeth Holmes say she
20 did not regret the Fortune article to individuals from
21 BDT?

22 A No, I don't recall.

23 Q Do you recall meeting with individuals from
24 the Fremont group in 2014?

25 A Not specifically. If I -- if you place a

0756

1 name, maybe I'll be able to narrow it down.

2 Q I guess, do you remember anyone affiliated
3 with Battelle's private family office meeting with
4 individuals from Theranos in the fall of 2014?

5 A I don't recall.

6 Q Do you know -- do you know anyone named (b)(6);

7 (b)(6);

8 A I heard the name, yes.

9 Q Have you met (b)(6); (b)(7)(C)?

10 A I don't recall.

11 Q Do you know anyone named (b)(6); (b)(7)(C) or (b)(6); (b)(7)(C)?

12 (b)(6); (b)(7)(C)?

13 A I heard the name (b)(6); (b)(7)(C).

14 Q Who is (b)(6); (b)(7)(C)?

15 A He was one person who had visited us. I
16 forgot which group he was with. And I -- I think I
17 shared model with him. I have kind of a vague memory,
18 but I don't remember specifically. I don't think I
19 spent much time with him.

20 Q But you -- you think you shared the model
21 with him?

22 A I have a vague memory, but I could be wrong
23 about that.

24 Q Did you tell (b)(6); (b)(7)(C) in October 2014,
25 that you felt good about the 2014 projections?

0757

1 A 2014 projections? I don't recall saying
2 that.

3 Q When -- do you remember discussing model with
4 (b)(6); (b)(7)(C)?

5 A I think I discussed the model. If I gave it
6 to him, I would always give him the background to it,
7 but I don't remember specific comment like that.

8 Q Do you remember saying that you felt
9 confident in the 2014 numbers that the model reflected?

10 A I don't recall saying that.

11 Q Did you tell (b)(6); (b)(7)(C) that Johns Hopkins
12 refused all of Theranos's SOPs?

13 A Refused?

14 Q Reviewed. I'm sorry. Let me rephrase the
15 question for clarity.

16 Did you tell (b)(6); (b)(7)(C) that Johns Hopkins
17 reviewed Theranos's SOPs?

18 A I don't recall saying that.

19 Q Did Theranos -- did Johns Hopkins review
20 Theranos's SOPs?

21 A There were many SOPs in patient service
22 center when we launched with Walgreens that -- that
23 Johns Hopkins had reviewed and approved.

24 Q And did they continue to review and approve
25 them throughout the course of --

0758

1 A No, they did not continue. When we started
2 out, I don't remember how many SOPs were there, but
3 there were quite a few that they had reviewed and
4 approved.

5 Q Did you tell (b)(6); (b)(7)(C) that Theranos's goal
6 was to have 30 patients per store per day at Walgreens?

7 A I don't recall saying that, but it wasn't in
8 the model. So --

9 Q Did you tell (b)(6); (b)(7)(C) that Theranos would
10 be in 70 retail locations by the end of the year?

11 A I don't recall saying that.

12 Q Do you recall telling [b](6); (b)(7)(C)] that
13 Theranos could be in as many as 300 locations by the
14 end of the year, 2014?

15 A I don't recall that.

16 Q Did you tell [b](6); (b)(7)(C)] that you -- you were
17 planning on starting on the Safeway rollout in January
18 of 2015 -- let me rephrase that.

19 In October 2014, did you tell [b](6); (b)(7)(C)] that
20 you were planning on rolling out at Safeway in January
21 of 2015?

22 A Don't recall that.

23 Q Do you recall saying that you were planning
24 on rolling out in 300 Safeway locations in January
25 2015?

0759

1 A I don't. I don't recall that.

2 Q Do you recall meeting with individuals from
3 the DeVos family?

4 A I recognize the name, but I don't know if I
5 met with [b](6); (b)(7)(C)] or not. I don't
6 recall.

7 Q Did you ever speak with anyone from their
8 family office?

9 A I think I had a phone conversation with
10 somebody. I don't remember who it was, though.

11 Q Do you recall telling -- does the name --
12 name [b](6); (b)(7)(C)] refresh your recollection?

13
14 A No.

15 Q [b](6); (b)(7)(C)]

16 A Honestly doesn't.

17 Q Do you recall telling [b](6); (b)(7)(C)]
18 [b](6); (b)(7)(C)] that Theranos uses its own analyzer
19 equipment?

20 A I don't recall that specific comment.

21 Q Do you recall telling [b](6); (b)(7)(C)]
22 [b](6); (b)(7)(C)] that Theranos manufactures its own
23 analyzer equipment?

24 A I don't recall, but that would be a
25 conversation we would have with them because we did.

0760

1 Q Did you ever tell them Theranos manufacture
2 all of its own analyzer equipment?

3 A No, I would not.

4 BY MS. CHAN:

5 Q Did Ms. Holmes make that statement?

6 A Not to the best of my knowledge.

7 BY MR. KOLHATKAR:

8 Q Did you tell [b](6); (b)(7)(C)] that Theranos
9 has no debt?

10 A Who is [b](6); (b)(7)(C)]?

11 Q [b](6); (b)(7)(C)]

12 A I don't recall saying that.

13 Q Would that be a true statement in -- in the
14 fall of 2014, that Theranos had no debt?

15 A I think in general that would be true because
16 we didn't borrow money, and -- in general. Except for,
17 I mean, we must have discussed the null with Walgreens
18 with them. But in general, we -- we -- we didn't like
19 borrowing money to grow our business except for minor
20 leasing from machines here and there. So I think in
21 general, it would be true.

22 Q You understood that the note to be -- was a
23 form of debt?

24 A It was form of debt to be converted into
25 equity, but it was -- there was a specific reason why

0761

1 that note existed. It wasn't strictly that we were
2 borrowing money from Walgreens. It was more like they
3 had given this note to us so they can convert it to
4 equity. If not, we return it back.

5 Q Do you remember explaining that to anyone
6 from the DeVos family office?

7 A No. I don't recall explaining that.

8 Q Do you recall telling (b)(6); (b)(7)(C)
9 (b)(6); (b)(7)(C) that you expected to be in 300 Safeway
10 locations in 2015?

11 A I don't recall.

12 Q Did you tell (b)(6); (b)(7)(C)
13 (b)(6); (b)(7)(C) that Theranos had more than \$500 million in
14 demand for the C-2 round?

15 A In demand?

16 Q In other words, there was more individuals
17 expressed an interest to -- to provide Theranos with
18 more than \$500 million?

19 A I don't particularly remember that.

20 Q Did Theranos turn down any investors for the
21 C-2 round?

22 A I think BDT had approached us to invest. And
23 they wanted to do a structured deal and we didn't want
24 to do that. So we just -- we had a discussion at the
25 board meeting where I -- we discussed that, we

0762

1 recommended we not pursue that. And we put an end to
2 that.

3 Q And were there -- were there any other
4 investors that Theranos declined to work with for that
5 C-2 round?

6 A I don't remember. We engaged with investors
7 very selectively. So if we talked with investors, it
8 was some purpose in mind already. So I would say, in
9 general, no, but there may have been others.

10 Q Do you recall any -- Theranos turning down
11 any money from any private equity companies for C-2
12 round?

13 A I don't recall. I'm not sure how to -- how
14 private equity -- BDT was only one. I don't know if

15 that was a private equity or not, but otherwise I don't
16 recall any others.

17 Q Did you ever hear Elizabeth Holmes state that
18 Theranos was turning away private equity money for the
19 C-2 round?

20 A I don't recall her saying that.

21 Q Do you recall -- or we talked a little bit
22 yesterday about Madrone and (b)(6); (b)(7)(C) Do you
23 recall -- generally recall that?

24 A Yes. Walgreens. Yes.

25 Q Did you tell (b)(6); (b)(7)(C) that Theranos runs
0763

1 every test with the same platform?

2 A I don't recall saying that.

3 Q Did you tell (b)(6); (b)(7)(C) there would
4 not be a need for a different machines or collection
5 tubes on the Theranos platform?

6 A Say that again. I'm sorry. I missed that.

7 Q Did you tell (b)(6); (b)(7)(C) that there would not
8 be a need for different machines or collection tubes on
9 the Theranos platform?

10 A No, I don't recall saying that.

11 Q Would that have been a true statement?

12 A I actually don't understand the comment,
13 which is why I don't recall saying it. Yeah. I don't
14 understand what the whole sentence means.

15 Q I guess, would it be a true statement in
16 September 2014 that Theranos ran every test on the same
17 platform?

18 A Technically speaking, yes. But same platform
19 doesn't mean same machine. We had a platform in our
20 lab that we were using, which is why I think this is
21 kind of a confusing statement.

22 Q What did you understand "platform" to mean?

23 A Platform, in general, means as set of
24 technologies. So not necessarily, for example, IOS is
25 one platform. And it runs on multiple devices. So
0764

1 it's a very vague statement, in my opinion.

2 Q Did you understand that Theranos platform to
3 include unmodified commercial devices?

4 A In my mind -- in our minds, yes.

5 Q Did you explain that to any investors?

6 A No. I think I shared that we -- there was a
7 trade secret that we were not sharing.

8 Q The use of unmodified devices?

9 A Sorry. Unmodified?

10 Q Yeah.

11 A No. I mean, when we talked about it, like I
12 said yesterday, it was an uninteresting fact, but
13 otherwise, no. In general, no.

14 Q So, in your mind, was Theranos's use of
15 unmodified third-party analyzers part of the Theranos
16 platform?

17 A No.
18 Q Did you tell (b)(6); (b)(7)(C) that Theranos
19 expected to be in 300 Walgreens stores by the end of
20 2014?

21 A 300 stores by end of 2014? I don't recall.
22 (SEC Exhibit No. 260 was
23 marked for identification.)

24 BY MR. KOLHATKAR:

25 Q I'm going to hand you a document I'll mark as
0765
1 Exhibit 260.

2 A Thanks.

3 MR. COOPERSMITH: Before we go further, how
4 much further? I -- I just think that we're getting a
5 little tired here and it's been a long day, two days.
6 So I mean, are we talking five minutes, ten minutes?

7 MS. CHAN: Off the record.

8 MR. COOPERSMITH: It's fine.

9 MR. KOLHATKAR: Let's go off the record at
10 5:59 just so we can give the court reporter a break.

11 THE VIDEOGRAPHER: Okay. Off the record.
12 (A brief recess was taken.)

13 MR. KOLHATKAR: Back on the record at 6:06
14 p.m.

15 BY MR. KOLHATKAR:

16 Q Mr. Balwani, we didn't have any substantive
17 discussions during the break; is that correct?

18 A That's correct.

19 MR. KOLHATKAR: Mr. Balwani, given the time,
20 we're going to adjourn testimony today pending a future
21 date after discussion with counsel. Thank you very
22 much for your time these last two days. We really do
23 appreciate it.

24 THE WITNESS: Thank you also for your time.

25 MR. KOLHATKAR: Off the record at 6:07 p.m.

0766

1 THE VIDEOGRAPHER: Off the record.

2 (Whereupon, at 6:07 p.m., the examination was
3 concluded.)

4 * * * * *

5
6
7
8
9
10
11
12
13
14
15
16
17
18

19
20
21
22
23
24
25
0767

1 PROOFREADER'S CERTIFICATE

2
3 In The Matter of: THERANOS, INC.
4 Witness: Ramesh Sunny Balwani
5 File Number: SF-04030-A
6 Date: Thursday, August 10, 2017
7 Location: San Francisco, CA

8
9 This is to certify that I, (b)(6); (b)(7)(C)
10 (the undersigned), do hereby swear and affirm that the
11 attached proceedings before the U.S. Securities and
12 Exchange Commission were held according to the record
13 and that this is the original, complete, true and
14 accurate transcript that has been compared to the
15 reporting or recording accomplished at the hearing.

16
17 _____
18 (Proofreader's Name) (Date)

19
20
21
22
23
24
25

0769

1 THE UNITED STATES SECURITIES AND EXCHANGE COMMISSION

2
3 In the Matter of:)
4)
5 THERANOS, INC.) File No. SF-04030-A
6

7 WITNESS: Ramesh "Sunny" Balwani
8 PAGES: 769 through 957
9 PLACE: 44 Montgomery Street, Suite 2800
10 San Francisco, California
11 DATE: Thursday, September 7, 2017
12

13 The above-entitled matter came on for hearing,
14 pursuant to notice, at 12:28 p.m.
15
16
17
18
19
20
21
22

23
24 Diversified Reporting Services, Inc.
25 (202) 467-9200

0770

1 APPEARANCES:
2 On behalf of the Securities and Exchange Commission:

3 RAHUL KOLHATKAR, ESQ.
4 JESSICA CHAN, ESQ.
5 MONIQUE WINKLER, ESQ.
6 MARC KATZ, ESQ.
7 MICHAEL FOLEY
8 44 Montgomery Street, Suite 2800
9 San Francisco, California 94104

10 Telephone: (b)(6); (b)(7)(C)

11 Email: (b)(6); (b)(7)(C)@sec.gov

12 (b)(6); (b)(7)(C)@sec.gov

13 (b)(6); (b)(7)(C)@sec.gov

14 (b)(6); (b)(7)(C)@sec.gov
15
16
17
18
19
20
21
22
23
24
25

0771

1 APPEARANCES(CONTINUED):
2 On behalf of the Witness:
3 JEFFREY B. COOPERSMITH, ESQ.
4 JOHN McKAY, ESQ.
5 DAVIS WRIGHT TREMAINE LLP
6 1201 Third Avenue
7 Seattle, Washington 98101
8 Telephone: (206) 622-3150
9 Email: jeffcoopersmith@dwt.com
10 johnmckay@dwt.com
11
12 JAMES E. TOPINKA, ESQ.
13 DAVIS WRIGHT TREMAINE LLP
14 505 Montgomery Street, Suite 800
15 San Francisco, California 94111
16 Telephone: (415) 276-6500
17 Email: jet@dwt.com
18

19 Also Present:
20 (b)(6); (b)(7)(C) VIDEO OPERATOR
21
22
23
24
25

0772

C O N T E N T S		
2 WITNESS:		EXAMINATION
3 Ramesh "Sunny" Balwani		774
4		
5 EXHIBITS	DESCRIPTION	IDENTIFIED
6 268	Commission Subpoena	774
7		
8		
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

0773

1 P R O C E E D I N G S
2 THE VIDEO OPERATOR: Here begins DVD No. 1 in the

3 Volume 3 testimony of Ramesh Sunny Balwani in the matter
4 of Theranos, Inc., in the U.S. Securities and Exchange
5 Commission, File No. SF-04030-A.

6 Today's date is September 7th, 2017. Time on
7 the video monitor is 12:28.

8 Video operator today is [b](6); (b)(7)(C)] employed
9 by Behmke Reporting and Video Services, Inc., 160 Spear
10 Street, Suite 300, San Francisco, California.

11 MR. KOLHATKAR: Great. And we are on the record at
12 12:30 p.m. on September 7th, 2017.

13 I'm Rahul Kolhatkar. With me are Michael
14 Foley, Marc Katz, and Monique Winkler. Joining us in a
15 minute will be Jessica Chen. We're all officers of the
16 Commission for the purposes of this proceeding.

17 We are today resuming the examination of -- of
18 Sunny Balwani, which was adjourned on August 7th -- I'm
19 sorry -- on August 10th, 2017.

20 Would counsel please identify themselves.

21 MR. COOPERSMITH: Yes.

22 Jeff Coopersmith from Davis Wright Tremaine
23 representing Mr. Balwani.

24 MR. MCKAY: John McKay, also Davis Wright Tremaine,
25 representing Mr. Balwani.

0774

1 MR. TOPINKA: Jim Topinka, same firm.

2 MR. KOLHATKAR: Testimony today is pursuant to a
3 Commission subpoena, which I've marked as Exhibit 268.

4 (SEC Exhibit No. 268 was marked
5 for identification.)

6 Whereupon,

7 RAMESH "SUNNY" BALWANI,
8 was re-called as a witness and, having been previously
9 duly sworn, was examined and testified further as
10 follows:

11 EXAMINATION

12 BY MR. KOLHATKAR:

13 Q Mr. Balwani, have you had a chance to review
14 Exhibit 268?

15 A Yes.

16 Q Do you understand that you're appearing here
17 today pursuant to Exhibit 268?

18 A Yes.

19 Q Mr. Balwani, do you understand that you remain
20 under oath?

21 A I do.

22 MR. KOLHATKAR: I'd also like the record to reflect
23 that a copy of the formal order of investigation in this
24 matter, as well as the supplemental formal order, are
25 available to counsel and the witness during the course

0775

1 of this examination.

2 BY MR. KOLHATKAR:

3 Q Since we adjourned on August 10th, other than
4 your conversations with counsel, have you had any

5 conversations with anyone about the substance of your
6 testimony before the SEC?

7 A No.

8 Q So, Mr. Balwani, when we met last time, we
9 discussed Theranos's relationship with Walgreens and the
10 innovation fee.

11 Do you recall that generally?

12 A Yes.

13 Q I wanted to just ask you a couple more
14 questions about that to -- to help clarify the -- your
15 understanding of the innovation fee as of 2014.

16 A Okay.

17 Q So I'll hand you what's previously been marked
18 as Exhibit 49.

19 Do you recognize Exhibit 49?

20 A I do.

21 Q What is it?

22 A This is the master services agreement executed
23 between Theranos and Walgreens, actually amended and
24 restated, in 2012, June 2012.

25 Q Okay. So it was amending an earlier agreement

0776

1 that Theranos had previously had with -- with Walgreens?

2 A Correct. We had an original agreement I think
3 we had signed in 2010, sometime in -- during the summer.
4 And this was amendment to that.

5 Q Did you review Exhibit 49 at or around the time
6 Theranos entered into this amendment?

7 A Yes, I did.

8 Q And -- and -- and last time I think we were
9 talk -- we -- we met, we talked about Theranos's right
10 to retain the innovation fee.

11 A Correct.

12 Q And I understand your general testimony to be
13 that, subject to a couple of exceptions, the hundred
14 million dollar innovation fee paid by Walgreens was
15 nonrefundable.

16 MR. COOPERSMITH: And just for the record, the
17 transcript's going to speak for itself. You know, if
18 you're trying to get him to repeat what he said earlier,
19 he -- he may not be able to remember exactly what the
20 question was or what he said.

21 MR. KOLHATKAR: Sure. I -- I -- sure. I guess --

22 BY MR. KOLHATKAR:

23 Q What -- was it your general understanding,
24 after Theranos entered into Exhibit 49, that any
25 innovation payments made to Theranos were nonrefundable?

0777

1 A It was not necessarily the 2012. It was also
2 the amendment that we signed end of 2013. Taking those
3 together was nonrefundable.

4 This part of the innovation piece, even this
5 contract calls out was nonrefundable. But I'll have to
6 look at the exact language on those two.

7 Q Okay. So my question to you is: What part of
8 I guess Exhibit 49 helped you develop the opinion
9 that -- that part of the innovation fee was
10 nonrefundable?

11 A Well, there are two things. First of all, I
12 negotiated this contract with Walgreens. I was the lead
13 point from Theranos. And the intentions of both parties
14 was that it's nonrefundable. So that's how we entered
15 in this -- these -- these discussions that the hundred
16 million dollars was nonrefundable.

17 And we were structured such a way that Theranos
18 receives the payment over time, having achieved certain
19 milestones. But the language in this contract and the
20 amendment put together made it -- it -- it captured that
21 intent.

22 Q I guess can you explain the distinction between
23 Theranos might receive the payment and earn it over
24 time?

25 A Sure. This contract in 2012 split the payment
0778

1 I believe in two or three payments. I forgot exactly
2 what was in this contract in 2012. And there were
3 certain milestones we had to hit -- I think pilot --
4 success of the pilot was one of those -- and some other
5 details around the innovation payment that triggered
6 additional payments.

7 So initially I think the first payment was
8 going to be for \$25 million, if I recall. And then
9 there were additional trigger points that would allow us
10 to receive the payment. Because if you haven't received
11 it, obviously there's nothing -- you haven't earned it.

12 And then the language in the contract was that,
13 once we had received the -- the payments, it -- it -- it
14 discussed how -- what the payments was for.

15 And based on those discussions in the contract
16 and also what was in the amendment, it -- on -- signed
17 on December 31st, 2013, you know, we structured those
18 two documents such that we get to keep the payment, and
19 the payment became nonrefundable to Walgreens.

20 Q Could we turn to Section 6 of this contract.
21 It is -- begins on the page ending in Bates WAG-TH 55
22 and onto 56.

23 A Yes.

24 Q And there -- do you see the section that's
25 entitled "Section 6, Innovation Fee"?

0779

1 A Yes.

2 Q And I think the -- the sections you reference
3 in terms -- that you just referenced in terms of the
4 schedule of payment being laid up -- at upon certain
5 milestones, is that captured by 6(a) here?

6 A Seems like it, yes. I mean, I'll have to read
7 the whole thing. But from just looking at quickly,
8 seems like it, yes.

9 Q Why don't you take a minute and review --

10 A Sure.

11 Q -- Section 6 just to yourself. And let me know
12 when you've had a chance to read it.

13 A (Examines document.)

14 Okay. You want me to read the whole page or
15 just the first top section?

16 Q Why -- why don't you read the whole -- the
17 whole section on the --

18 A Okay.

19 Q -- which continues onto the next page.

20 A (Examines document.)

21 Okay.

22 Q You've had the opportunity to review Section 6?

23 A Yes.

24 Q And so I guess my question initially was: Does
25 6(a) capture sort of the earning events that you

0780

1 described in your earlier answer?

2 A Seems like it, yes.

3 Q And is it fair to say that --

4 MR. COOPERSMITH: Well -- well, I think that
5 question may have misstated. It doesn't really say
6 "earning events." It's distribution events.

7 MR. KOLHATKAR: Sure. I appreciate that correction.

8 BY MR. KOLHATKAR:

9 Q So does Section 6(a) capture the distribution
10 events --

11 A It does.

12 Q -- in the contract?

13 And -- and is that essentially that there were
14 \$25 million paid up front?

15 A There -- it was not up front. It was at the
16 completion of due diligence items, as it says over here.

17 Q And --

18 A So --

19 Q -- did those due diligence items take place?

20 A Yes.

21 Q Did -- the Section 6(b)(5), the Facilities
22 Visit section, describes -- describes the potential for
23 a facilities visit.

24 Do you see that?

25 A I do.

0781

1 Q Did that visit take place?

2 A I don't recall if that particularly took place
3 or not. I don't.

4 Q Was it your understanding that it took place at
5 the satisfaction of Walgreens such that they made the
6 initial \$25 million payment?

7 A It was my understanding that if there was
8 anything else standing that they wanted out of this
9 section, they would have brought it to us. But the fact
10 that they triggered this \$25 million payment to us meant

11 they were satisfied.

12 Q The -- the -- the Section 6(a)(2) refers to a
13 second \$25 million distribution.

14 Do you see that?

15 A I do.

16 Q Was that -- was that to take place upon
17 Theranos reaching ten patients per store during a pilot?

18 A That's what it says here, yes.

19 Q And then again, the -- the -- the Subsection 3
20 there has the -- sort of the last distribution clause.

21 Do you see that?

22 A I do.

23 Q And were those -- was the timing of the -- the
24 second and third distribution sort of what was
25 renegotiated in that 2013 contract?

0782

1 A I don't think it was just the timing. I think
2 there were other key provisions of the contract that
3 were also renegotiated in the amendment that we signed
4 on December 13th of 2013.

5 MR. McKAY: You said 13th.

6 THE WITNESS: Sorry. December 31st, 2013.

7 BY MR. KOLHATKAR:

8 Q The --

9 A It -- it was not just the timing of those two
10 payments.

11 Q Understanding it's not just the timing of those
12 two payments that was renegotiated as part of the 2013
13 amendment.

14 A Right.

15 Q Was the timing of these two events renegotiated
16 as part of --

17 A I mean, I don't think I would describe it as
18 renegotiating the timing. It was basically we had
19 renegotiated key terms of the contract. As part of
20 that, Walgreens accelerated those \$75 million not tied
21 to these milestones anymore.

22 So they were not tied to these milestones at
23 all. It was basically completely new language and new
24 understanding that the companies arrived at.

25 Q If you look at Section 6(c), it states: "If

0783

1 Theranos realizes at least 1.75 billion in net revenue
2 domestically from laboratory services it provides at all
3 of its laboratory locations that utilize the Theranos
4 system within 12 months of the date that Theranos tests
5 are available in at least 1,000 locations, Theranos will
6 earn 50 million of the innovation fee."

7 Do you see that?

8 A Yes.

9 Q What did that provision mean to you at the time
10 Theranos entered into Exhibit 49?

11 A So there was a lot of background to why we
12 structured this contract the way we did and especially

13 this whole payment section here.

14 If you look at the language towards the top
15 that you had earlier read -- or actually we pointed out,
16 was that the innovation payment grant -- in this
17 agreement is in exchange for exclusivity, price
18 protection, first announcements rights, infrastructure
19 costs, and so on, so forth.

20 So there was lot of value that we were going to
21 give to Walgreens in exchange for innovation payment.

22 Now, the question was how does the innovation
23 payment show up on the contract. For reasons that were
24 clear to Walgreens -- and I didn't quite fully
25 understand why -- they wanted to structure the contract

0784

1 in such a way that it reads this way, which is basically
2 that, even though if you read the contracts in entirety,
3 and especially after the amendment -- but you're saying
4 ignore the amendment for now, correct?

5 Yeah. So at this point, the way this contract
6 read, you know, we had two milestones that we had to
7 reach in order for us to earn. And if not, then there
8 was this clause that says it's going to get triggered.
9 And I think I mentioned that there were some exceptions
10 to that innovation payment -- that this clause will
11 trigger that -- will say that we will trickle back
12 dollar for dollar some amount back to Walgreens.

13 Now, if this is all you read, then you will
14 miss the other point here, which is that this contract
15 also talks about what happens during the -- if the
16 contract terms.

17 And the way this was structured this way was on
18 purpose, that if that section ever got triggered, which
19 is that if we for some reason got the thousand stores --
20 first of all, our understanding was, if you get the
21 thousand stores, things are going extremely well, and
22 Walgreens is making lot of money in 500 stores, 600
23 stores, 700 stores before we hit thousand stores.

24 But once we get the thousand stores, and for
25 whatever reason we are not making the revenue numbers

0785

1 that are targeted over here, then things have not gone
2 well.

3 Theranos had the right at that -- at that point
4 to term the contract or not renew the contract. And if
5 we don't renew the contract, this payment is
6 nonrefundable in that -- under -- under those
7 circumstances.

8 So that was kind of the way we structured is
9 that -- the contract was that way.

10 Q So just so I understand your answer to the
11 question, I think the question was: Does that sentence
12 in the first -- first sentence of Section 6(c) refer to
13 the first event, Theranos would have to -- the -- the
14 first event that Theranos would have to achieve before

15 it earned \$50 million of the innovation fee?

16 A And my answer to that is you cannot read one
17 sentence from this thing and miss out lot of the other
18 details that went into the contract that basically gave
19 me the -- the reasons that this money was nonrefundable.

20 If you -- you -- it's not possible to read just
21 one line and ignore lot of the other things that have
22 entered into the contract and why the contract was
23 structured this way.

24 Q So why don't we turn the page to the -- to the
25 page ending WAG-TH 57.

0786

1 A Mm-hmm. Yes.

2 Q And the -- the second-to-last sentence of -- of
3 Section 6(c) states: "If the aforementioned milestones
4 are not realized, Theranos will refund the entire
5 innovation fee dollar for dollar back to Walgreens on a
6 per-test-consumed basis with at least 50 million being
7 credited in the first 12 months after program launch."

8 Do you see that?

9 A I do.

10 Q And is that the section that you were
11 referencing that related to sort of the trickle-back of
12 the fee?

13 A Yes.

14 Q And is -- what I understand your testimony to
15 be that -- today that that sentence wasn't an avenue to
16 refund the innovation fee?

17 A The -- in that one sentence, if you read it
18 without the benefit of everything else in the contract,
19 implies that, if we have not succeeded -- first of all,
20 this gets triggered only if we are in thousand stores
21 and we have not reached 2 -- \$2.5 billion.

22 If that happens, that means things didn't go
23 very well, the way we planned. So there are things that
24 will trigger it.

25 The discussion we were having with Walgreens

0787

1 was why would we hit thousand stores if things were not
2 going well, right? I mean, if you are in 500 stores,
3 things are going well, only then you would go to 600
4 stores or 800 stores or thousand stores.

5 So the -- the -- the point here was: By this
6 time, we are in a thousand stores. For some reason,
7 things with Theranos have not gone well, even though we
8 are in thousand stores -- and we actually did math
9 around what would be the revenue if we are in thousand
10 stores.

11 So the -- the expectation was we will, you
12 know, easily surpass those revenue numbers.

13 But assuming that was not the case. The
14 language here basically said, if that happens, you
15 trickle back. However, in that case, Theranos had the
16 right to not renew the contract.

17 And if you look at the contract language, when
18 the contract is not renewed and it expires, this money
19 becomes nonrefundable to Walgreens.

20 Q So just so I understand, it's -- it's your view
21 that Section 6(c), the -- the refund events, only take
22 place if Theranos was at a thousand stores to begin
23 with?

24 A That's what our expectation was. That's what
25 our understanding was, yes.

0788

1 Q And just sitting here today, is that how you
2 read Section 6(c)?

3 A Yeah, that's how I read it.

4 Q So you mentioned the termination provisions of
5 the contract.

6 Is that -- is that what appears on the page
7 ending WAG-TH 66?

8 A Well, I -- I will have to read it. But this
9 contract was pretty complex. So I don't think it was a
10 clear section I'd remember where it was. But if it is
11 here, then it'll be here.

12 Do you want me to read the whole thing?

13 Q Why don't you read Section 24, which begins on
14 WAG-TH 66 and continues onto WAG-TH 67.

15 A Sure.

16 Q And let me know when you've had a chance to
17 review it.

18 A (Examines document.)

19 Okay.

20 Q Is this the section that -- is Section 24 the
21 section you're referring to that relates to the
22 termination of the contract?

23 A I think so. But there may be more in the
24 contract that refers to it. But from reading it, seems
25 like this is the section.

0789

1 Q Okay. And -- and can you explain to me which
2 part of Section 24 relates to the innovation fee being
3 nonrefundable?

4 A Well, it's -- if you look at the survival of
5 provisions, the innovation --

6 Q Sorry. Sorry. Just for the record, you're
7 pointing at --

8 A Sorry.

9 Q -- 24(f)?

10 A D -- oh, 24(f). Yeah. Sorry.

11 Q Okay. Sorry I interrupted you.

12 A No problems.

13 MR. McKAY: There is no (e), apparently.

14 MR. KOLHATKAR: I -- I see that.

15 THE WITNESS: Yeah. So (f) here is the one where it
16 says the innovation payment doesn't survive. And that's
17 by design.

18 BY MR. KOLHATKAR:

19 Q Where do you see the innovation?

20 A No, no. It's not there. If -- it lists
21 everything that survives in terms of term. And the
22 innovation payment that was delivered back to -- was
23 given to Theranos is not -- it doesn't survive. It's
24 nonrefundable for that reason.

25 Q Because it's not listed in the survival of
0790

1 provisions?

2 A Correct. Exactly right.

3 Q If you look at the section before Section
4 24(d) --

5 A Right.

6 Q -- it says: "Obligations upon termination."

7 A Right.

8 Q It says -- the little (i) then (1), it says:
9 "In the event Walgreens terminates this agreement
10 pursuant to Sections 24.b or 24.c or Theranos terminates
11 this agreement pursuant to 23.b" --

12 A Right.

13 Q -- "then within 180 calendar days of -- of the
14 termination date Theranos will refund the innovation fee
15 as detailed in Subsections (b) and, as applicable, (c)."

16 Do you see that?

17 A I do.

18 Q Does that not contemplate returning the
19 innovation fee in the event of termination?

20 A I mean, not the way I read it. And again, I
21 was sitting there negotiating the contract. And this
22 is -- that was not the intent of this provision to
23 capture that.

24 Because if -- again, I'll have to go through
25 and timeline, the whole thing. But if Walgreens

0791

1 terminates the contract and they have given us the
2 innovation payment, then why would we refund it, given
3 all the valuable goods and services that we already
4 provided to them?

5 BY MS. CHEN:

6 Q Did you communicate your understanding to
7 anyone at Walgreens?

8 A Every single person. Every single person from
9 Walgreens -- this -- this is their language. They
10 created this complicated structure around this contract.
11 We would have preferred a simple language.

12 As -- if you look in 2015, we actually wanted
13 to simplify the language. But Walgreens did this so
14 that their -- they had Medicare lawyers looking at this
15 thing. They wanted to make sure that this hundred
16 million dollars was not seen as a kickback or ant -- you
17 know, antikickback laws.

18 So they structured it such a way that -- that
19 satisfies their requirement, which apparently it did,
20 and it satisfied our requirement and our understanding

21 this hundred million dollars, once we hit the
22 milestones, is nonrefundable to -- to Walgreens.
23 And there were not just one or two people. An
24 army of Walgreens lawyers and executives worked with me
25 and negotiated this thing.

0792

1 The other thing, if I may add to this one, is

2 in 2015, late 2014, I had a conversation with (b)(6); (b)(7)(C)

3 (b)(6); (b)(7)(C)

4 and they confirmed it.

5 They said, you know, there's -- a contract is
6 ironclad. That money is nonrefundable, you know. But
7 we would like to renegotiate to see if we can provide
8 you some other services and goods and -- and discuss --
9 you know, when we were negotiating the landlord-lessee
10 model in 2015, to discuss that.

11 BY MR. KOLHATKAR:

12 Q So in that last answer I think you mentioned
13 that, once you hit the milestones, it's nonrefundable.

14 Was a -- was hitting a thousand stores not one
15 of the milestones for the innovation fee?

16 A No. This is in 2012. Once we modified the
17 contract in December 2013, that obviously changed the
18 terms of the contracts very significantly. So -- which
19 is why I'm talking about both of them combined together
20 for the 2014 time frame.

21 Q Who's your lead point of contact in the 2012
22 amendment for negotiating Exhibit 49?

23 A There were a large number of people who came
24 and went at Walgreens. (b)(6); (b)(7)(C)

25 (b)(6); (b)(7)(C)

0793

1 (b)(6); (b)(7)(C)

2

3

4

5

6 Then there were a large number of business
7 executives who were involved. I think -- and I'm going
8 to forget names because they used to have so many people
9 come and go at Walgreens. (b)(6); (b)(7)(C)

10 (b)(6); (b)(7)(C)

11

12

13

14

15

16

17

18 After that I believe we had another guy who

19 came. (b)(6); (b)(7)(C)

20 (b)(6); (b)(7)(C)

21 (b)(6); (b)(7)(C)

I forgot his name.

22 Q Do you recall any -- I'm sorry if I interrupted

23 you.

24 A No. It's --

25 Q Anyone else that you recall that --

0794

1 A Well, there were many more. I'm just trying to
2 think people off my head, yeah.

3 Q Is it fair to -- those are the most prominent
4 people in your mind?

5 A (b)(6); (b)(7)(C)

6 (b)(6); (b)(7)(C)

7
8
9
10
11

12 Q So with respect specifically to the 2012
13 amendment, Exhibit 49, do you remember who from
14 Walgreens suggested the language as you described it
15 in -- in -- in Sections 6 and 24?

16 A Oh, I wouldn't know who from Walgreens
17 suggested it because -- when the contracts came to me,
18 it came from either (b)(6); (b)(7)(C) -- in 2012 or from

19 (b)(6); (b)(7)(C) That's right. (b)(6); (b)(7)(C)

20 (b)(6); (b)(7)(C) I said.

21 But he would -- he would tell me that he got
22 feedback from dozens of people at Walgreens. I mean, so
23 they -- it's a pretty sophisticated company who use --
24 they had lot of people looking at these contracts.
25 Every single line they come renegotiate it because some

0795

1 other executive had jumped in at the last minute.

2 So -- but he was a point person.

3 Q So for -- for --

4 A So where the language came from, I don't know.

5 Q But -- but in terms of who communicated to you,
6 it would be --

7 A (b)(6); (b)(7)(C)

8 Q
9 A

10 Q Okay.

11 A Most likely, yeah. And it could have been
12 (b)(6); (b)(7)(C) too. He was also involved. But (b)(6); (b)(7)(C)
13 was the one who was working with me on the language of
14 the contract.

15 Q What about on the Theranos side; other than
16 yourself, who was involved in -- focused specifically on
17 the language of the contract?

18 A Well, I used to run everything by Elizabeth
19 back then. Because we were small company in 2012, 50,
20 60, 70 people, something like that.

21 So I used to always, you know, tell her what
22 was going on in the contract. I would show her the
23 language. But then I would be editing it.

24 And then I believe we also ran it by our

25 outside counsel, who looked at the contract language to
0796

1 give us advice on -- on different issues, different
2 matters.

3 Q And without inquiring about the nature of the
4 advice, who was outside counsel for?

5 A I believe [REDACTED] was the outside
6 counsel.

7 Q Any particular partner or lawyer there?

8 A I wouldn't remember the names. You know, it's
9 been seven years, six years, five years.

10 MR. KOLHATKAR: Okay. You could put Exhibit 49 to
11 the side.

12 THE WITNESS: All right.

13 MR. McKAY: Just slow down a little bit.

14 THE WITNESS: Oh, sure.

15 MR. KOLHATKAR: I'm going to hand you what's been
16 previously marked as Exhibit 265.

17 MR. COOPERSMITH: Thanks.

18 BY MR. KOLHATKAR:

19 Q So I think in one of your answers a minute ago
20 you -- you -- you referenced the 2015 negotiations.

21 So I've handed you what's been marked as
22 Exhibit 265. It's Bates-stamped THER-0982058. I'm not
23 going to ask you to review it in full. But if you could
24 review the cover e-mail at least and let me know when
25 you've had a chance to read it.

0797

1 A (Examines document.)

2 Okay.

3 Q Do you recognize Exhibit 265?

4 A I do.

5 Q What is it?

6 A In 2015 we had started to renegotiate our
7 agreement with Walgreens. And seems like this is one of
8 the drafts of that -- that process.

9 Q And is it an e-mail that -- that you sent to
10 Ms. Holmes forwarding a message from [REDACTED]?

11 A Yes.

12 Q And does [REDACTED] attach a proposed draft of
13 that contract?

14 A Seems like it, yes.

15 Q And -- and if you look at the -- your -- the
16 second paragraph of the e-mail from yourself to
17 Ms. Holmes, did you write: "The innovation payment
18 language is closer to what we had wanted"?

19 A Seems like it, yes.

20 Q Okay. So I want to turn to the innovation
21 language. It -- it looks like there are basically two
22 copies of the contract attached. One's a redline, and
23 one's a -- a clean copy.

24 If we could look at the -- the clean copy at
25 the page Bates-ending THER-0982063.

0798

1 A Sorry. 63. Okay.

2 Q It looks like -- the innovation fee is now
3 Section 5 of the -- of the contract, and it goes on on
4 the next page.

5 Do you see that?

6 A I see it.

7 Q Could you read Subsections (a) and (b), and let
8 me know when you've had a chance just to review them to
9 yourself.

10 A (Examines document.)

11 Okay.

12 Q Do you recall reviewing this draft contract at
13 the time?

14 A I probably did, yes. I don't recall it, but
15 I'm pretty sure I did.

16 Q Can you tell me what about this proposed
17 language for the innovation fee is -- is closer to what
18 you had wanted in 2015?

19 A I wouldn't be able to recall that, what I had
20 said to her -- what I -- what we had in my mind -- I --
21 in my mind or what we had discussed. But, I mean, I can
22 respond to what's here. But I don't recall.

23 Q I guess what was -- do you recall what you had
24 in mind in terms of what you had hoped to see in terms
25 of language for the innovation fee?

0799

1 A No. I mean, the -- the negotiating process
2 with Walgreens was a pulling hair process. And you will
3 go back and forth with them and negotiate a thousand
4 things and then land on something.

5 So I wouldn't recall every single detail about
6 what I expected in certain draft. I'll have to look at
7 all the communication and my notes to be able to do.

8 Q Generally did -- do you have a recollection of
9 what your hope was in terms of the innovation fee in
10 that contract negotiation?

11 A Yeah. I mean, I -- I think, first of all, it's
12 important to draw a line between the word -- use of word
13 "innovation fee," the way we had used the word
14 "innovation fee" in the original contracts through
15 December 2013 when we signed the amendment and -- and
16 what was -- what we were negotiating here.

17 This is something that we hadn't signed, as you
18 know. This is 2015 negotiating draft.

19 So internally we were using this innovation fee
20 as a label towards the money that we had earned to see
21 how now we can incent Walgreens to do things that were
22 favorable to us in a certain way.

23 So we were going to use this money now to
24 create some incentives to -- for Walgreens to be able to
25 give us some terms.

0800

1 For example, we were negotiating the economic
2 terms of the deal. The original deal we were paying

3 them \$10 per transaction. And the new one I wanted to
4 pay actually zero. But we were still negotiating. We
5 were going back and forth between 4 and 6. Ultimately
6 I -- I -- my intent was to bring it down to zero.

7 Q Why did you use the term "innovation fee" to --
8 to refer to that latter point?

9 A It's just a label we use towards the money that
10 we had earned. Now we were going to use it for our
11 business in however way wanted. And because, at least
12 in my mind, we had earned it from Walgreens, I was going
13 to use it now to see if we can, you know, use that money
14 to build out stores at Walgreens or incent them to give
15 us something that we wanted, incent them to give us
16 some -- some terms that otherwise they may not have
17 agreed to.

18 So it's just a -- it's like referring to money
19 as, you know, kid's college fee but then using it to buy
20 a car will do it. But it's like -- it's a label that we
21 were using internally.

22 It's not like a jersey that we had retired
23 forever in -- in January of 2014 that we will never use
24 this term again. It was more loosely referring to the
25 money that we earned from Walgreens and how we can use

0801

1 it now as we were sitting on the table and negotiating
2 the contract.

3 Q If you take a look at the proposed
4 Subsection (b) there, 5(b) on THER-0982064 --

5 A Mm-hmm, yes.

6 Q -- it's still includes language about opening a
7 thousand locations and certain amounts of net revenue.

8 Do you see that?

9 A I do.

10 Q In your view, was this language no longer
11 applicable in 2015?

12 A No. In my view, we were negotiating. And in
13 2015 -- like I said, Walgreens, it's a contract
14 negotiation -- negotiating organization. These guys
15 negotiate contracts with the most aggressive companies
16 in the world: pharma companies, insurance companies,
17 hospitals, tobacco companies, alcohol companies, sugar
18 providers. I mean, these guys know how to negotiate a
19 contract. And I learned a lot from these guys.

20 So at this point I was keeping things in play
21 so that I can get things from them and show them carrots
22 so I can say, "There's something in for you."

23 So but this is a negotiating process. Doesn't
24 mean I had agreed on this language or any language. As
25 a matter of fact, this is a draft they sent me in

0802

1 February of 2015. Even through September of 2015 we
2 still hadn't agreed on a contract. We were still going
3 back and forth.

4 So like I said earlier, my -- my intent was

5 that, if we were going to incent them by saying, "Okay.
6 I -- you know, here's some money we can give to you,"
7 which they, I understood, needed badly, "then here are
8 some concessions I would need from you."

9 And like I said, the per-patient fee that I
10 brought down from \$10 to \$4, I was going to get it down
11 to \$0.

12 Q The -- did you view Walgreens' proposed
13 contract language here as a suggestion that they didn't
14 believe the innovation fee was completely earned by
15 Theranos?

16 A No. That would be false. They knew we had
17 earned it. That was what we negotiated. And like I
18 said, it was not one young MBA from Walgreens who
19 negotiated this contract; they had an army of people who
20 negotiated the contract.

21 Walgreens had the -- has the habit of coming
22 back and asking you for your first child if they could
23 have it. So no. Just because Walgreens said they --
24 that, you know, they wanted this money back and that
25 thing back doesn't mean it's theirs.

0803

1 Q Who at Walgreens -- I -- I think you mentioned
2 this in a prior answer as well.

3 Who -- who at Walgreens told you that Theranos
4 had earned the hundred million dollar innovation fee?

5 A I think I answered the question earlier. Every
6 single person at Walgreens back then when I spoke with,
7 even in 2015 when [b)(6); (b)(7)(C)] came and
8 visited us, they said, you know, "We have looked at this
9 contract. It's ironclad. You have earned it. It's
10 nonrefundable to Walgreens."

11 Q Do you recall when in 2015 [b)(6); (b)(7)(C)]
12 [b)(6); (b)(7)(C)] said --

13 A Summer of -- summer of 2015 when I was
14 negotiating this contract with them.

15 BY MS. CHEN:

16 Q Did you have any communications with them prior
17 to 2015 about this point?

18 A Yeah. I mean, all along since 2012 when we
19 signed the amendment and 2013, 2014, this thing about
20 this is refundable to them and if X, Y, Z happens then
21 they could just ask for a refund was not on the plate.
22 This was not -- this is what we had negotiated.

23 If you read the language, what they wanted from
24 us was not to go to CVS. They wanted us to stay away
25 from CVS and -- and others. They also pushed for

0804

1 Walmart and Target and others.

2 And if you read the language around the
3 original exclusivity, they didn't say we are giving them
4 exclusivity around fingersticks or around software or
5 around this and that. It was exclusivity that was the
6 prime key value to them.

7 So every single person on the other side at
8 Walgreens knew this is what we were negotiating for.

9 Q So the language that's under -- I guess this is
10 5(b) in Exhibit 265 -- it seems --

11 A That's --

12 Q -- identical to the language that's in 6(c) on
13 Exhibit 49.

14 If Walgreens thought that innovation fee was
15 nonrefundable, why -- and that was your understanding as
16 well, why -- why would -- why wouldn't this language
17 have been kept --

18 A Because --

19 Q -- from the last amendment?

20 A I'm sorry. I didn't mean to interrupt.

21 Because they are Walgreens. They would ask you
22 for everything they have given you back and some if they
23 could. This is how they negotiated. They are retailers
24 cutthroat business, 4 percent margins. This is how they
25 negotiate.

0805

1 So this draft came from Walgreens to us. This
2 is not me saying, "Yeah, good idea. Just put this
3 language in there."

4 If -- if it -- if it were up to them, they
5 would have a -- if you look at the redline, they
6 probably have removed a whole bunch of things that were
7 favorable to Theranos from this contract and kept stuff
8 that's favorable to them. This was their habit. And
9 this is why a contract with Walgreens took, you know,
10 months.

11 And any time I had to go and negotiate a
12 contract with Walgreens, I mean, I used to pray that I
13 can get out from that meeting safe. Because these
14 meetings took a long time. And these guys knew -- and
15 their thing was they would put everything in the
16 contract; we will negotiate with one guy -- let's say I
17 will sit down with -- b)(6); (b)(7)(C) will negotiate
18 something. We will agree. We redline it. We remove
19 it.

20 Goes back to Walgreens. They'll sit on it for
21 a month and come back. And the same language is there
22 again. And they say, "Oh, this other executive thought
23 this was important to us. So let's renegotiate."

24 That's why it took so long. And that's why
25 they will put it back -- any time -- even if I removed

0806

1 it ten times, they will put it back. Didn't mean we
2 agree to that.

3 BY MR. KOLHATKAR:

4 Q Did Walgreens' auditors at Deloitte ever
5 contact Theranos to analyze the collectability of the
6 innovation payment?

7 A I don't recall if they contacted us directly.
8 I think there was one or two conversations we had had

9 early on, maybe in 2011 or '12, around that issue. But
10 I don't remember the exact specifics. If I see some
11 documents, I'm sure it will refresh my memory.

12 Q Do you recall any -- just from your memory, if
13 you provided them with any information?

14 A You know, I don't think we provided them
15 anything, but I could be wrong about that. I will have
16 to kind of look at my communications with them.

17 Q Were you aware in 2014 that Theranos had
18 retained KPMG to conduct a review of its 2012 and 2013
19 financial statements?

20 A I don't know exactly the -- whether this was we
21 retained them in 2014 to conduct '12 and '13 statements.
22 But I do believe we had retained them to do audit of our
23 financials for 2012, '13, and beyond. So but I don't
24 know if that was -- that happened in 2014 and if those
25 were the only two purposes.

0807

1 Q But you did understand KPMG was auditing
2 Theranos's financials; is that -- at -- at --

3 A We want -- we wanted them to audit our
4 financials, yes.

5 Q And whether or not they completed it or not,
6 they were engaged to -- to start that work.

7 A They were engaged to start. I don't know if
8 they even started their work.

9 Q Were you aware that KPMG believed that the
10 initial innovation fee payment should be accounted for
11 as a customer deposit on Theranos's balance sheets and
12 should not be recognized as revenue?

13 A I don't think KPMG got deep enough into any of
14 those details to be able to form their opinion. And --
15 and so answer is, if this was their opinion, I would
16 have known about it. But I don't think this was
17 their -- their formal opinion.

18 Q In other words, you were not aware of that
19 opinion from KPMG?

20 A That's correct.

21 BY MS. CHEN:

22 Q With respect to Deloitte, why would they be
23 asking for evidence of collectability of the innovation
24 fee if the innovation fee wasn't supposed to be
25 collectible by Walgreens?

0808

1 A You mean --

2 MR. COOPERSMITH: Do you have a time frame as to
3 when you're saying Deloitte was asking the question?

4 BY MS. CHEN:

5 Q Well, Mr. Balwani, you recall that there were
6 discussions sometime in 2011 or 2012.

7 That was what you said in your testimony,
8 correct?

9 A No, no, no. What -- I was responding to the
10 questions if I recalled a Walgreens auditor asking us

11 for something. And I -- my response was I didn't know
12 it was Deloitte or who the auditor was.

13 My recollection is I think we had a discussion
14 with Walgreens around that, but I don't remember the
15 specifics of what it was. And I certainly don't know
16 why Walgreens' auditors would be asking for something
17 from Walgreens. I -- I would not be able to comment on
18 that.

19 Q So what were the discussions that you recall
20 happening in 2011 and 2012?

21 A I don't. I just remember that we had a
22 discussion around this topic. But like I said, if I saw
23 my e-mail communication with Walgreens guys or whoever,
24 chances are it's going to be with, you know, lawyers or
25 accountants there. If I saw those, that would probably

0809

1 refresh my memory. I can comment on that. But it was
2 so long ago, there's -- there's no way I remember that.

3 BY MR. KOLHATKAR:

4 Q When -- in your prior testimony we generally
5 discussed Theranos's financial model and the potential
6 to -- to perform services for pharmaceutical companies
7 at Walgreens locations.

8 A Yes.

9 Q Did Theranos have a team of employees working
10 on potential pharmaceutical services in 2014?

11 A No. I think I had commented that we would have
12 to -- we had to hire somebody to lead that effort. At
13 that point we hadn't -- I mean, before I came to
14 Theranos, this is what Theranos did. And -- and there
15 were people who were familiar with this business who had
16 engaged with pharmaceutical companies before I had come
17 on board. So in -- in a way, yes, we had those people.

18 But in order to fully engage with that business
19 we had to build a team from -- again.

20 Q In 2014 was it anyone's job at Theranos to work
21 on pharmaceutical services?

22 A Not to best of my knowledge.

23 Q What document would best reflect your
24 expectations for Theranos's retail growth at any given
25 point in time?

0810

1 A I'm not sure I understand the question.

2 Q So we talked, when -- when you were here last
3 time, about the financial model.

4 A Right.

5 Q Do you recall that?

6 Would the financial model best reflect your
7 assumptions about Theranos's likely retail growth at any
8 given point in time?

9 A If I'm -- I'm not sure if I'm answering your
10 question, but I'll attempt it.

11 The financial model I was using as a planning
12 tool also. So all the information that came to me from

13 field and from the Internet, whatever I learned -- I
14 think I mentioned that to you also -- I would put that
15 in the model.

16 So in a -- in a way, the answer to that
17 question is yes, the model did reflect my assumptions
18 about growth. Because like I said, I was using this as
19 a planning tool for business also.

20 Q Were there any other documents other than the
21 model that you would have used to sort of plan out your
22 expectations for retail growth at Walgreens or other
23 retail locations?

24 A I mean, I think the answer to that is no. But
25 I actually don't know what would be -- for example, if
0811

1 there's an e-mail exchange between me and Walgreens or
2 some documents or meeting minutes from them that came,
3 and I knew -- I was aware that document is there, would
4 that be considered a -- a document for that purpose or
5 not.

6 But I'm trying to narrowly define content that
7 I personally proactively created. And model I think
8 would be the one.

9 Q I also want to clarify a couple of points in
10 your understanding of -- of CLIA's -- of Theranos's CLIA
11 lab operations.

12 A Okay.

13 Q Who at Theranos made the determination of what
14 device to use for patient testing in the CLIA lab?

15 A It's evolved over time. Initially in 2010,
16 2011 -- it was, I think -- I was not involved. It was
17 the lab. We had one lab director with three or four
18 individuals. They did some research on what to pick,
19 what to use. And they had picked the original devices.

20 And then after 2011, from a product dev
21 perspective, I got involved, understand how the, you
22 know, other companies use it. And then I started
23 playing more and more active role.

24 But even there my participation was from a
25 business perspective. The technical decisions were
0812

1 being made by the CLIA lab directors and the scientists
2 and engineers in the company.

3 Q So, you know, just using a hypothetical of a
4 test that can be run on any of the three models --

5 A Sure.

6 Q -- you know, the TSPU, the modified or the
7 unmodified, who would have the final say about which
8 machine Theranos should use to test CLIA samples in the
9 lab?

10 A Well, the final say is always the lab director.
11 So lab director, if they don't sign up, that's the end
12 of it.

13 Q Okay.

14 A But --

15 Q Was that true throughout your time at Theranos?

16 A Yes.

17 Q Okay. Was it your understanding in 2014 that
18 Theranos primarily used third-party analyzers in its
19 CLIA lab, whether modified or unmodified, for patient
20 testing?

21 A That's -- that's a difficult question to
22 answer.

23 Are you talking about in terms of number of
24 devices or number of tests or --

25 Q In term --

0813

1 A -- primarily in terms of what?

2 Q In terms of number of tests.

3 A I wouldn't be able to answer. I will have to
4 look at the data. But, I mean, the modified devices are
5 not commercially available, as you know. I think I
6 explained to you they were very significantly modified.
7 So I considered them as not commercially available
8 devices anymore once we modified them to the extent that
9 we did.

10 So I would say, if you -- if you use Theranos's
11 technology, then I would say in 2014 majority were being
12 used on some form of Theranos's technology. But again,
13 I could be off. I'll have to look at the data in our
14 lab information system.

15 Q What -- what do you mean by "Theranos
16 technology" in that answer?

17 A Well, when we modified the commercial
18 analyzers, we modified the software, the hardware, and
19 bunch of other things that I -- I think I had shared
20 that with you. So that made them not commercially
21 available anymore. Significantly modified.

22 And so that -- I -- I used -- I considered that
23 as Theranos proprietary technology. We had a discussion
24 about that around our trade secrets.

25 So if you put -- take that world, then I would

0814

1 say majority of the testing was being done on those
2 devices on Theranos's technology. But I could -- like I
3 said, I could be wrong. I will -- the numbers changed
4 by month, you know, based on ordering patterns and bunch
5 of other things.

6 Q In that last answer would the -- would the
7 Theranos technology also include the -- the TSPU?

8 A Yes.

9 Q Okay. So is it fair to say that, in your view,
10 Theranos's technology consisted of -- in the CLIA lab
11 setting -- of the -- the TSPU and then the modified
12 third-party devices?

13 A Yes.

14 Q Is it fair to say that the unmodified
15 third-party devices did not -- would not be Theranos
16 proprietary technology?

17 A If -- if you're talking about only in the
18 context of the CLIA lab, the answer is yes.

19 Q I'll hand you what's -- you can put the other
20 exhibits aside. Thank you.

21 I'll hand you what's previously been marked as
22 Exhibit 201. And I'm not going to ask you to review
23 this in full. But if you could review the cover page
24 and what -- let me know when you've had a chance to
25 understand what this document is.

0815

1 A (Examines document.)

2 Okay.

3 Q Have you -- do you recognize Exhibit 201?

4 A I could recognize by looking at the cover page,
5 yes.

6 Q Do you -- do you understand it to be Theranos's
7 responses to a set of interrogatories in the PFM
8 litigation?

9 A Seems like it.

10 Q If you turn to the page ending in 3358, do you
11 see Request No. 64 there?

12 A I do.

13 Q And you stated, asking Theranos to identify any
14 commercially avail -- available machine that Theranos
15 modified for use to process tests on capillary or other
16 microsamples?

17 A I do.

18 Q If you look past the -- if you could -- if you
19 turn the page to 3362.

20 A Okay.

21 Q Do you see that part of the answer lists four
22 devices there: the Siemens ADVIA 1800, BD Biosciences
23 LSR Fortessa, BD Biosciences FACSC -- or FACSCS --

24 A FACS -- yeah. FACSCanto.

25 Q -- Canto --

0816

1 A Yes.

2 Q -- II, and the Drew Scientific Drew-3?

3 A I do.

4 Q Is that consistent with your understanding of
5 the third-party machines that Theranos modified in order
6 to test capillary or microsamples of patient blood?

7 A In the CLIA lab.

8 Q In the CLIA lab.

9 A I think that seems right.

10 Q Was this also your understanding at the time
11 these -- these devices were modified for that purpose?

12 A For what purpose? For CLIA lab patient sample
13 testing --

14 Q For --

15 A -- yes.

16 Q In other words, you under -- there -- there are
17 some time periods here listed for when these devices
18 were used?

19 A Yeah. I mean, I don't know about the time
20 periods, obviously. But the devices' names sound
21 correct.

22 BY MS. CHEN:

23 Q Was it your understanding, from 2013 to 2015,
24 that these four third-party machines were being modified
25 by Theranos to test patient samples?

0817

1 A Correct.

2 BY MR. KOLHATKAR:

3 Q Were you aware of any other third-party
4 machines that had been modified by Theranos for -- to
5 test patient samples in the CLIA lab?

6 A Off the top of my head, I don't know. I can't
7 think of any.

8 Q Who at Theranos would best know the answer to
9 that question?

10 A Well, the lab director, but -- would know. But
11 I think this information is in the software. Software
12 tracks all single details. So no one person has to --
13 had to really memorize this information.

14 Q Would that software also reflect the machine in
15 the -- in the LIS database, sort of the output?

16 A Yes.

17 Q If you turn to the next page, in -- in response
18 to -- to (d), there's a -- there's a list of -- of tests
19 that were available to be run on the modified
20 ADVIA 1800.

21 Do you see that?

22 A I do.

23 Q Could you take a minute and just read the list
24 to yourself, please.

25 A Sure.

0818

1 (Examines document.)

2 Okay.

3 Q You've had a chance to review pages 36 -- 3363
4 through 3366?

5 A Yes.

6 Q You see that it lists 49 tests there?

7 A Yes.

8 Q Is this list that appears on those pages
9 consistent with your understanding of the tests that
10 were run on a modified protocol of the ADVIA 1800?

11 A I -- I wouldn't remember exact tests running
12 from what time to what time. So there's no way for me
13 to be able to -- be able to memorize this.

14 Q You don't -- you don't have any personal memory
15 of on a test-by-test basis?

16 A Unfortunately, no. That would be nice, but I
17 don't.

18 Q What about for the -- if you look at the
19 paragraph below the -- the last -- below No. 49, it
20 references the fact that the BD Biosciences LSR,

21 Fortessa, the FACSCanto II, and the Drew Scientific were
22 used to process complete blood count test panel?

23 A I see that.

24 Q Was that consistent with your understanding of
25 what those three machines were used for? In the CLIA
0819

1 lab again.

2 A I think so. But, you know, I could be wrong.
3 These are -- these are pretty arcane lab details. But I
4 would say, from a business perspective, it looks
5 reasonable.

6 Q Did you remember any other tests being run on
7 those machines?

8 A You know, I think there was one test that we
9 were -- we had validated called TBNK. I don't know if
10 it was -- went live or not. It may actually have gone
11 live. So -- but I may be wrong about that. So it may
12 be something that was done in R&D.

13 BY MS. CHEN:

14 Q So if you look at 3363 to 3366, which lists the
15 tests that were being run on the Siemens ADVIA 1800
16 analyzers that had been modified by Theranos, was it
17 consistent with your understanding from 2013 to 2015
18 that there was a maximum of 49 tests that were performed
19 using the modified Siemens ADVIA 1800 analyzer?

20 A I wouldn't remember the exact number.

21 Q Was that around -- about 50 tests, was that
22 around -- does that seem about right to you based on
23 your understanding?

24 A Yeah. I think my recollection is that in -- in
25 total we had about 80 tests off -- 70 to 80 tests
0820

1 offered from fingerstick. So if 40 to 50 are running on
2 ADVIA 1800, that sounds like a reasonable number.

3 Q Okay. And that was your understanding back in
4 2013 to 2015 as well?

5 A I actually don't know if I knew, in 2013, '14,
6 '15, exactly how many tests were returning on what
7 machine. But at some point over time I did acquire that
8 understanding, that our total number was about that
9 ballpark.

10 BY MR. KOLHATKAR:

11 Q You mentioned a total of around 80.

12 And I think we -- we talked about -- about a
13 dozen being run on the TSPU; is that --

14 A Sounds right. In CLIA lab.

15 Q In -- in the CLIA lab.

16 Did you have an understanding in 2014 that
17 about -- about 12 tests were being run on the TSPU in
18 the CLIA lab?

19 A Again, I wouldn't be able to pinpoint dates and
20 times, but the number sounds reasonable.

21 MR. KOLHATKAR: We've been going almost an hour.
22 Why don't we take a quick break.

23 We're off the record at 1:46 p.m.

24 (Recess taken.)

25 THE VIDEO OPERATOR: We're back on the record. The

0821

1 time on the video monitor is 1:38.

2 MR. KOLHATKAR: So we're back on the record at 1:38.

3 BY MR. KOLHATKAR:

4 Q Mr. Balwani, just to confirm, you didn't have
5 any substantive discussions with the staff during the
6 break; is that correct?

7 A That's correct.

8 Q So I actually do want you to take -- take a
9 look back at Exhibit 201 just for another minute, if you
10 wouldn't mind.

11 So I just want to -- taking a look at the total
12 number of tests here, it looks like Exhibit 201
13 identifies 49 tests that could be run on the -- on the
14 ADVIA 1800; is that right?

15 A That's what it -- from this document, yes.

16 Q Again, in the CLIA lab.

17 A Yes.

18 Q And I think we had talked last time about a
19 dozen or so being run on the -- on the TSPU; is that --

20 A Sounds right.

21 Q And then looks like the other three devices are
22 all used to -- to run a complete blood count. So one
23 more test on -- on the other kind of modified devices.

24 A Was -- complete blood count is not one test. I
25 think it's combination of 10 or 12 tests or some number

0822

1 tests. It's not just one test.

2 Q How many tests did Theranos offer, again
3 approximately, in its CLIA lab setting, in 2014?

4 A I -- I think the number changed. But it was
5 between 150 to 200 or 210 or 220-ish. That -- I mean,
6 I'm giving you a pretty broad ballpark here, but
7 that's -- that's the number.

8 Q So if we -- if we add together sort of the --
9 the number of tests that are available on the TSPU, the
10 modified ADVIA 1800, and the three other devices listed
11 in -- in -- in response to Interrogatory 64, it's
12 about -- it's fair to say about 73, 74 tests; is that --

13 A Sounds reasonable.

14 Q So is it -- was it your understanding in -- in
15 2014 that Theranos was running about half of its tests
16 or fewer on Theranos technology?

17 A Yeah, I think -- maybe I should clarify. Maybe
18 you're confusing two things here. Maybe you're not. I
19 just want to clarify.

20 When I was here last time, we had walked
21 through this concept of person digital test or how many
22 tests in details about -- based on all you're talking
23 about, the visits, how many tests you are doing
24 actually, how many tests you could have done.

25 And I think I had gone through a pretty

0823

1 complicated example that probably bored everybody, but I
2 had gone through the example.

3 So I just want to make sure that you're
4 saying -- when I said earlier that we are doing majority
5 of the tests, we are talking about the majority of the
6 people coming in for -- on -- on whom we were doing the
7 fingerstick as a test, or are you saying what percentage
8 of individual line items test that were on a menu were
9 being done on fingerstick versus how many could have --
10 we could have done.

11 So I -- there are three different things there
12 at least.

13 Q I think I -- my -- my question's maybe simpler
14 than -- than --

15 A Okay.

16 Q -- than any of those.

17 In terms of the tests available on Theranos's
18 menu in 2014 --

19 A Just the items -- test items.

20 Q -- test items, 150 to 200 and --

21 A Yes.

22 Q -- 10, was it your understanding in 2014
23 that -- that about half or less were -- were being run
24 on Theranos technology?

25 A That would be about 70 to 80. So about that

0824

1 number, yes. That would be correct.

2 Q In the CLIA lab setting at the --

3 A Correct. Yes.

4 Q And you understand that in 2014.

5 A In terms of individual tests? Again, I think I
6 answered that also. At what point in 2014 I knew
7 exactly what numbers. It may have changed. But in
8 general I knew that we were performing about 70 to 80
9 tests from nanotainers -- let's call that -- that
10 microvolume or nanovolume. And then our total menu on
11 the website, what -- that we offered to the public was
12 between 150 to 200.

13 During what time in 2014, it may have changed.
14 But that's my recollection.

15 BY MS. CHEN:

16 Q And the remainder of the tests were being
17 performed on unmodified third-party machines or were
18 being sent out to reference labs; is that correct?

19 A I would say that's correct, yes.

20 But again, just to clarify, that does not
21 correlate to the volume, right? And I think I went
22 through the example that you could have, you know, four
23 groups of patients, you know, come in with 90 percent of
24 the tests we could have done from fingerstick. But if
25 one of the tests triggers renal puncture, everything

0825

1 moves to renal puncture.

2 So now in that case, you know, you did zero
3 tests on fingerstick, right?

4 So I -- if you want, I can rehash that example.

5 BY MR. KOLHATKAR:

6 Q I think I under -- my question wasn't about
7 sort of the frequency of the tests or --

8 A The visits.

9 Q -- or the visits --

10 A Yeah.

11 Q -- themselves.

12 A Yeah.

13 Q The --

14 A But -- even to -- just to be clear, again, from
15 our perspective, that's a more important measure.

16 Because it's the number of patients you touch that
17 matters, not necessarily that's on your menu.

18 Q In -- in 2014 did you have a sense -- I'll --
19 I'll strike that.

20 In your earlier testimony we also briefly
21 discussed that you attended a meeting at Johns Hopkins.

22 Do you recall that generally?

23 A I -- I attended -- I recall attending a meeting
24 at Johns Hopkins.

25 Q Okay.

0826

1 A Yes.

2 Q When do you recall -- when -- when do you
3 recall attending a meeting at Johns Hopkins?

4 A I think it may have been earlier in the
5 process, between 2010 and 2012 time frame. I don't know
6 which -- which -- which year, which month.

7 Q And do you recall bringing a -- an earlier
8 version, a 3.0 or a 3.5 version, of the TSPU to that
9 meeting?

10 A Yes.

11 Q Who else attended on Theranos's behalf?

12 A I believe myself and Ms. Holmes for sure. I
13 don't know if he brought somebody else to carry around
14 our stuff or attending the meeting or not. I don't
15 remember.

16 Q Where did the meeting take place?

17 A Maryland, at Johns Hopkins' campus.

18 Q Who attended on the -- on -- on behalf of Johns
19 Hopkins?

20 A They had I think at least three or four
21 experts. One was their chief lab director -- not just
22 lab director, but I think he was head of all the labs at
23 Hopkins. I forgot the names. It's been a while.

24 Then they had another guy who was an expert in,
25 you know, microsamples and diagnostics technologies.

0827

1 And they had couple other MDs, I think. They had four
2 or five people, if I'm not mistaken.

3 Q What was the purpose of that meeting between
4 Theranos and Johns Hopkins?

5 A Well, Walgreens had asked for the meeting.
6 They had arranged the meeting. We didn't ask for the
7 meeting. They had wanted us to meet with Hopkins so
8 Hopkins can ask us questions; do the due diligence on
9 our architecture, our technology; and assess the
10 potential of what we were doing and -- and what we could
11 do. So it was a Walgreens meeting.

12 Q Did you provide any information to Johns
13 Hopkins before that meeting?

14 A I don't recall.

15 Q Do you know who provided information to Johns
16 Hopkins after that meeting?

17 A We may have. I wouldn't remember. It's been a
18 while.

19 Q What do you recall from that meeting with Johns
20 Hopkins?

21 A It was -- I think it was a positive, friendly
22 meeting. We spent -- I don't know how long we spent,
23 but felt like a long time there answering the questions,
24 show them the architecture, show them the consumables.
25 I showed -- I think we showed them even the fingerstick
0828

1 process, but I'm not sure if we actually had performed a
2 fingerstick or not. They were more interested in the
3 science and data. And we discussed that in detail.

4 Basically whatever questions they had around
5 our technology and architecture, we answered those
6 questions.

7 Q Did you show them -- was there like a
8 PowerPoint that Theranos had prepared for that meeting?

9 A I don't remember if we had a PowerPoint. I
10 know we had brought our data. So I don't know if that
11 was in slides or hard copied. I -- I don't remember
12 that.

13 Q Did you understand that meeting to be for the
14 purpose of Johns Hopkins validating Theranos's
15 technology?

16 A That's what was communicated to us by
17 Walgreens, is -- is my recollection, yes.

18 Q And under what standard did you understand that
19 validation to take place?

20 A I don't know. That was a Walgreens-Hopkins
21 discussion that I don't think I -- I participated in.
22 So what was their arrangement, what were they
23 validating, what were the terms, I -- I wouldn't know
24 that.

25 Q Did you receive a copy of a report completed by
0829

1 John Hopkins from that meeting?

2 A I recall us getting a final copy that
3 actually -- I don't think even Hopkins shared that with
4 us. I think Walgreens gave us a copy of that report.

5 That's my recollection. But I could be wrong on that.

6 But Walgreens gave us a copy of the assessment
7 and the summary of the meeting.

8 Q Did you represent to investors that Johns
9 Hopkins had validated Theranos's technology?

10 A I don't recall what was in the report. Was the
11 report that we had showed to investors. So I don't know
12 what was the language in there that I used.

13 Q Did -- separate from -- did -- did you -- you
14 provided that report to investors?

15 A I think the report was part of the slide deck.
16 Or during the meetings we usually would -- you had --
17 had the report handy.

18 So if that discussion came up, we will show
19 them the whole report. Because there were certain
20 passages in the report which we liked. So --

21 Q What validation work did you understand Johns
22 Hopkins had done on the -- on Theranos's technology?

23 A Well, they had validated our architecture.
24 They looked at the data. They looked at how we had
25 designed the device. They looked at the architecture of

0830

1 our consumables. They looked at how our consumables and
2 our fingerprick, you know, match or mate with each
3 other, and how we collect the sample.

4 None of those are small, trivial issues. So
5 they saw the architecture of how we had solved it. And
6 we were planning on solving other things in the future.
7 So we had a fairly detailed discussion around tech with
8 them around that.

9 Q And what -- what about that led you to the
10 understanding that they had validated that -- that --
11 that architecture?

12 A Again, I think I -- I -- I don't know if I used
13 the word or not. What was -- whatever it was there in
14 the Hopkins letter is what I probably used. So if I see
15 the letter, I'll probably be able to tell what was there
16 if I -- and if it says "validated" -- "validation," then
17 I would probably have used the word "validation."

18 Q What did you understand "validate" to mean in
19 terms of assay technology in 2013 or earlier?

20 A Well, I mean, I think I had shared with you
21 Theranos did a really broad set of things. It was a
22 pretty broad set of technologies. And Hopkins looked
23 at, you know, one time point of that technology of what
24 we were doing.

25 So I didn't necessarily attach a specific

0831

1 meaning to the word "validation." The point was we had
2 met with Hopkins; Walgreens had hired Hopkins to look at
3 our technology, look at our architecture and -- so that
4 Walgreens reaches a certain level of satisfaction, which
5 is what they did.

6 It was Walgreens I think that even came up with

7 the word "validation," if -- if it is not our word. If
8 it was in the report, then it's probably either Hopkins
9 or Walgreens came up with that word.

10 But I didn't necessarily attach any specific
11 meaning to the word "validation." It was more that
12 Hopkins guys have seen it, and they -- they did that on
13 behalf of Walgreens.

14 BY MS. CHEN:

15 Q What do you mean by "architecture"?

16 A So if you look at how our TSPUs and our entire
17 technology stack is built, it is extremely different
18 from how the traditional labs have -- have evolved. We
19 actually literally put it on -- on its head.

20 What we did was, if you look at a traditional
21 lab or traditional lab industry, either you have CLIA
22 waived devices, which are near patients, so glucose
23 meters and such, and all you have these devices that are
24 in a central lab location, whether it's high complexity
25 or moderate complexity.

0832

1 But those devices require oversight, human
2 oversight. The reason is, as these devices perform QC
3 and QA, the software, which is attached -- physically
4 attached to the machine, displays that information, and
5 the human has to decide whether the device is good to
6 process patient samples or not.

7 So there's a lot of manual oversight that is --
8 goes over the device that happens in the lab, which is
9 why a -- trained professionals are required.

10 Our patents and our breakthrough -- and I
11 believe it's a very significant breakthrough -- was we
12 split those two things. Because there's this thing --
13 this thing called Internet and Cloud. We said, "Why
14 don't we that?"

15 Now, to get credit, this is something that
16 Elizabeth and other engineers at Theranos had done
17 before I came to the company in 2010. But what they did
18 was they split the -- the unit which processes the
19 sample and generates these signals from the software
20 that provides the oversight. And they put it on the
21 Cloud.

22 And the device now can be anywhere in the
23 world. But the central lab can still have oversight
24 over it as if the machine is running right in front of
25 them in the lab.

0833

1 And that's also covered in our FDA clearance.
2 This is why our first clearance from FDA was so
3 significant. Because it covers the entire
4 infrastructure. It covers not just the patient -- the
5 sample collection, but running the sample in the field.

6 Actually, the other thing that was really
7 unique about our architecture was, now that you have
8 split the TSPU from this rigorous oversight of a central

9 lab, you can put it near a patient. You can put it near
10 CLIA waived facilities. So clinics, even people's homes,
11 technically speaking, at some point.

12 And a person who can just do a fingerstick and
13 who's trained -- and I think a requirement was, in order
14 to get CLIA waiver, you have to prove that even a person
15 with 7th grade education can operate and run this
16 device, which is obviously not the case in a central
17 lab.

18 So -- and 7th grade education person can
19 collect the sample, put the cartridge. And the machine
20 and the software and the entire architecture is robust
21 enough to say yes, the sample was run successfully, or
22 no, it was not, and tell you why it was not. Now, the
23 QC may have failed, or the calibrators may have failed,
24 or something else may have gone wrong.

25 I think I had mentioned last time that, in the
0834

1 traditional CLIA waived devices, if you insert let's say
2 a glucose meter cartridge, if you had left that
3 cartridge in the sun in your car for like a day, the
4 machine won't tell you that your results are wrong.
5 Because the chemistry's probably off because of heat,
6 and you're supposed to keep it in a certain
7 temperature-controlled zone.

8 In our case, all of that intelligence was built
9 in the cartridge so that, when we ran the cartridge, we
10 could see if the calibrators are giving us the known
11 values or not. It goes to the Cloud.

12 The CLIA lab can say, "Yeah, the cartridge
13 looks okay. The reagents look okay. We got the right
14 answers. Therefore, the patient results is good," just
15 like they would have done in a central lab location.

16 So we file a patent on those things because
17 this changes the game for the -- the lab. Because now
18 what you have is a central lab which has all of this --
19 this word that we had used, TVA, Theranos Virtual
20 Analyzer. So this analyzer piece of software which
21 usually sits physically on the machine is now running on
22 the Cloud.

23 And the CLIA lab people are sitting literally
24 in front of the computers looking at all this data
25 coming in from the field. And these devices could be

0835

1 anywhere in the field, right? And it could be, you
2 know, literally Walgreens locations, doctors' offices.

3 And if anything goes wrong, proactive we know
4 on those dashboards something went wrong, so don't rely
5 on the result.

6 So what this gives -- allows us to do is you
7 have a convenience of a CLIA waived device, like glucose
8 meters, and ease of use also, but the oversight of a
9 central lab that comes with all this infrastructure,
10 architecture that we built. And that was the innovation

11 here.

12 Q So the architecture is the connectivity to the
13 Cloud?

14 A Is -- well, connectivity is the enabler. And
15 that certainly is a big component of that.

16 But the other architecture also is you insert
17 the cartridge, the device -- most of the devices that
18 you run in the central lab have these protocols loaded
19 on them, assays cleared on them, and the protocol's
20 already baked in. You cannot really change the
21 protocols.

22 In our case, the way -- because we were always
23 connected to the Cloud, when you insert a cartridge --
24 let's assume you have built a brand-new cartridge that
25 has a different combination of tests that requires a

0836

1 brand-new protocol.

2 You insert that in the device anywhere in the
3 world. The device is going to call back home lab
4 saying, "I've never seen this cartridge. What do I
5 supposed -- what am I supposed to do?"

6 The -- the central lab will send the protocol
7 to the device saying, "Here's the protocol you need to
8 run, including the oversight and the QA and the QC and
9 the calibrators." And then you generate the data, and
10 then you send to the Cloud.

11 So the Internet is enabler. But the fact that
12 we can modify the architecture, modify the protocols,
13 "modicy" the -- modify the movement of the device is
14 the -- is the big breakthrough here.

15 Q So when you say that Johns Hopkins was able to
16 see the architecture that's Theranos had built, what --
17 what did you show Johns Hopkins about the architecture?

18 A Well, we walked through this entire example.
19 And I don't know if we ran the test there or not. We
20 had left this device at Walgreens location also.
21 Walgreens had our TSPU I think for six months or nine
22 months or however long.

23 So I think some of the Walgreens executives
24 also brought their data, that had -- we ran a vitamin D
25 test. This is what --

0837

1 THE REPORTER: I'm sorry. That brought their
2 data --

3 THE WITNESS: And -- and showed it at Hopkins that
4 we ran vitamin D test or whatever test they ran. And
5 they were able to explain to them how it happened and
6 how it worked from Walgreens' perspective.

7 So I don't remember exactly what we discussed.
8 Like I said, it was a meeting more than five years ago
9 or six years ago. But this is the architecture that we
10 would discuss with them.

11 BY MS. CHEN:

12 Q Did you conduct a demonstration while at the

13 Johns Hopkins meeting?

14 A Like I said, I don't know if we actually did or
15 not. But we left the device with Walgreens. And I
16 believe they used to show this device to people who were
17 under NDAs with us. So I wouldn't be surprised if they
18 saw that at Walgreens.

19 Q Did you provide one of your analyzers to Johns
20 Hopkins for their review?

21 A Outside of this meeting?

22 Q Yes.

23 A No, I don't recall that.

24 Q How long was the meeting?

25 A Don't remember. It was few hours for sure. We

0838

1 had specially flown for this meeting. So I'm pretty
2 sure it was a long meeting.

3 Q And then before you ended up showing or sending
4 the summary of that meeting to investors, did you ask
5 Johns Hopkins whether that would be appropriate to do?

6 A We had asked Walgreens, and Walgreens was fine
7 with it. That's how we got the report, is what my
8 recollection is.

9 Q So Johns Hopkins had -- had no knowledge that
10 you had shown the report to --

11 A I don't know what Walgreens --

12 Q Let -- let me just finish --

13 A Sorry.

14 Q -- my question.

15 So Johns Hopkins had no knowledge that you had
16 shown the report or the summary to investors?

17 A I don't know the answer to that question.

18 Because we got the report from Walgreens because

19 Walgreens was the client for Hopkins. It's possible

20 Hopkins knew about it. I just don't know the answer to
21 that question.

22 Q But you didn't have any communications with
23 Johns Hopkins about the summary after that meeting?

24 A Me personally?

25 Q Yes.

0839

1 A No.

2 Q And you're not aware of anyone at Theranos
3 having any discussions with Johns Hopkins after that
4 meeting?

5 A I don't recall that.

6 BY MR. KOLHATKAR:

7 Q Did you ask Walgreens specifically if you could
8 provide the Johns Hopkins report to potential investors
9 in Theranos?

10 A Yeah. We had an NDA in place with Walgreens
11 that governed what we could share with investors or not.
12 So we didn't have to go one incidence at a time to ask
13 Walgreens what we could do with the content, which --
14 just like Walgreens was not doing the same with us.

15 When we provided to -- something to them, any
16 entity that was covered by the NDA, Walgreens was
17 responsible for it.

18 So it -- it is possible that we talked to
19 Walgreens about it. I just don't know the answer
20 because the answer is we didn't have to necessarily ask
21 them permission by -- case-by-case permission.

22 Q If I understand your answer, you don't recall a
23 specific conversation asking for permission, but you
24 wouldn't need to because of the NDA?

25 A Correct.

0840

1 Q The -- the device that was -- Johns Hopkins
2 never reviewed Theranos's modifications of commercially
3 available devices; is that fair?

4 A That's correct. We would not show those to
5 those -- to them.

6 Q And so whatever validation work Johns Hopkins
7 did at that meeting was for a device that ultimately was
8 used for about 12 tests in the CLIA lab; is that fair?

9 A Initially, yes. The goal of that meeting at
10 that point was we were focused more at that point on
11 Phase II, which is why I -- I was saying that I don't
12 recall if the meeting happened in 2010, '11, or '12.

13 If it happened before 2012, in all
14 likelihood -- June 2012, in all likelihood we were
15 talking about Phase II, which was TSPU phase. So it
16 would make perfect sense that that's what we were
17 focusing on.

18 Q You never showed anyone from Johns Hopkins the
19 4 series device; is that fair?

20 A I don't recall. Hopkins actually had visited
21 us later. So they may have seen 4 series devices. I --
22 I don't recall that.

23 Q What -- what do you recall about the later
24 Hopkins visit?

25 A I don't recall specifically. I just remember
0841

1 that we had some communication with Hopkin -- with
2 Hopkins. And there's one or two individuals who were
3 interested in -- in either consulting, or we wanted them
4 to work with us. I -- I vaguely remember. They --
5 either we visited them or they visited us.

6 So -- but my point is I don't know whether we
7 showed 4-S to them or not. I don't know the answer.

8 Q At this meeting that you recall from sometime
9 before 2013, you don't recall bringing a 4 series?

10 A In this meeting, the -- the one that you
11 referred earlier?

12 Q Correct. At Johns Hopkins.

13 A Yeah. No, we didn't bring 4 -- 4 -- any 4
14 series device in that -- in that meeting.

15 Q I'm going to hand you a document that's
16 previously been marked as Exhibit 264.

17 A Could I put this away?
18 Q You can put that away.
19 Just for the record, 264 is a document
20 Bates-stamped THPFM 696484.
21 Let me know when you've had a chance to just
22 flip through 264.
23 A Okay. I have.
24 Q Do you recognize Exhibit 264?
25 A Yes, I do.

0842

1 Q What is it?
2 A This is an e-mail exchange -- actually, you
3 know, there's a jump in the dates. This is an e-mail
4 exchange between me and a few individuals at Walgreens.
5 Initially seems like it started [REDACTED] in 2012,
6 November of 2012. And then -- and make sure.
7 Actually started in October of 2012. And the
8 last chat here is November of 2012. And then somebody
9 else from Walgreens picked it up in September of 2014,
10 two years later.
11 Q Okay. And it -- and -- and looks like you sent
12 it on to Ms. Holmes on September 11, 2014?

13 A Yes. That's correct.

14 Q And -- and she responded on the 23rd of
15 September.

16 Do you see that?

17 A Yes.

18 Q The subject line is "Innovation Payment
19 Letter."

20 Do you see that?

21 A I do.

22 Q I'd like you to turn to the -- the -- the page
23 ending 696487. There's a message from [REDACTED] to you
24 dated November 5, 2012.

25 And you see Point 1 [REDACTED] states: "If we
0843

1 can -- if we get to the point where we still need this
2 letter, but the innovation payment amount has reached
3 50M or higher, will you be able to provide a letter that
4 covers the full 50M? Not an issue right now, but just
5 want to put this on your radar in case the situation
6 arises."

7 A I see that.

8 Q Do -- do -- do you have a -- a recollection of
9 what [REDACTED] was referring to?

10 A You know, this may be -- I think we had earlier
11 discussion around this topic where their auditor's
12 asking them for some letter. And this may be that
13 conversation that I was referring to. And [REDACTED] was
14 the account -- accountant -- the point person back then.
15 So that seems like it.

16 Q Okay. So -- so you understood this to relate
17 to that conversation earlier about communication either
18 with Walgreens or its auditor about the -- the

19 innovation fee?

20 A With Walgreens. I -- I don't think I
21 communicated with the auditors. That's not my
22 recollection. This is -- these are all Walgreens
23 people.

24 Q And then did you -- do you see the e-mail, if
25 you look at the page ending 696486, November 15, 2012
0844

1 e-mail from [REDACTED]

2 It says: "Deloitte finally got back to us this
3 afternoon. They indicated that they would want to
4 confirm the terms of the line of credit directly with
5 Fidelity."

6 A Yes.

7 Q Do you see -- what -- do you have any
8 recollection of what line of credit they wanted to
9 confirm with Fidelity?

10 A I don't. I -- I don't recall that.

11 Q Okay. If you take a look --

12 BY MS. CHEN:

13 Q Well, just going back to that same e-mail. If
14 you look at the next sentence -- or the next -- let's
15 see. The sentence that starts: "Once I have that."

16 It says -- this is [REDACTED] writing to you:
17 "Once I have that, I will forward it to you so you can
18 see exactly what they would be asking Fidelity and
19 assess whether you still want to take this approach to
20 cover off the collectability of the innovation fee."

21 So do you understand that to be referring to
22 Walgreens needing to prove -- or Walgreens asking
23 Theranos to prove to its auditors that Walgreens would
24 be able to collect the innovation fee back from
25 Theranos?

0845

1 A This is what his e-mail is implying, yes.

2 Q Okay. Do you -- do you recall those
3 discussions happening around this time in 2012?

4 A I think I answered that earlier, that I have
5 vague recollection that they had wanted some kind of
6 letter for their auditors, and we had a discussion
7 around that. But I obviously didn't recall this e --
8 specific e-mail.

9 BY MR. KOLHATKAR:

10 Q If you turn to the first page of Exhibit 264,
11 the message from [REDACTED] to you dated September 11,
12 2014.

13 Do you see that?

14 A I do.

15 Q This would be after the -- the -- both the 2012
16 amendment and late 2013 amendment had been signed; is
17 that correct?

18 A That's right.

19 Q And in your view, by this time Walgreens had
20 expressed the -- the -- the view that the -- the

21 innovation fee was -- was paid to Theranos and
22 nonrefundable, right?

23 A That's correct.

24 Q If you take a look at his message, he
25 references: "Schedule B, Section 6(b)(VI) includes the
0846

1 following sentence: Further, the parties shall agree
2 upon the appropriate measure in order to measure -- in
3 order to measure collectability as it relates to the
4 initial \$25 million payment."

5 A So just to clarify, he's referring to the 2012
6 contract language, is -- is my guess here.

7 Q Why -- why is that your guess?

8 A Because I don't recall we went into this level
9 of detail in the 2013 amendment. And the 2013 amendment
10 actually changed the economics and the dynamics around
11 the innovation payment very significantly. So that's
12 why, is my guess. But I -- we have the contract there.
13 If you want, I can look it up.

14 Q I -- my -- my question is: Did you have any
15 understanding of why he was asking about the
16 collectability of the payment, you know, now late in
17 2014 long after the 2013 amendment?

18 A Yeah. I mean, I -- I -- my -- first of all, I
19 didn't provide him anything that he wanted because he
20 knew he was not entitled to it. Walgreens, if they were
21 entitled to anything from us, \$400 million would have
22 not let me sleep until they got it.

23 So I don't recall giving them anything -- even
24 his last sentence I'm reading -- reading and smiling
25 here: "While the innovation fee -- fee has been paid,
0847

1 the concept of the fee being earned is still at play
2 within the contract. As such, I would like to take the
3 position," blah, blah, blah.

4 He couldn't take that position. He knew that.
5 And -- which is why I didn't respond to him. And to the
6 best of my knowledge, this was -- this -- we dropped
7 the -- the issue here, and they didn't come back and ask
8 me for anything.

9 Q Why -- why did -- why did -- why did you
10 believe he could not take the position that the fee was
11 outstanding?

12 A The reason I think I answered the question is
13 that our understanding from signing the contracts and
14 having worked with them for a long time was that that
15 money was earned by Theranos. It is nonrefundable to
16 Walgreens.

17 Now, I mean, I knew these guys in September of
18 2014 were going through a very difficult time at
19 Walgreens. They were not even letting people travel to
20 save money. They had identified a billion dollar hole
21 in their financials, and they were trying to plug that
22 hole any way they could. They told me that. This is

23 how I know.

24 And their first move was to see if they could
25 move the headquarter from U.S. to Monte Carlo to save

0848

1 them a billion dollars in taxes. They would avoid the
2 U.S. That didn't work out very well. But they were
3 trying, spinning the wheels, to see if they could
4 recognize some -- some of their money on their books
5 somehow.

6 So that what my -- that was my guess, that this
7 is why he was trying to see if they could show something
8 on their books probably. He's not asking for money
9 back; he was just trying to be able to show us that they
10 have this hundred million dollar outstanding, which they
11 knew they didn't.

12 So I don't know how -- what they did with it.
13 But that was kind of my guess on what -- on why he was
14 pursuing this line of reasoning.

15 Q Around this time in late 2014, did anyone else
16 from Walgreens take the position that the concept of the
17 innovation fee being earned is still at play?

18 A I don't recall if I saw -- I mean, it wouldn't
19 surprise me. I mean, it -- Walgreens will give a
20 hundred bucks, take you for a shower, and then they will
21 say, "Give me my hundred bucks back now."

22 So wouldn't surprise me if Walgreens took the
23 position. The question is: Do they have -- did they
24 have the ground to take the position? The answer is no.

25 Q I guess my question is simpler: Do you

0849

1 remember anyone at Walgreens taking that position?

2 A No. I said I don't recall any.

3 BY MS. CHEN:

4 Q Why didn't you respond back to (b)(6); (b)(7)(C) to
5 let him know that your understanding was different and
6 you didn't -- you did you not agree with his position?

7 A That's because I worked with Walgreens for six
8 years. I knew it was a waste of time. If -- there was
9 not -- something was not refundable to them, there's no
10 reason to get into a debate with them. If they thought
11 that we owed them something, let them come back and
12 prove it to us, which they didn't.

13 BY MR. KOLHATKAR:

14 Q You can put Exhibit 264 to the side. Thank
15 you.

16 When we met last time, I -- I asked you a
17 couple of questions about whether you had any phone
18 calls or communications with Theranos's insurers.

19 Do you recall that generally?

20 A Yes. I think we had a conversation, yes.

21 Q Have you refreshed your recollection on that --
22 on -- on that call since -- since that --

23 A No.

24 Q In October of 2014, did you tell Theranos's

25 insurers or potential insurers that Theranos would break
0850

1 even in 2015 on a hundred million dollars in revenue?

2 A I don't recall that.

3 Q Did you tell insurers or potential insurers in
4 October 2014 that you expected Theranos to break even by
5 mid 2015?

6 A I don't recall. I would -- saying that. I --
7 I would have to see my notes.

8 Q In October 2014 did you have an expectation
9 that Theranos would break even by mid 2015?

10 A I don't recall in this moment. But if I saw
11 the model, and the exemption's there, I would be able to
12 answer that question.

13 Q In other words, like the retail rollout, the
14 model would best reflect your assumptions about the
15 timing of Theranos' break-even status?

16 A Yeah. And if there were any changes that I had
17 to make to be able to see where I would be in mid 2015,
18 the model will tell me that.

19 Q Did you tell Theranos's insurers or prospective
20 insurers that you expected Theranos to ramp revenue in
21 January with \$20 million expected per quarter?

22 A I don't recall saying that.

23 Q Did you tell Theranos's insurers or prospective
24 insurers that you expected to add 400 Walgreens in the
25 first part of 2015?

0851

1 A I don't recall. The -- again, the model will
2 probably tell me more.

3 Q Did you share Theranos's financial model with
4 its insurers or prospective insurers?

5 A Don't recall so.

6 Q Did you tell Theranos's insurers or potential
7 insurers that Theranos would have -- would be opening
8 multiple labs in early 2015?

9 A Again, specifically, I don't recall saying
10 that.

11 Q Was it your understanding that Theranos was
12 planning on opening additional labs in early 2015?

13 A Not early. In 2015. We had a plan.

14 Q What was the plan in terms of which labs to
15 open?

16 A The Arizona lab opened in 2015. And I believe
17 we were opening the central Pennsylvania lab. And we
18 had also talked to Walgreens about potentially either
19 New York or California. We were still going back and
20 forth on that.

21 Q Both the Arizona lab and the planned
22 Pennsylvania lab were -- were going to start out as
23 moderate complexity labs; is that correct?

24 A That was our intent. But I think the
25 certificate of one may have been filed as a high

0852

1 complexity. I'm just pointing out a technicality. But
2 the intent was to start them out as moderate complexity.
3 Correct.

4 Q Did you tell Theranos's insurers or potential
5 insurers that Theranos would spend less than \$2 million
6 to get each of its new labs up and running?

7 A I don't remember specifically if I said that
8 during that meeting.

9 Q Did you have a sense in October of 2014 how
10 much it would cost to open an additional lab?

11 A Yeah. I mean, in order to bootstrap a new lab,
12 it doesn't take that much money. So 2 million sounds
13 like about a reasonable number.

14 Q Did you tell Theranos's insurers or potential
15 insurers that Theranos would be using its manufactured
16 devices at the labs it was planning on opening?

17 A I don't recall saying that.

18 Q Did you tell Theranos's insurers or prospective
19 insurers that Theranos saved money by making and
20 building its own equipment for use in those new labs?

21 A I don't recall saying that sentence.

22 Q Did you tell Theranos's insurers or prospective
23 insurers in October 2014 that Theranos was projecting
24 150 to 200 million dollars in revenue by the end of
25 2015?

0853

1 A I don't recall that specific.

2 Q We -- we talked at some length about Theranos
3 providing lower price lab testing for -- for consumers.

4 How was Theranos able to provide lower cost
5 blood testing?

6 A Well, that's a -- that's a complicated
7 question. The short answer to that is through use --
8 use of a lot of software and integrating a lot of
9 different moving pieces.

10 However, lab business is a volume business. So
11 if you open a lab and one customer shows up, you know,
12 you lose money. And you need a certain volume to be
13 able to -- to -- to make -- make a profit.

14 But I would say a combination of how we thought
15 about the lab from ground zero, bottom up, about how the
16 lab is going to come together, the integration of
17 different pieces of software.

18 For instance, in our patient service centers at
19 Walgreens, if you go to a typical lab, Quest
20 Diagnostics, LabCorp, hospitals, you know, takes
21 anywhere from 30 minutes to two hours, depending on how
22 long, you know, you have to wait.

23 At Theranos we were able to use our software to
24 reduce our average visit time. I think it was below ten
25 minutes. And -- and people that we had already seen and

0854

1 met, their visit time was two to five minutes.

2 That allowed us to reduce the labor that was

3 required in the patient service centers from, you know,
4 four to one person. One person could serve 40, 50
5 patients.

6 So you can see how that -- and then there was a
7 lot of intelligence that went into the software to be
8 able to speed things up. We had also integrated that
9 process with the mobile app for consumers that we had
10 launched. And were making it better and better.

11 That allowed people to, from home, tell us
12 they're on their way and -- and upload their order by
13 just taking a picture of the order, so by the time you
14 come to the store, we have trans -- electronically
15 converted that into electronic order.

16 If you have insurance, we can -- we could also
17 check eligibility for you and tell you if anything's due
18 or not.

19 And so basically, by the time you get to the
20 Walgreens locations or other stores, all of the hard
21 work is already done. And so you could get in and out
22 in couple of minutes.

23 So all of those innovations -- and there were
24 many more. I could tell you how we integrated our call
25 center, patient center center. Coming together allowed
0855

1 us to reduce the cost.

2 Q I guess on a per-test -- we talked a lot about
3 Theranos and when it would break even overall.

4 On -- on a per-test basis, was Theranos
5 breaking even on its -- on its lab testing services at
6 Walgreens?

7 A Is a difficult question to answer. Because,
8 like I said, if you -- it's like opening a restaurant,
9 right? If one customer shows, you serve them the most
10 expensive dish in the restaurant, chances are you're
11 still not going to recover the cost for the whole
12 restaurant.

13 So on per-test basis, you can only start to
14 make money once you've hit a certain volume. Especially
15 on the batch processing machines. If you open a bottle
16 of reagent that is required to run hundred samples and
17 you only get 30 samples, your cost goes triple.

18 Now, assuming that that's not the case, we
19 actually got hundred samples to run, our prices for
20 reagents, the machines, through software in the field
21 was pretty, you know, aggressive.

22 So I think we -- at -- at -- at a certain
23 volume, we would have absolutely hit those numbers.

24 Q So as -- I understand your answer to be so --
25 and think about it I guess in terms of variable costs.
0856

1 If you'd hit a certain amount of volume, you
2 felt comfortable that your pricing would have -- would
3 have covered the cost of physically running the task?

4 A Had high confidence. Yes, sir.

5 Q Did you conduct any analysis to see if it
6 was -- if that was actually happening in 2014?

7 A Yeah. No, I -- I did the analysis all the
8 time. We actually -- I had commissioned a small project
9 where we looked at every single tiny detail of -- of our
10 operation in Arizona, for example. Because that's --
11 was our first market.

12 And all the way from how much we are spending
13 on gas and car washes and how much we pay for food and
14 drivers, I had a full detailed analysis. The volume was
15 not there yet for us to be making money in 2014 in
16 Arizona.

17 But there are also many different elements of
18 variable costs. For example, if you look at the
19 variable cost of doing just a test in the lab, it's just
20 a reagent. Because the machine has already been QC'd.
21 The person is already there.

22 I had shared with you our contract with Siemens
23 even, even with the third-party vendors. We had
24 negotiated really good prices. So for a test like CBC,
25 which we billed at I believe \$6, and other labs bill at

0857

1 anywhere from \$12 to a thousand dollars, our cost was 18
2 cents for the variable, for the reagent.

3 So even if you added labor and machines and
4 this and that, you know, we were in a competitive
5 position even compared to other labs. But because we
6 had software, even if, for example, larger labs, instead
7 of 18 cents they had a deal at 16 cents, I was pretty
8 confident of our software was -- was much superior that,
9 once you hit a certain volume, we'll be able to get
10 better margins than them. I had high confidence.

11 Q In other words, so your confidence -- the
12 confident in 2014 that Theranos would be able to
13 maintain -- achieve profitability maintaining that low
14 price point --

15 A Yeah.

16 Q -- once volume was attained?

17 A Yes. Yeah. Exactly.

18 Q Did you review the materials provided in
19 binders to (b)(6); (b)(7)(C) before they were sent to him?

20 A No, I did not.

21 Q I'll hand you a document that's been previously
22 marked as Exhibit 150.

23 If you could just take a minute to read it.
24 It's just two pages. And let me know when you've had a
25 chance to review Exhibit 150.

0858

1 A You want me to read the whole thing?

2 Q Sure.

3 A (Examines document.)

4 Okay. Not the attachments, right? Okay. It's
5 just business cards. Never mind. Yeah.

6 Q You've had an opportunity to review Exhibit

7 150?

8 A Yes.

9 Q Did you review Exhibit 150 at or around the
10 time it was sent to [REDACTED]?

11 A No, I did not.

12 Q Had -- have you review -- ever reviewed a
13 similar letter sent to other potential investors?

14 A I have not.

15 Q If you see the -- I think it would be the third
16 paragraph down. Begins: "Theranos is a sensors and
17 software company." Sorry. I think one paragraph down
18 from where you're indicating.

19 A Yes, I see that.

20 Q Have you used that phrase to describe Theranos
21 before?

22 A Yes.

23 Q What -- what are you -- what -- what is -- what
24 did you mean by that when you used that phrase?

25 A I think I mentioned that last time briefly that
0859

1 we used to view ourselves primarily as a software
2 company. And sensors basically is a way you sense
3 information.

4 So for us, diagnostics equipment and labs is
5 sensing information from human blood to start with. But
6 we had ambitions beyond just, you know, lab testing. We
7 viewed ourselves more as a software company looking at
8 data and mining data and coming up with patterns. Kind
9 of like Google does, you know, with Google Maps and
10 such. Just like, you know, smart phones become sensors,
11 but the data goes to Google Cloud, and the Cloud churns
12 the data and -- and create -- finds -- using -- uses
13 algorithms to find patterns.

14 So we used to define our mission to -- to
15 investors as sensors and software company. So we use
16 that quite a bit, actually.

17 Q And so you heard Ms. Holmes use that phrase as
18 well?

19 A Yeah. And I use that too.

20 Q If you look two more paragraphs down, it says:
21 "Theranos has not only reduced to practice and patented
22 its comprehensive technological and operational
23 infrastructure over the past ten years, but has also had
24 regulatory certifications to operate commercially,
25 including as a CLIA-certified laboratory, the regulatory

0860

1 certification for labs since 2011."

2 A Yes, I see that.

3 Q How had Theranos reduced to practice and
4 patented its comprehensive technological and operational
5 infrastructure?

6 A I think I -- I -- I briefly walked through our
7 architecture around our technology, around how we had
8 done things which are radically different from how the

9 lab industry had evolved.

10 So even the simple process of collecting
11 fingersticks -- which people just think a fingerstick
12 you just take and ship at somebody. It's not that
13 simple.

14 There are entire industries who do nothing but
15 do collection devices. And we came up with CTNs and
16 filed tremendous amount of patents around just that one
17 process.

18 So this entire technology stack, all the way
19 from software doing eligibility checks for you to e-mail
20 integration to a patient's mobile phone, you know,
21 capturing that information, sending the data back to --
22 the samples traveling, tracking the samples, coming to
23 the CLIA lab, the entire infrastructure we already had
24 in place by then.

25 Q And Theranos's operational infrastructure at
0861

1 this time in the CLIA lab included unmodified
2 commercially available devices; is that correct?

3 A It included that, yes.

4 Q And it included third-party devices that had
5 been modified and not patented for that purpose, right?

6 A Correct.

7 Q So part of its operational structure had not
8 been patented at the time; is that correct?

9 A That's correct.

10 Q So in terms of -- what do you think is being
11 described here in terms of the comprehensive
12 technological and operational infrastructure?

13 A Only I don't -- I don't know what she was
14 trying to refer -- what she had in mind here. This is a
15 pretty broad statement. So she could be describing
16 maybe just the -- the fingerstick collection process, or
17 she could be describing our lab -- entire lab
18 infrastructure. I don't know.

19 We did have a lot of patents on even our
20 software that was in the CLIA lab that had nothing to do
21 with fingerstick. So I don't know what she's referring
22 to here specifically.

23 I'm assuming Elizabeth wrote this. Yeah. So I
24 don't know.

25 Q At the time -- and I guess that's a fair
0862

1 question.

2 You see Ms. Holmes's signature at the bottom of
3 the page there.

4 A I do. I see her --

5 Q Would you understand it to be her practice to
6 read letters like this before she signed letter?

7 A And I know she didn't read my e-mails. So if
8 she had wrote it, then my guess is she must have -- must
9 have read it. Or if she sent it -- sorry. If she sent
10 it, my -- you know, she probably must have read it.

11 Q The -- other than the CLIA certification, what
12 regulatory certifications had Theranos achieved to
13 operate commercially by December 2014?

14 A I don't recall top of my head. I was not
15 involved with that part of the business, so --

16 Q If you turn to the next page, the first -- or I
17 guess the second paragraph there: "Theranos has grown
18 from cash from its contracts for some time."

19 A Where you are you again?

20 Q The first sentence of the first kind of long
21 paragraph there.

22 A Yeah, I see that. Sorry. Yeah.

23 Q Had Theranos grown from cash from its contracts
24 for some time by December 2014?

25 A Again, I don't know what she means here. But
0863

1 we did get cash from -- you know, we had these hundred
2 million dollars that, you know, we got from Walgreens.
3 We had gotten I think some money from Safeway. I -- I
4 don't remember the details of that.

5 And then but prior to that, the company did get
6 cash from contracts that had been pharmaceutical
7 companies and DOD I think. So we did get cash from
8 those contracts.

9 Q Those weren't the only basis of capital that
10 Theranos was using to grow at that time.

11 A No. Equity obviously, yeah.

12 Q Did you ever use that phrase: "Theranos has
13 grown from cash from its contracts"?

14 A I don't recall. It would depend on the
15 context. But I -- I don't specifically recall me using
16 that.

17 Q If you look at the next paragraph down, it
18 says: "As the company is -- as the company gains
19 visibility, we have had interest from a large number of
20 funds in acquiring an equity stake in Theranos."

21 A Yes.

22 Q What -- what large number of funds expressed an
23 interest in acquiring an equity stake in Theranos by
24 December 2014?

25 A Well, I recall at least three meetings that I
0864

1 attended. And there may have been more, but three that
2 I was involved with. I recall BDT for sure. And I
3 think I mentioned that to you last time.

4 I also recall -- I'm going to get these guys
5 wrong -- either Morgan Stanley or JPMorgan. They had
6 visited us once, and they had expressed strong interest.
7 They were really wanting to participate and -- and --
8 and be involved with the company.

9 And I also think I had met with Goldman Sachs.
10 But I could be getting the timings wrong. I don't know
11 which -- when I met them. So I remember at least those
12 three meetings that I attended. Like I said, there may

13 be others that -- that others had attended, Elizabeth
14 and others.

15 Q At -- at this time had -- in December 4th,
16 2014, had Theranos turned down BDT's proposed
17 investment?

18 A Yeah. I mean, in October of 2014, we had a
19 brief discussion at the board meeting where we said, you
20 know, we're not going to pursue this. Even though BDT
21 kept selling it, obviously, to us. But we had decided
22 we are not pursuing this path.

23 Q Did you communicate the -- the -- the fact that
24 you're not going to pursue the BDT investment to BDT?

25 A You know, I was involved in one meeting in
0865

1 which I said what they was -- they were proposing was
2 not something we would be interested in.

3 But then we were engaged with them because they
4 were also our consultants. So there was little bit of
5 overlap between what they were telling -- talking to us
6 as consultants versus what -- in the same meetings they
7 were try to sell really hard that they -- we should let
8 them invest using the structured deal that they were
9 proposing.

10 So I recall my conversations in those meetings
11 saying, "Structured deals, not very attractive. We're
12 not going to proceed with that. Unlikely."

13 So -- but I don't know.

14 Q And what was the purpose -- so after October
15 2014, what was the purpose of your participation in any
16 meetings with BDT in either November or December or
17 January?

18 A Yeah. I recall we had paid them what I -- what
19 I thought was significant amount of money for consulting
20 services.

21 One thing that they were helping me with was
22 cleaning of the model. I had given them a copy of the
23 model. These were financial wizards, bunch of MBAs. I
24 was MBA, too, but they were -- they were more MBAs. And
25 they were helping me clean up the model.

0866

1 I also spoke with them around, you know, just
2 thinking out loud about different strategies. And I
3 believe Elizabeth was spending quite a bit of time in
4 the meetings that I had participated around how to
5 structure the company for the long-term.

6 You know, as we -- as the company generates
7 more cash, how we should start buying the existing
8 investors. Should it be dividends? Should it be in a
9 stock repurchase? Some of those discussions had already
10 started happening around that time, and BDT was involved
11 in those. And they were advising us on lot of those
12 matters.

13 And also I think we had discussions with them
14 around stock options and how to structure stock options,

15 RSUs, how to put limits on when an employee leaves, what
16 happens, and so on, so forth.

17 Q But to the best of your memory, by December
18 2014, you had affirmatively communicated to BDT you were
19 not interested in any structured investment from them?

20 A Yeah. I mean, I recall that was my
21 conversation. But obviously, like I said, even after
22 that when I met with them, they would used to
23 continuously -- especially (b)(6); (b)(7)(C) used to say,
24 "This is a great deal." He continually -- continuously
25 pushed.

0867

1 And we -- you know, I was polite. I would
2 listen. I would smile. And then we'll talk about what
3 I was interested in the meeting.

4 Q Do you know if Elizabeth Holmes communicated
5 the same to -- to BDT after that October board meeting?

6 A I don't know what she communicated. She spent
7 more time with these guys than I did. So I don't know.

8 Q What was her purpose in meeting with BDT after
9 that time, if --

10 A I think everything that I just shared right now
11 is what she was discussing with them about structuring
12 the company, what to do once the -- when the company's
13 cash flow positive. When the cash -- cash comes in; how
14 to buy back stock; how we should give dividends out for
15 the long-term, five, ten years.

16 But these are the conversations we were having
17 with these guys. They were advising us of that. At
18 least in the meetings that I was, that's the meeting I
19 know. Meetings that I was not part of, I don't know
20 what they were discussing.

21 Q In the meetings that you were a part of, did
22 you ever hear her express to BDT that she was not
23 interested in a structure deal?

24 A Yeah. I mean, I was -- she was in the meeting
25 in which I made that comment, so --

0868

1 Q My question's a little different: Did you ever
2 hear her make a similar comment at that meeting?

3 A I don't recall. I mean, I -- I know I made
4 that comment politely. You know (b)(6); (b)(7)(C)
5 (b)(6); (b)(7)(C) So I liked engaging with him. I was learning
6 a lot from him (b)(6); (b)(7)(C) But I
7 made that point.

8 Q You can put Exhibit 150 to the side.

9 THE WITNESS: Am I doing okay?

10 MR. KOLHATKAR: I'll hand you what's previously been
11 marked as Exhibit 266.

12 THE WITNESS: Thanks.

13 MR. KOLHATKAR: For the record, 266 is a document
14 that's been previously marked and Bates-stamped as THPFM
15 3891168 through 3891189.

16 THE WITNESS: Okay.

17 BY MR. KOLHATKAR:

18 Q Do -- do you recognize Exhibit 266?

19 A I do.

20 Q What is it?

21 A This is a document that we had received from
22 BDT -- well, I'd received from Elizabeth Holmes. But
23 we -- as a company we received from BDT, I think -- I'm
24 trying to see the date here -- end of December or
25 12/19/2014.

0869

1 Q And did you review the -- the attachment to the
2 e-mail on or about December 19, 2014?

3 A Yeah. I think I -- if I recall correctly, I
4 had taken two passes at this. The first time when I saw
5 this attachment, I opened it up, and I just took my
6 mouse and scrolled back and forth to see how long it is
7 before -- whether I should read it now or later.

8 And I noticed that it was not DRM'd, which --
9 it was not encrypted. And I looked at all of the
10 sections that were here, I mean, just highlighted -- I
11 highlight all the section.

12 And I don't remember if I talked to Elizabeth
13 about it or if I talked to one of the product managers
14 who was also involved at -- as an interface point with
15 BDT guys.

16 I said, "Look. These guys have put a lot of
17 confidential information here that is not DRM'd. And so
18 we need to reach out to them and tell them to, first of
19 all, protect it. But the more importantly, I don't know
20 where they maintain their e-mail servers. They could be
21 running on Google. Somebody could be scanning this
22 document because it's not encrypted. So we should tell
23 them to remove it from -- from the e-mail servers."

24 So that was my first conversation.

25 Q Do you remember who the product manager or

0870

1 project manager was that you were --

2 A Yeah, I was --

3 Q -- you were interfering with?

4 A If I -- I'm sure there's more e-mails around
5 this time frame around BDT. But more likely than not
6 it's going to be (b)(6); (b)(7)(C) But
7 it could be with Elizabeth Holmes also. I mean, I just
8 don't remember if I had that conversation.

9 It's more of a operational conversation. So
10 chances are I probably didn't call Elizabeth saying,
11 "Hey, DRM this document." Probably some PM, but --
12 because it required following up with these guys.

13 But I may have talked to Elizabeth depending on
14 time of the day. Actually, you know, it's late in the
15 night. So I don't know maybe if I talked to her or not.

16 Q When was the second time you reviewed the
17 document?

18 A Yeah. I think right after that, within a day

19 or so, maybe at the same day, I reviewed the document.
20 And I -- I didn't read the whole thing, but what I read
21 was there were so many errors in this document that then
22 I went to talk to Elizabeth either on the phone or in
23 person.

24 And I said, "I don't know who authorized this
25 document, a document like this. If you needed it or if
0871

1 we as Theranos needed it, our team should have produced
2 it."

3 And she says, "Oh, no. I didn't know anything
4 about the document either."

5 And I said, "Well, there are at lot of errors
6 here. Do you want me to spend time on this now?"

7 She goes, "Don't waste your time on this. This
8 is not going to be used. And I'll talk to [REDACTED]"

9 And that was my conversation. So I -- that was
10 the end of it.

11 Q When do you recall that conversation taking
12 place?

13 A I think either it was the same day or couple of
14 days after that. Because I -- I usually -- if you don't
15 follow up with something like this immediately, then it
16 kind of sits in my inbox for a while.

17 Q Did -- it was your -- it -- it was your
18 understanding that Ms. Holmes had reviewed the document
19 when you spoke with her about it?

20 A No.

21 Q Why not?

22 A She didn't know what was in the document. I --
23 I -- I told her, "There are errors."

24 She goes, "Okay."

25 I said, "Do you want me to edit it?"

0872

1 She goes, "Don't waste your time on it."

2 Q Did you describe the nature of the errors?

3 A Yeah. I -- I think I remember two or three
4 things here which -- which stuck with me that -- that I
5 shared with her.

6 Q What stuck out in your mind?

7 A The first one was they had used the name
8 "Project Test" as a code name for Theranos to obscure
9 this document or the company they're talking about.
10 Except that, when I read it, they had Elizabeth Holmes'
11 name everywhere, "Walgreens" everywhere. And looking at
12 the picture -- if you go to page number end -- ending
13 with 1179, they actually have a picture of Theranos
14 there with "Theranos" written right at the top.

15 Q And you remember viewing that picture in
16 December 2014?

17 A Yeah. Because to me, this was a typical
18 document that only -- excuse me for saying this -- only
19 MBAs can produce because it was so silly that they were
20 trying to obscure something, yet Theranos is right

21 there.

22 So that was the first thing. I said, "You
23 know, this is pretty silly."

24 And I think the other thing -- I'm probably --
25 it's going to take me some time to find it, but they had
0873

1 either claimed that we had invented nucleic acid
2 amplification or sequencing. I forgot which ones.
3 Clearly we didn't. Because that's like saying we
4 invented a PC or iPhone.

5 And I said, "So how" -- so those are the two
6 things. There may be -- there were other things that I
7 noticed back then, but those two kind of stuck with me
8 because they were entertaining.

9 Q So if -- if BDT was serving as Theranos's
10 consultant for the model and business strategy at this
11 point, why would you spend time reviewing this in
12 December 2014?

13 A Because I think they sent this to us in 2014.

14 Q Sure. But I had understood your earlier
15 testimony to be that, by this point in time, you were,
16 you know, being polite but turning down their
17 investment --

18 A Right.

19 Q -- over and over again, right?

20 A Right.

21 Q In your initial review, did you understand this
22 document to relate to a potential investment?

23 A No. When I first opened the document, I didn't
24 know what it was, obviously. That's why you open it.
25 And -- and it actually says "Company Overview," and I
0874

1 didn't know what was the purpose behind it. So I
2 probably didn't even notice the -- the title. But I
3 just opened it to see what it was.

4 And it was only when I spoke with Elizabeth
5 later, when I gave her my feedback, that she said, you,
6 know -- I had a -- even a -- like two-second
7 conversation with her about that.

8 Q After that conversation you had with her
9 where -- where you described that there were errors, did
10 you -- did you follow up with her after any subsequent
11 meetings she had with (b)(6), (b)(7)(C) or anyone at BDT?

12 A I don't recall, no.

13 Q Did -- did you bring this up in any later
14 meetings with BDT?

15 A I don't recall. I don't think I met with BDT
16 in 2015. But I may have. But I -- I don't think I then
17 brought this up.

18 Q I guess why wouldn't you bring -- why -- why --
19 why wouldn't you bring it up if you had conversations or
20 meetings with them after? Siemens.

21 A Yeah, sure. Sorry. Didn't mean to interrupt.

22 My assumption was Elizabeth must have spoken

23 with them, which is why they didn't bring it up. And I
24 thought the hot -- document was so silly that it was
25 embarrassing. So I didn't want to bring it up for that
0875

1 reason also.

2 But I actually don't think I met with them, is
3 the first thing, after this -- this time frame.

4 Q I guess were you concerned that the people you
5 had hired to be your consultants had produced, in your
6 own words, a silly document like this?

7 A You know, I was irritated. But I didn't ask
8 them to produce this document. Obviously nobody was
9 going to use this document without my permission. So,
10 you know, I didn't pursue it. I -- I kind of let it go.

11 Q Sure.

12 But I guess at some -- some point you were --
13 you were -- you were paying them for their advice,
14 right?

15 A Yeah. But I think we didn't renew our
16 consulting with them.

17 Q When did that -- when -- when did you decline
18 to renew your consulting agreements?

19 A I think I just kind of dropped out around this
20 time frame. I -- I don't -- I don't remember what was
21 the term of the contract, maybe three months or six
22 months. But after that we didn't renew -- we didn't do
23 any work with these guys.

24 Q Just taking a look at the -- the first page of
25 the document, of Exhibit 266, you see [b)(6); (b)(7)(C)] sends it
0876

1 to Ms. Holmes and copies [b)(6); (b)(7)(C)]

2 [b)(6); (b)(7)(C)]

3 Do you see that?

4 A Yes, I see that.

5 Q Do you know who [b)(6); (b)(7)(C)] is?

6 A Probably their guys. I don't know.

7 Q Or [b)(6); (b)(7)(C)]?

8 A I mean, he had two or three people with him who
9 were, like I said, M -- you know, MBA types who would be
10 on -- on -- with him. But I don't remember if those are
11 the people or not.

12 Q Do -- do -- do you remember who those people
13 are?

14 A No, I don't.

15 Q You recognize [b)(6); (b)(7)(C)] though?

16 A I recognize [b)(6); (b)(7)(C)] yeah.

17 Q You see that he's asking to -- he -- he's --
18 you see his initial message says: "Elizabeth, attached
19 is the preliminary draft of our company overview that we
20 would plan to send to the preapproved co-investor
21 targets."

22 Do you see that?

23 A Yes.

24 Q Had Theranos preapproved co-investor targets

25 for BDT at the time?

0877

1 A I don't personally recall that.

2 Q Do you -- do you see -- next line down it says:

3 "Hopefully you will find it a reasonable start to an
4 info doc that appropriately describe the company."

5 Do you see that?

6 A I do.

7 Q After your review, did you believe it was a
8 document that reasonably described the company?

9 A I don't think so.

10 Q Did you --

11 A Wait. Sorry. Which is why I went to Elizabeth
12 making that exact point.

13 Q Did you raise the point about co-investors or
14 preapproved co-investors to Elizabeth when you -- when
15 you spoke with her about it?

16 A No, I didn't. Because I knew (b)(6);
(b)(7)(C) was always
17 pushing to sell his investment. And I believe around
18 this time he had said that he had \$500 million ready to
19 wire in 24 hours or two days in December and then
20 another 200 in January of 2015.

21 But we had decided we're not going to -- to do
22 a deal with them, so didn't spend much time on it.

23 Should I put this away?

24 Q No. I'd like to actually discuss a couple of
25 things in it.

0878

1 If you -- if you turn to page ending in 1172.

2 A Okay.

3 Q Second-to-last paragraph there on the -- it
4 says: "In conjunction with its execution of -- of its
5 seven-pronged strategic plan, the company is currently
6 negotiating the terms of a contract with the U.S.
7 government to provide testing for Ebola within U.S.
8 airports alongside the U.S. military and aid agencies in
9 West Africa."

10 A I see that.

11 Q Was that a true statement as of December 2014?

12 A No, it was not.

13 Q Had there --

14 A And -- and I don't think we made -- and I had
15 made that statement either.

16 Q Had Theranos taken any steps to contract with
17 the government to provide testing services for Ebola at
18 airports in late 2014?

19 A No. We -- we had started discussing -- we had
20 submitted our Ebola test to FDA for -- for emergency use
21 authorizations. And we had some unique capabilities
22 around what we were doing -- again, CLIA, we were point
23 of care and all those things -- that we thought, you
24 know, this is going to open some interesting
25 possibilities that others just can't do.

0879

1 So we had discussed on what kind of things we
2 would be able to do if we get clearance and if we decide
3 to pursue this path. But I -- to the best of my
4 knowledge, we were not negotiating anything with U.S.
5 government at that point.

6 Actually, there's another point here. It says:
7 "In conjunction with execution of seven-pronged
8 strategic plan."

9 You know, I own the strategic plan for the
10 company. I've never used a seven-pronged strategic
11 plan. I don't even know what it is.

12 Q Sure.

13 If you -- if you read the paragraph before --

14 A Yeah.

15 Q And may -- you can just take a minute and read
16 it to yourself. It looks like it outlines seven points
17 there.

18 Let me know if that's consistent with your
19 understanding of the company's strategic plan.

20 A (Examines document.)

21 You know, they -- they capture the key ideas
22 that we had discussed. But I don't think I said the
23 company had a seven-pronged strategic plan or that we
24 even said, "Oh, yeah. Let's discuss our seven-pronged
25 strategic plan. That's something that I would not

0880

1 use -- I've never used that.

2 BY MS. CHEN:

3 Q Did you ever hear Ms. Holmes say that -- say to
4 BDT that the company was currently negotiating the terms
5 of the contract with the U.S. government to provide
6 testing services for Ebola?

7 A No. I did not hear her say that.

8 BY MR. KOLHATKAR:

9 Q Did you ever hear her say that to any other
10 potential investors in Theranos?

11 A No.

12 Q Did you ever say that to any other potential
13 investors in Theranos?

14 A No, I did not.

15 Q Turn to the next page. Again, the
16 second-to-last paragraph states: "Samples for all tests
17 are run on one proprietary diagnostic machine, an
18 unprecedented capability of testing and a significant
19 technological competitive advantage versus peers."

20 Do you see that?

21 A Yes.

22 Q Was that statement true in December 2014?

23 A Let me read this one more time.

24 (Examines document.)

25 Well, it depends on how you read it.

0881

1 Technically speaking -- actually, no. The word "all"
2 would throw it off. So no. The answer is all tests,

3 no. Not true.

4 Q Did you ever tell BDT that samples for all
5 tests are run on one proprietary diagnostic machine?

6 A No.

7 Q Did you ever tell any other investors that?

8 A No.

9 Q Did you ever hear Ms. Holmes make that
10 statement to BDT?

11 A Nope.

12 Because the universe for all tests is
13 4,000-plus testing, including sequencing and lot of
14 complicated stuff that people do. So there's no way
15 anybody in the company would make this statement.

16 Q Would you ever make the statement that the
17 samples for the most common tests are all run on one
18 proprietary machine?

19 A So, technically speaking, the TSPU was capable
20 of doing just that. Not the most common. Some
21 depending on how we laid out the cartridge. So that, of
22 course, is possible. That was the whole value add of
23 the company.

24 Our TSPU, in one sample from one cartridge, was
25 able to do hematology, immunology, general chemistry,
0882

1 and nucleic acid amplification test.

2 Q And here you see that -- that sentence is
3 written in the present test, right? "Are run on one
4 proprietary diagnostic machine."

5 A Yeah, that's wrong.

6 Q In other words, at that time samples for the
7 most commonly test could not all be run on one Theranos
8 TSPU.

9 A No. They could be is the different thing. I
10 think you're saying they are being run.

11 Q Were not being run.

12 A Were not being run, yes.

13 Q If you turn to the -- to --

14 THE WITNESS: Is it possible to take a break, or
15 should we --

16 MR. KOLHATKAR: Sure. Take a break.

17 Why don't we go off the record at 2:49 p.m.

18 THE VIDEO OPERATOR: Going off the record. The time
19 on the video monitor is 2:48.

20 (Recess taken.)

21 THE VIDEO OPERATOR: We're back on the record. The
22 time on the video monitor is 2:57.

23 MR. KOLHATKAR: So we're back on the record at 2:47.

24 BY MR. KOLHATKAR:

25 Q Mr. Balwani, just to confirm, you -- we didn't
0883

1 have any conversations with the SEC staff during the
2 break; is that correct?

3 A That's correct.

4 Q So when we -- when -- before the break we were

5 talking about Exhibit 266. And I'd like to resume by
6 taking a look at the page ending in 1174. And if you
7 look at the second paragraph under "Test Accuracy," it
8 includes a -- a quote there from what appears to be a
9 validation study published by Johns Hopkins in 2010.

10 Do you see that?

11 A I do.

12 Q Are those consistent with the -- the quotes you
13 recall from -- from that Hopkins report?

14 A Yes, they are.

15 Q And do you agree that it was a validation study
16 by -- published by Johns Hopkins in 2010?

17 A I think -- again, I was not focused on the word
18 "validation study." It was certainly a meeting we had
19 with Johns Hopkins. So I don't know what "validation
20 study" would actually entail. I don't want to
21 necessarily agree to something without knowing what --
22 how to define "validation study."

23 Q If -- if you look at the next paragraph in
24 brackets there, it says: "Test is in the final stages
25 of preparing a work paper to be published with Stanford

0884

1 University, Johns Hopkins, and three other highly
2 regarded institutions validating the company's
3 technology and processes."

4 Do you see that?

5 A Do.

6 Q Was Theranos in the final stages of preparing a
7 work paper like that in December of 2014?

8 A I wouldn't know. This is something that the
9 chemists or engineers would probably be doing. I
10 usually didn't spend any time on publishing.

11 BY MS. CHEN:

12 Q Who would have been in charge of the work paper
13 that would be published by these institutions at
14 Theranos?

15 A It would depend on which area of the testing.

16 If it is -- but -- so, in general, it would be either

17 (b)(6); (b)(7)(C)

18 (b)(6); (b)(7)(C)

and few others.

19 I think I mentioned some names last time I was here.

20 But those are the technical leads who would
21 spend time with the researchers figuring out what the
22 protocol would be, what testing would they use, and so
23 on, so forth. I -- I never participated in those
24 meetings.

25 Q Would they initiate a research study with one

0885

1 of these institutions without you knowing?

2 A It would probably be either -- gone through
3 either Elizabeth or the product managers. But if it is
4 just a simple study, yeah, I don't think I would be
5 involved with that.

6 Q Do you -- did you make a statement to BDT that

7 Theranos was working on preparing a work paper to be
8 published with Stanford University, Johns Hopkins, or
9 three other -- three other highly regarded institutions
10 validating the company's technology and processes?

11 A I did not.

12 Q Did you ever hear Ms. Holmes make that
13 statement --

14 A No.

15 Q -- to BDT or any other investor?

16 A No.

17 BY MR. KOLHATKAR:

18 Q If you look at the section under "Select
19 Clinical Correlations" at the bottom of the page there,
20 it says: "The company has validated all of its tests
21 versus traditional laboratory and reference methods to
22 demonstrate their accuracy. Two examples -- Two example
23 correlations are provided below."

24 And then there's a chart for calcium and a
25 chart for cholesterol.

0886

1 Do you see that?

2 A I do.

3 Q Did you provide these charts to BDT?

4 A I think they may have been included in the
5 discussion slide deck that we had discussed last time.
6 And they probably just cut and paste two -- two graphs
7 here, would be my guess.

8 Q Do you recall reviewing that discussion slide
9 deck with individuals from BDT?

10 A It is possible I discussed parts of it. Like I
11 mentioned last time, the slide deck was pretty
12 comprehensive. So we never ever got -- at least I never
13 ever got a chance to walk through the entire deck with
14 anybody on the planet.

15 So it is possible that I -- when I met with
16 them, I walked them through a few concepts, few slides.

17 Q Did you send the slide deck to BDT?

18 A Either I sent it or I may have given it to the
19 product managers to encrypt it and send it to BDT. So
20 it'd be one of those two.

21 Q Is the statement that the company has validated
22 all of its tests versus traditional laboratory and
23 reference methods to demonstrate their accuracy, was --
24 was that an accurate statement as of December 2014?

25 A No. I think the statement is way too broad.

0887

1 So I would say no. You can -- you'll always find
2 exceptions to that. So "all" -- the word "all" is -- is
3 a very broad word. So I would say no.

4 Q Do these charts compare the accuracy of
5 Theranos's methods to traditional reference methods?

6 A I actually don't know. Because R squared -- I
7 don't know -- this is a -- a topic that's above my pay
8 grade. So the technical people will be able to tell you

9 whether R squared is accuracy or specificity and other
10 things. I don't know how they correlate.

11 Q I guess, if you compare the axes on these
12 charts, one says "Theranos," one says "Bioassay."

13 Do you see that?

14 A I do.

15 Q For calcium?

16 A Right.

17 Q What is Bioassay?

18 A It's probably a vendor whose assay we use to
19 calibrate our assay.

20 Q And --

21 A I'm guessing here so --

22 Q And for cholesterol, the -- the axes are
23 "Theranos Total Cholesterol," and the other axis is
24 "Predicate Total Cholesterol."

25 Do you see that?

0888

1 A I see that.

2 Q Do you have any understanding what predicate
3 total cholesterol is a referenced to?

4 A This basically says there's a predicate device
5 whose values and Theranos's values had a R square of
6 .9 -- I cannot read the whole thing .96 or 98, something
7 like -- 99.

8 But predicate, what it was, no idea. I have no
9 idea. There's no way I can tell by looking at this.

10 Q Was Theranos using the TSPU to conduct
11 cholesterol testing in December 2014?

12 A No.

13 Q Was Theranos using the TSPU to conduct calcium
14 testing in December 2014?

15 A No. And I don't think -- if these slides came
16 from the discussion deck that says that either.

17 Q Why not?

18 A Because it would say. It says -- says
19 "Theranos." And I didn't -- can't read what's -- what
20 it says here on the next slide. It will say
21 specifically -- if it was comparing a device to device,
22 it'll say something about the device.

23 Even the -- the second graph that you pointed
24 out, the predicate, it doesn't mention the device name
25 here too. So not -- not only doesn't mention anything

0889

1 about Theranos, it also doesn't tell you whether this is
2 Siemens or, you know, whatever else.

3 Q So -- so you don't view these two graphs as
4 being misleading comparing a Theranos SPU versus a -- as
5 a -- a predicate SPU; is that --

6 A I -- I'm not sure I understand your question.

7 Misleading in what sense? Because --

8 Q You don't view either of these graphs for
9 calcium or cholesterol to reflect the accuracy of a test
10 on a Theranos SPU versus a predicate SPU.

11 A I don't read it that way, yeah. For sure.

12 Q If you turn to the next page, under
13 "Manufacturing," the first sentence there says: "Test
14 currently manufacturers 100 percent of its diagnostic
15 machines and associated consumables in a single plant in
16 Newark, California."

17 Do you see that?

18 A I do.

19 Q Was that an accurate statement in December
20 2014?

21 A Well, the diagnostics machines that we were
22 designing we were manufacturing. But even there, a
23 hundred percent is a strong word. I think we discussed
24 this last time that we were buying simple components
25 from other vendors. Like chips came from Intel.

0890

1 Motherboard came from -- I forgot the name of the
2 vendor.

3 So there's no way it was hundred percent being
4 manufactured by us.

5 Q At the same time, Theranos was using machines
6 that were manufactured by others as well?

7 A Yes. Absolutely.

8 Q Did you tell BDT that Theranos manufactured a
9 hundred percent of its diagnostic machines and
10 associated consumables in a single plant?

11 A The way it is written there, no.

12 Q What do you recall telling BDT about Theranos's
13 manufacturing?

14 A Well, I don't recall any specific conversations
15 with BDT on that topic.

16 Q Did you ever hear Elizabeth Holmes say to BDT
17 or any other potential investors that Theranos
18 manufacturers a hundred percent of its diagnostic
19 machines and associated consumables in a single plant?

20 A No.

21 Q If you look a couple of sentences down, it
22 says: "Unlike other sector participants, test operates
23 a vertically integrated manufacturing model."

24 A Sorry. Where are you again?

25 Q Third full paragraph.

0891

1 A Yes, I see that.

2 Q And then it goes on: "The company receives raw
3 materials, e.g., plastic, aluminum, etc., and constructs
4 each and every component of the finished products,
5 diagnostic machines and associated consumables."

6 A I see that.

7 Q Was that a true statement as of December 2014?

8 A No. And nobody made that statement either,
9 best of my -- best of my knowledge.

10 Q You didn't make this statement to BDT?

11 A No.

12 Q Did you ever hear Elizabeth Holmes say it?

13 A No.

14 Q Do you have any idea how BDT got the impression
15 that Theranos operated in a ver -- vertically
16 manufactured -- vertically integrated manufacturing
17 model and received raw materials and -- and construct
18 everything from there?

19 A So most of this, what is written here, is -- is
20 true. The cautionary is I'm -- I'm being careful to say
21 each and every component. Like I said, we absolutely
22 did not make each and every component. And nobody in
23 their right mind would think that we were making our own
24 chips, like CPUs and -- and some other components, which
25 I'm sure there were smaller parts.

0892

1 So -- so -- but, in general, the statement is
2 true that we were buying a ton of plastic and aluminum
3 and making majority of the machines or -- or
4 overwhelming majority of the machine. And consumables
5 hundred percent ours, I think.

6 Q In terms of a vertically integrated
7 manufacturing model, that -- that was specific to the
8 SPU and the -- and the consumables; is that right?

9 A Correct. Yeah. And the nanotainers. We were
10 making those also in-house. We were manufacturing those
11 here in the U.S.

12 BY MS. CHEN:

13 Q Did you ever describe to potential investors
14 that Theranos operated a vertically integrated
15 manufacturing model?

16 A Yeah. That's probably right. Again, not
17 hundred percent. But most people are reasonably
18 intelligent to know that we are not manufacturing Intel
19 chips here.

20 BY MR. KOLHATKAR:

21 Q Did -- did --

22 A But -- sorry.

23 Q I didn't mean to interrupt.

24 A But the answer is yes, we did operate a very
25 highly integrated board manufacturing facility. Yes,

0893

1 that's true.

2 Q Did you ever tell investors or potential
3 investors that Theranos was vertically integrated more
4 generally?

5 A Yes, absolutely. That's true.

6 Q Was that true even though Theranos was
7 purchasing and using third-party machines?

8 A So what? And if you look at the paragon in
9 technology industry, the paragon of vertical integration
10 is iPhone. They don't even make it. Foxconn makes it
11 in China.

12 The purpose of a vertical integration as a
13 stack, as an overall solution, is not that we are making
14 every single input into the entire stack ourselves. But

15 the point is the solution that we deliver to the
16 customers is fully vertically integrated.

17 So from the sample collection units, like T --
18 TSPUs -- sorry -- CTNs, all the software that they use
19 to track it, all of that was -- all the entire stack was
20 controlled by us. The -- it was seamless integration
21 point.

22 Theranos was the one provider that provided all
23 the services, like Apple does. So it is absolutely true
24 that we were very tightly integrated vert -- I actually
25 don't think any company in the world is as integrated in
0894

1 this industry. There may be few, so I don't want to say
2 any. But most companies are not as integrated as we
3 are.

4 Q The -- the Vacutainers that Theranos was using
5 for venous draw was --

6 A Right.

7 Q -- those -- it was not manufacturing itself?

8 A Oh, of course not.

9 Q Same with the butterfly needles it was using?

10 A Absolutely correct.

11 Q Would you consider Theranos to be vertically
12 integrated with respect to those aspects of its
13 business?

14 A If you just separate out those two things, the
15 answer is no. Just like iPhone has a touch screen made
16 by Samsung, which is their number one competitor. So if
17 just say Apple doesn't make the touch screen, the answer
18 is absolutely true.

19 But can anybody look at Apple iPhone and the
20 entire Apple stack and say vert -- Apple is not probably
21 the most vertically integrated company in the world, if
22 not, at least in the computer technology? Answer is
23 yes. They are considered to be the high bar of vertical
24 integration.

25 It's the same thing here. If you take one
0895

1 component out from our stack saying, "Did you guys make
2 the Vacutainer?" the answer is no.

3 But if you look at a lot of other components in
4 our stack, all the way from software to CTNs to our
5 couriers -- we owned our own couriers. We control them.
6 We had -- but clearly we're not making our own cars. We
7 bought them from -- from Toyota.

8 We had a software to track all this stuff. So
9 the end solution, just like Apple, was a fully
10 vertically integrated solution. Our solution, I
11 believe, was a very tightly vertically integrated
12 solution that had components from other manufacturers.
13 Absolutely.

14 Q What was the value add, in your view, of
15 providing a vertically integrated solution to retail
16 blood testing?

17 A Oh, it's tremendous. It's tremendous. First
18 of all, the patient experience is fantastic. Had we
19 succeeded, we would have -- it would have allowed us to
20 lower the cost even more, literally billions of dollars
21 from taxpayers.

22 Because, as the volume kicks in, it allows us
23 to scale better. And our marginal costs at some point
24 is basically reagents and software. And so scalability
25 is there.

0896

1 The -- I'll give you a simple example. From
2 the moment a patient walks in into a Walgreens location,
3 our entire software stack knew the patient is in that
4 location. So the labs guys, if they wanted to react to
5 those -- those triggers, they could have done that. The
6 labs knew the patient.

7 You know, Mr. Kolhatkar has just walked into
8 the Walgreens location. These are the tests they have
9 ordered.

10 So you could prepare everything up front in
11 advance knowing what is happening. And then the
12 payment, the insurance -- the integration that we did
13 with insurance companies allowed our patients to
14 integrate -- interface just with us, one company. Just
15 like Apple does.

16 So the -- and -- I mean, the call center
17 integration that we did when a person called our call
18 center, we wrote that software. We looked at all the
19 call center software. None of them was designed for
20 labs because labs really is a cottage industry. It grew
21 through mergers and acquisitions.

22 So there's not any good software to automate
23 call center for labs. We wrote the software. So now,
24 if some patient called us, we recognize the number. We
25 will pull up the record right in front of the

0897

1 technician. And if -- or if it is a doctor calling, we
2 could pull up all the information right in front of you,
3 and we could say, you know, "You have three patients
4 outstanding, you know, John, Jim, and Mary." Chances
5 are you're calling for those three.

6 So the information was there. Within a split
7 second we could pick up the phone and start interacting
8 on why they called us.

9 We also integrated using voice recognition. So
10 the doctor could say -- now, we hadn't launched this
11 yet, but the software was ready because of this
12 integration -- vertical integration -- that a doctor
13 called us, and we could say, "We recognize you calling.
14 Are you calling for Joe Doe?"

15 And the doctor could say, "Yes. I would like
16 to add a test." Press the button. Don't even have to
17 talk to a human. All of that was automated. And this
18 get -- gets pushed to the lab, and people can process it

19 automatically.

20 Q What was important to you about Theranos
21 vertically integrating its manufacturing process of its
22 SPUs?

23 A Yeah. Well, there are very significant
24 advantages there too. Medical device industry, unlike
25 the PC industry, is the opposite. And the PC industry,
0898

1 the scale is there. So you could buy stuff from other
2 vendors from China and Taiwan and Korea and -- and Japan
3 because one manufacturer specializes in making one thing
4 that everybody uses.

5 Medical device industry, there's no
6 standardization. Even the software is not standardized.
7 Even when you buy machines from one vendor, like
8 Siemens -- I -- when I made the decision saying, "Okay.
9 Siemens will be strategic partner," I thought all of the
10 machines and software is going to be the same, so
11 training time will be faster. It was not the case.
12 Completely different software.

13 And so we worked --

14 Q You mean between different models of the
15 Siemens machines than --

16 A Even within the different incarnations. So
17 when you go from ADVIA 1800 to ADVIA 24 -- 2100,
18 completely different software, right? You actually
19 can -- a person who's an expert on 1800 will be
20 completely confused on 2100. So even that level of
21 integration doesn't exist in the labs.

22 The fact that we had entire manufacturing
23 in-house here right in front of us also give us another
24 advantage, which is R&D. When our R&D guys came up with
25 the new change in our device, we could immediately see
0899

1 the impact on the overall system right there.

2 And actually our headquarter in Palo Alto, we
3 actually had this picture that you see on this page,
4 that big machine there --

5 Q So just for the record, you're referring to the
6 picture on --

7 A 1175.

8 Q -- 1175?

9 A Yeah.

10 This machine is called a CNC machine. This is
11 the machine that is used to make parts. So you take raw
12 aluminum blocks; you put in the machine; you program it;
13 and the machine gives you, you know, whatever you want
14 from it, like something, speak -- speaker or a tray made
15 of aluminum.

16 What we did was we actually had a machine like
17 this sitting in our headquarter in Palo Alto. This is
18 unprecedented. Because any time our engineers made a
19 tiny change in our TSPUs or -- and even BCDs, we could
20 immediately implement that right away.

21 We actually used to have a glass wall between
22 the room that had this machine and our R&D guys.
23 Because this concept that you have access to a machine
24 sitting there right next to you is so novel that
25 people -- even though the machine was there, they would
0900

1 forget about it. So we put a glass wall so they could
2 see the machine all the time.

3 This allowed us to make changes rapidly. Like
4 any time we had a -- a change to make, even when we were
5 doing modifications to the Siemens machines or whatever,
6 a tiny change we could just run through the entire
7 infrastructure right there, the entire stack will get
8 impacted, and how it would impact manufacturing.

9 We would actually show it to manufacturing
10 engineers and manufacturing people saying, "Look, we are
11 planning on taking these two parts and combining them
12 this way. Are you able to make it? Can you scale
13 around it?"

14 So this constant communication that was
15 happening between manufacturing the entire stack and our
16 R&D guys was just invaluable.

17 This is what allowed us -- or would have
18 allowed us to move so much faster than I think most
19 people would have ever imagined.

20 BY MS. CHEN:

21 Q Isn't -- going back to your Apple example.

22 Isn't -- isn't this a little bit different from
23 Apple, though, in that, you know, half or more than half
24 of Theranos's tests were performed using a process with
25 components that are entirely manufactured by third
0901

1 parties?

2 A Same for Apple. Apple's iPhone is, I would
3 say, even more than 50 percent made by third parties.
4 The entire iPhone -- first of all, you -- you -- agree
5 with you. I was just loosely using that as an example,
6 not exactly hundred percent comparison.

7 But Apple's entire phone is made by Foxconn.
8 Apple doesn't even own those factories. So --

9 Q I mean, I don't know what Apple --

10 A Yeah. I know.

11 Q -- is representing to other people about its
12 manufacturing process.

13 But the fact remains that you're describing
14 Theranos's manufacturing process as being vertically
15 integrated.

16 A So --

17 MR. COOPERSMITH: Hang on a second.

18 He -- you say he is describing. You're looking
19 at a document produced by a company called BDT.

20 MS. CHEN: Oh.

21 MR. COOPERSMITH: So let's be careful --

22 BY MS. CHEN:

23 Q I thought Mr. Balwani -- did you respond to a
24 question earlier in which you said you had previously
25 made comments that -- or described the company's model
0902

1 as being vertically integrated?

2 A I would say in -- but you asked me a question.
3 I -- I -- I forgot the exact question. But my point
4 was, in general, if I were to make a comment that our
5 manufacturing process was vertically integrated, that
6 would be true.

7 Q So have you ever made that comment or -- or
8 statement to anyone --

9 A I don't know if --

10 Q -- at the company?

11 A I don't know if I've made the comment that our
12 manufacturing process is vertically integrated, but if I
13 did, it would be true. But I don't recall if I
14 specifically did manufacturing.

15 But the other point, the broader question
16 Mr. Kolhatkar asked was: Did you make the comment that
17 our entire stack was vertically integrated in general.
18 My answer to that question was, in general, that was
19 true.

20 And yes, I would have made the comment. I
21 don't know if I made this with BDT or not. But, in
22 general, I viewed our stack as vertically integrated
23 because that was true more than any other company I know
24 of in this industry. So --

25 Q Did you believe it was misleading -- it would
0903

1 be misleading to make a comment or a statement to a
2 potential investor that Theranos's manufacturing model
3 was vertically integrated when more than half of its
4 tests were being performed on third-party machines?

5 A No, not at all. If we are talking about our
6 manufacturing, the fact that a machine we are buying
7 from Siemens, obviously we are not manufacturing it.

8 But this -- if somebody made a comment that
9 Theranos's devices are manufactured in a vertically
10 integrated environment in Newark, the answer is true.
11 Our devices were. And our consumables and OCTs were
12 manufactured by us.

13 BY MR. KOLHATKAR:

14 Q What about the broader point that you -- that
15 you talked about, that Theranos being the most -- being
16 vertically integrated?

17 A In general.

18 Q In general.

19 A Not the manufacturing, just -- yeah.

20 Q Not -- let me --

21 A Right.

22 Q Did you have any concerns that that would be
23 misleading, given that Theranos' was purchasing --

24 A No.

25 Q -- third-party devices?

0904

1 A No. I -- I think I answered the question. I
2 actually am very confident that not only we were
3 vertically integrated -- very vertically integrated, I
4 actually think there are very few companies in this
5 industry who are as vertically integrated as us -- as
6 us.

7 Doesn't mean, like I said earlier, that you
8 cannot just take Apple phone and say, "Here's a Samsung
9 monitor -- Samsung's touch screen. Apple didn't make
10 it." The answer is yeah, Apple didn't make it. But the
11 overall stack, the solution, the way iTunes works, and
12 the music -- Apple doesn't make iMusic either, right?
13 Somebody else buys the music.

14 But when you use the iPhone, the overall
15 experience is vertically integrated. And Apple is
16 regarded as the vertical -- company that's fully
17 vertically integrated.

18 So yeah. I mean, I'm comfortable with the
19 statement that we were, in general, a vertically
20 integrated company.

21 Q Did you ever hear Elizabeth Holmes use that --
22 that phrase to describe Theranos as well?

23 A In general?

24 Q In general, just that Theranos was vertically
25 integrated?

0905

1 A I don't recall any specific incidences. But, I
2 mean, if she did, it'd be accurate.

3 Q Yeah. My question is just if you recall.

4 A I don't remember.

5 Q Okay. If you take a look at the page ending in
6 117.

7 A 1171?

8 Q 77. Sorry. It's pages entitled "Ebola
9 Strategic Plan and Summary."

10 The last sentence of the first paragraph: "As
11 such, the CDC asked the company whether it was capable
12 of developing a quick response Ebola test using
13 fingerstick technology, a design feature divide by --
14 desired by the medical community, given the propensity
15 of fieldworkers accidentally -- propensity of
16 fieldworkers to accidentally stick themselves with
17 infected needles."

18 Do you see that statement?

19 A I do.

20 Q Was that a true statement in December 2014?

21 A The way it is written here is not.

22 Q What do you mean by that?

23 A Well, we did meet with CDC around Ebola. We
24 actually also hosted a conference around Ebola at
25 Theranos where we had participants from CDC. Actually,

0906

1 (b)(6); (b)(7)(C) flew himself
2 personally to attend that conference -- that meeting. I
3 shouldn't say -- call it a conference. It was a
4 meeting.

5 And -- and then there was also representatives
6 from military, some other agencies. I forgot which
7 ones.

8 So we did discuss the possibility of doing
9 fingerstick nucleic acid amplification test for Ebola.
10 But I wouldn't say that CDC asked the company. We
11 actually said, "We already have an EOA." And either we
12 had already submitted it or it was in works. So we
13 discussed that.

14 Q Did you ever tell BDT that the CDC had asked
15 Theranos whether it was capable of developing a test for
16 Ebola?

17 A No, I did not.

18 Q Did you ever hear Elizabeth Holmes say that?

19 A No, I did not.

20 Q What about the next paragraph down:
21 "Importantly, the company's diagnostic test is capable
22 of detecting the Ebola virus faster than any of its
23 known competitors."

24 Was -- was that true at the time?

25 A I don't recall at that time, which time frame
0907

1 exactly. But it wouldn't surprise me if actually we
2 were. Because we had our Ebola testing -- if you did
3 the combination of how fast we detected and how many --
4 how sensitive we were, I think we were -- at least in
5 our -- in our experiments, we are the best.

6 So it wouldn't surprise me if we made that
7 statement. But I don't remember specifically if I
8 did -- made that statement or not.

9 Q What about the statement two more paragraphs
10 down: "The company is currently working with the
11 government to finalize a contract which it plans to
12 announce in the coming months, launching in U.S.
13 airports shortly thereafter."

14 A Yeah, I think I answered this question earlier.
15 My recollection is I did not make this comment. And I
16 don't know if anybody, best of my knowledge, was talking
17 to the government or not.

18 Q At the bottom of the page, the last paragraph
19 there, it says: "The company believes it will be able
20 to conduct 10,000 tests per day without impacting other
21 segments of their business."

22 A I see that.

23 Q Did you ever provide BDT with this estimate of
24 10,000 tests per day?

25 A I don't recall that.

0908

1 Q Would that have been a fair estimate at the
2 time in December 2014?

3 A Well, I'll have to do some math. But I
4 wouldn't be able to respond to that right -- right on
5 the spot.

6 Q Generally do you have any recollection about
7 estimating the number of tests per day Theranos could
8 conduct for Ebola testing?

9 A I don't recall specifically.

10 Q If you turn to the next page --

11 A Page 78?

12 Q Correct. 78 and -- and 79.

13 If you look at the top of 78, it says -- 79.
14 I'm sorry. The -- the -- it says that: "The contract
15 does not limit or restrict" -- I'm sorry. Let me -- let
16 me strike that and start back.

17 You understand these two pages to refer to
18 Theranos's interactions with Walgreens? You see the
19 Walgreens heading there?

20 A I see the Walgreens heading.

21 Do you want me to read the whole thing, or are
22 you just asking --

23 Q Sure. Why don't you read from -- from
24 "Walgreens" down to "Arizona Performance to Date" on
25 1179.

0909

1 A Okay.

2 (Examines document.)

3 And you want me to read all -- sorry -- you
4 said all the way --

5 Q Just to "Arizona" maybe.

6 A Yeah.

7 (Examines document.)

8 Okay.

9 Q And if you take a look at the -- the top of
10 1179, do you understand these two pages to, at least in
11 part, reference Theranos's relationship with Walgreens?

12 A Yes, I do.

13 Q If you look at the top of 1179, it says: "The
14 contract does not limit or restrict test from opening
15 additional locations if the company chooses to do so."

16 Do you see that?

17 A I do.

18 Q Was that an accurate statement in December
19 2014?

20 A I believe so, yes.

21 Q How so?

22 A I mean, we were already opening additional
23 locations in Arizona. And we were not -- I mean, let me
24 make sure I understand your question.

25 You're saying the contract with a -- Walgreens'

0910

1 contract does not limit or restrict test from opening
2 additional locations if the company chooses to, which is
3 true.

4 I'm -- I'm not sure which part of --

5 Q I -- I guess was -- was it just the company's
6 choice to open up additional Walgreens locations?

7 A But I don't read this as saying Walgreens
8 locations. It says "additional locations."

9 Q Okay. So you read this as referring to other
10 non-Walgreens --

11 A Yeah. I mean, the point here will be -- again,
12 obviously, I didn't write it. So I'm just reading
13 what's written here.

14 The point is does the contract limit us from
15 opening any additional locations. The answer is no, it
16 didn't.

17 If, for instance, we had a deal with Safeway
18 and -- I -- I think our amend -- amendment in December
19 of 2013 said in Arizona we had to work with Walgreens if
20 we were going to do retail pharmacies with them.

21 But I believe we -- it didn't limit us from
22 opening additional locations with either Safeway or our
23 own locations, which we started doing.

24 Q Did -- did you tell BDT that the contract
25 did -- that the contract with Walgreens did not limit or
0911

1 restrict Theranos from opening additional locations
2 if -- if it chose to do so?

3 A I don't recall making that specific statement.

4 Q Do you recall hearing Elizabeth Holmes make a
5 similar statement?

6 A No, I don't.

7 Q You see a couple of paragraphs down it says:
8 "As part of the agreement, the two companies will
9 partner together to make test the largest clinical
10 laboratory in the U.S."

11 Do you see that?

12 A Yes, I do.

13 Q And the next sentence says: "This deployment
14 is on target and the two companies anticipating
15 achieving this milestone by the end of 2016."

16 A I see that.

17 Q Did you -- did you make that statement to BDT?

18 A I don't recall.

19 Q Was Ther -- did you ever hear Elizabeth Holmes
20 make that statement to BDT?

21 A I don't recall doing -- hearing that either.

22 Q Was Theranos projecting to partner with
23 Walgreens to be the largest clinical lab in the U.S. by
24 the end of 2016?

25 A You know, I don't know. I will have to look at
0912

1 the model to see how many locations we were going to be.
2 But at some point, once we hit I think 1,800 locations
3 or something like that total, we would have been larger
4 than Quest. So that would have made us the largest lab.

5 But I don't know if this is what he had in mind
6 or she -- whoever wrote this report had in mind. And if

7 that's what it's referring to and -- and who -- who made
8 the comment and what context.

9 Q Did you feel, in December 2014, that Theranos
10 was on track to open at 1,800 locations by the end of
11 2016 with Walgreens?

12 A Again, I will have to look at the model. I
13 don't remember top of my head.

14 Q The model would best reflect your expectations
15 of --

16 A Well, whatever assumptions I had made by
17 December of 2014 would be in the model.

18 BY MS. CHEN:

19 Q Weren't the parties discussing modifying the
20 contract at that time?

21 A Yes.

22 Q So why was it reasonable for you to believe
23 that the two companies would achieve deploying
24 nationally by the end of 2016 if the companies were in
25 discussions to modify the contract?

0913

1 A So first of all, I don't think I made that
2 statement, is the point I made earlier.

3 But going back to your point, that why -- the
4 fact that we were modifying the contract meant we were
5 modifying the terms of the contract, the economic terms
6 and some other details.

7 But both companies had every and full
8 intentions of working together and executing our plan in
9 terms of footprint the way we had planned. So they -- I
10 didn't -- had -- had no reason to believe that there was
11 a change in that. We were modifying the terms for sure,
12 the economic terms back and forth, but not the -- the
13 vision of being in X number of locations.

14 BY MR. KOLHATKAR:

15 Q If you turn to the next page ending 1180,
16 there's a section on Safeway.

17 Do you see that?

18 A I do.

19 Q And the second paragraph under Safeway says:
20 "The company projects to launch wellness centers within
21 Safeway stores in 2015 beginning in California."

22 Do you see that?

23 A I do.

24 Q Was -- was -- was that an accurate statement as
25 of December of 2014?

0914

1 A I think -- again, I'm not sure about the dates
2 here. We were negotiating with Safeway the launch
3 and -- and -- and negotiate the lessee-landlord model.
4 So this one here says the company projects to launch
5 with Safeway stores in 2015.

6 Q That part -- that part you view as true?

7 A Yeah. I think --

8 Q Yeah.

9 A -- that's a fair statement.

10 Q What about the beginning in California part?

11 A That was also true, but we were still
12 negotiating. That may have changed. But I think the
13 expectation was that, if we had modified the contract
14 successfully, we would have launched in California.

15 We had actually already started mapping which
16 Safeway stores we were going to be in I think by that
17 time. So that, too, probably would be true.

18 Q Did -- did you tell BDT that the company was
19 planning on launching wellness centers within Safeway
20 stores in 2015 beginning in California?

21 A I don't recall making that specific statement
22 to these guys.

23 Q Did you ever make it to any other investors?

24 A I -- I don't recall that.

25 Q Did you ever hear Ms. Holmes tell that to BDT
0915

1 or anyone else?

2 A I don't recall that either.

3 Q If you take a look at page ending in 1183.

4 A Okay.

5 Q There is a chart that lists company
6 projections.

7 Do you see that?

8 A I do.

9 Q Do you recognize these projections?

10 A I will have to look at the model. But my
11 guess -- and this is just a guess -- is that this is a
12 cut-and-paste off some piece of the model and project --
13 and pasted here.

14 Q And if you look at Q4 2014 in the -- in the --
15 under the company projections, it -- there -- there --
16 there's a list for \$8 million from physicians offices,
17 \$43 million from hospital courier, and 8 million from
18 pharma services.

19 Do you see that?

20 A I see that.

21 Q Did you expect in December 2014 that Theranos
22 would generate \$8 million in revenue from physicians
23 offices?

24 A I don't recall. I'll have to look at the
25 model. But if I were to guess, I think the answer would
0916

1 be no.

2 Q Why is that?

3 A Because I think 2014 is when we had just
4 started the physicians office. So I don't know what
5 revenue numbers we would have generated for physicians
6 offices.

7 Q What about the -- for hospital courier
8 services; did you expect Theranos to earn \$43 million in
9 the fourth quarter of 2014 for --

10 A No. No, we did not.

11 Q What about \$8 million for pharmaceutical
12 services?

13 A That, I don't remember.

14 Q Did you provide these projections to BDT?

15 A No.

16 Q Did --

17 A I provided them the model. Like I said
18 earlier, they probably cut and paste some sections from
19 the model into this one and put this header on here.

20 Q Do you think you provided them the numbers in
21 the model of physicians offices, hospital couriers, and
22 pharma services?

23 A No. When I had shared the model with them, we
24 actually had sat down together, modified the model. And
25 they had introduced this whole concept of quarterly

0917

1 revenue. So this was their idea, not mine.

2 Q What about annualized revenue; did you share a
3 model with them that -- that projected revenue of over
4 \$40 million for hospital courier services in 2014?

5 A No, I did not -- like I said, I did not give
6 them any projections. But whatever is in the model I
7 gave them is -- is what I gave them. So if I had the
8 model, I'll be able to answer that question.

9 Q With respect to pharmaceutical services, I had
10 understood your answer earlier to be that the model
11 would best reflect your assumptions for how much revenue
12 Theranos would earn from pharmaceutical services at any
13 given point in time; is that fair?

14 A I would say the model would have all the
15 assumptions around whatever business would look like
16 around pharmaceutical companies, yes.

17 Q And on what -- did you -- in December 2014, did
18 you expect to receive \$40 million in pharmaceutical
19 services in 2014?

20 A I think -- first of all, I think this is not
21 December 2014. This is just entire 2014.

22 But the answer is I don't know. I'll have to
23 look at the model. But from speaking just from memory,
24 the answer is no.

25 Q Why not?

0918

1 A Because I don't think in 2014, like I mentioned
2 earlier, we were doing anything -- any significant work
3 for pharmaceutical companies. It just got delayed and
4 delayed and delayed.

5 Q By -- in the first three quarters in 2014, had
6 Theranos generated any revenue from pharmaceutical
7 services?

8 A Not that I recall. But I wouldn't know. I
9 could be wrong about that.

10 BY MS. CHEN:

11 Q So if the financial model that you provided to
12 BDT showed that Theranos was projecting to make

13 \$40 million in all of 2014, would that not be correct?

14 A The financial model would have some baseline
15 numbers that we both sat down and worked on and
16 modified. And like I said, they made -- made some
17 significant changes to the model.

18 But the assumption -- the main underlying
19 assumption behind the model was you could just go and
20 change the title of the months at the top and -- for
21 example, if the model was delayed by a quarter or two
22 quarters, even a year, you just change the headers at
23 the -- at the top, and the model got -- got pushed out
24 by one quarter or two quarter.

25 And because our model was pretty -- you know,
0919

1 and was -- it was heavier on the longer tail side, on
2 the -- on the outside edge of the projections, if you
3 ship -- slip the -- even by one quarter, the entire
4 revenue for the entire year got impacted by, you know,
5 not just quarter but 30, 40, 50 percent in some cases.

6 So that was kind of the -- the -- the -- the
7 thought behind the model.

8 Q Okay. Maybe I don't understand your answer to
9 my question.

10 So if your -- the model that you sent to BDT
11 had \$40 million being projected for 2014 for
12 pharmaceutical services, are you saying that that would
13 be incorrect?

14 A Depending on when I -- but answer will be yes,
15 that would have been incorrect.

16 Q So -- but why would you be sending incorrect
17 information to BDT?

18 A I think I answered this question last time.
19 But the answer is we were using this model -- when I
20 started working with BDT, I -- this was the -- I
21 think -- since January of 2014, I hadn't spent much time
22 with this model and cleaning it up and adding additional
23 assumptions and lot of other information that we had
24 learned between January and September of 2014.

25 So when I sent them the model in October or
0920

1 September of 2014, it was basically model that, you
2 know, I don't think I had shared with anybody in a
3 while.

4 And so I sent it to them saying, "Here's the
5 model. Let's start making changes to this thing, modify
6 it."

7 But it is possible that those numbers were
8 actually there from earlier, not just updated as of
9 October. Maybe two, three, six months from earlier. So
10 I'm guessing here without looking at the different
11 models.

12 So I just sent them a model saying, "This is
13 the model I've used in the past as a planning tool. But
14 we'll use this as a baseline working model."

15 BY MR. KOLHATKAR:

16 Q In that second past review of the BDT --
17 this -- this memo that you recalled earlier, did you
18 recall looking at these numbers and thinking they are
19 out of date?

20 A No. I didn't -- I didn't look in that much
21 detail.

22 Q If you see the section under "Retail
23 Pharmacies" under "Arizona," it says: "Test plans to
24 add 10 to 15 additional wellness centers in Arizona."

25 A I see that.

0921

1 Q Was that true in December 2014?

2 A We certainly were planning to add more test
3 centers in Arizona, yes.

4 Q But were there -- were there any plans to add
5 10 to 15 more?

6 A Yeah. We actually -- I mean, again, I don't
7 know what time frame this is referring to. But in
8 December or January, we started working pretty
9 aggressively to add more test centers so that we could
10 have the statewide footprint.

11 And I believe, in the first five or six months
12 of 2015, we did sign 10, 15, 20 contracts for our
13 wellness centers. But I could be off by -- by few
14 months here and there.

15 Q What about for the next sentence down there,
16 the -- the launch in major cities including New York,
17 Chicago, and San Francisco; were those next planned
18 areas of launch for Theranos's presence in Walgreens
19 health clinics?

20 A We -- we had discussed with Walgreens what our
21 next states would be. And like -- I think I mentioned
22 that last time also. New York and San Francisco were on
23 top of the list. And we were talking to them about --
24 about Illinois, if we should be launching in Illinois or
25 not.

0922

1 So those were -- actually, those three states
2 that were -- actually, Tennessee was a fourth one we
3 were discussing with Walgreens.

4 Q The introduction to this section says -- under
5 "Walgreens Location" it says: "Test currently has 41
6 wellness centers in Walgreens stores, 40 in Arizona, one
7 in Palo Alto, CA, and plans to open wellness centers in
8 900 total Walgreens pharmacies by year-end 2015."

9 A I see that.

10 Q Was -- was that a true statement as of December
11 2014?

12 A I'll have to look at the model. I wouldn't
13 remember exactly what I had in the model.

14 Q But -- but if the model -- your model as of
15 December 2014 reflected that number of stores, that
16 would reflect your best estimate of the number of stores

17 that you expected to grow in 2015?

18 A I will have to look at the model and the
19 assumptions back at that point. So -- I mean, I would
20 not guess what is in the model at this point.

21 Q Other than looking at the number of stores that
22 are projected to roll out in the model, would there be
23 any other information in the model that would answer --
24 answer that question?

25 A Well, it -- it depends on if I -- the

0923

1 discussions we were having with Walgreens in summer of
2 2014 had it all -- had updated all those -- all that
3 information in the model by this time frame or not.
4 I -- I'm not sure.

5 For example, I think we discussed that -- that,
6 in summer of 2014, Walgreens wanted us to be in 2,000
7 locations. I don't think I ever entered that
8 information in the model that we'll be in 2,000
9 locations in 12 months at Walgreens, even though this is
10 what they had wanted us to do.

11 So I had not updated the model completely based
12 on the conversations I was having with Walgreens at that
13 point. So it's possible that my model -- even though I
14 was spending time on it, but I was not spending that
15 much time -- that it is absolutely accurate that
16 captures all the information.

17 Q Turning to the next page, the Safe -- for
18 Safeway locations, the last sentence there says: "Test
19 plans to open 450 centers by year-end 2015."

20 A I see that.

21 Q In December 2014, did you believe Theranos
22 would open 450 Safeway locations by the year-end 2015?

23 A I think I will give the same answer. I would
24 have to look at the model and the underlying assumptions
25 to be able to answer this question affirmatively.

0924

1 Q If you look at the -- a couple bullet points
2 down, it -- under "Requisitions Per Day," it says:
3 "Consistent with performance in Arizona to date" --
4 that's bracketed -- "the company expects each Walgreens
5 wellness center to generate 40 requisitions per day."

6 Do you see that?

7 A I do.

8 Q In December 2014 did you expect the company to
9 generate 40 requisitions per day in Walgreens?

10 A Our -- I think our model reflected 35, is my
11 recollection. That was what in -- what was in the
12 model.

13 Q And at the time did you expect Walgreens to --
14 Theranos to be able to -- to generate 35 requisitions
15 per day at Walgreens?

16 A My expect -- actually, expectation was much
17 higher. But like I said, I have a model. I had used 35
18 as a reasonable number. In reality my expectation was

19 that, given the right geography and right execution, we
20 would have been significantly higher than 35.

21 Q If you look at physicians offices a little
22 lower, it says -- under "Locations" it says: "The
23 company is currently in 101 physicians offices and plans
24 to be in approximately 700 offices by year-end 2015."

25 Do you see that?

0925

1 A Yeah, I see that.

2 Q Was Theranos in 101 physicians offices in
3 December of 2014?

4 A I don't think so.

5 Q Did you ever tell BDT that?

6 A No.

7 Q Did you ever hear Elizabeth Holmes tell BDT
8 that?

9 A Nope.

10 Actually, let me point out one more thing here.
11 If you look at one line below that, "Requisitions Per
12 Day," it says that the company -- in the middle of that
13 paragraph: "Company assumes four of these requisitions
14 are sent to test resulting in an average of 20
15 requisitions per day per office --

16 THE REPORTER: I'm sorry.

17 THE WITNESS: Sorry.

18 THE REPORTER: Resulting in --

19 THE WITNESS: Let me read the whole thing:
20 Requisitions Per Day. The average physician office has
21 five doctors, and the average doctor writes 10 to 15 lab
22 scripts per day. The company assumes that about four of
23 these requisitions are sent to test."

24 So if we were in those locations, we wouldn't
25 have to assume anything; we would have that information.

0926

1 So they are kind of contradicting here -- themselves
2 here, too, is the point I'm making here, that -- this is
3 why we -- I'm confident I did not make that statement.

4 Because if I had made the statement, I would
5 not have made the other statement about what my guess
6 would be requisitions per day because I would have
7 known.

8 BY MR. KOLHATKAR:

9 Q If you turn to the next page under 1185,
10 there's a section on pharmaceutical services.

11 A Yes.

12 Q And it says, under "Pharmaceutical Services,
13 Cartridges": "Test current runs 3,000 samples per
14 month, 100 per day. Given current contracts, it expects
15 this number to increase to 5,000 in the second half of
16 2015."

17 Do you see that?

18 A Yes, I do.

19 Q In -- in December of 2014, was Theranos running
20 3,000 samples per month for pharmaceutical services?

21 A No, we were not.
22 Q Did he ever tell that to BDT?
23 A Absolutely not.
24 Q Did Elizabeth Holmes ever tell that to BDT?
25 A Nope. Not to my knowledge.

0927

1 Q Do you have any idea how BDT gained the
2 impression that Theranos was running 3,000 samples per
3 months?

4 A My guess is whoever wrote this looked at the
5 model, probably picked up those numbers from the model
6 and entered this over here. Just like they cut and
7 paste the spreadsheet that you showed me earlier, my
8 guess this is where it's coming from.

9 But I don't remember anybody saying this to
10 them.

11 Q Did you ever represent to any investors or
12 potential investors that Theranos was currently running
13 samples for pharmaceutical companies in 2014?

14 A Personally, I didn't. I don't recall making
15 that.

16 Q Did you ever hear Elizabeth Holmes say
17 something similar?

18 A I don't recall.

19 Q Did you ever represent to investors that
20 Theranos had developed proprietary devices that were
21 conducting all of the blood tests that a central lab
22 could conduct using a few drops of blood?

23 A No.

24 Q Did you ever hear Elizabeth Holmes say
25 something like that?

0928

1 THE WITNESS: I said no.

2 THE REPORTER: I didn't hear the answer. Okay.

3 THE WITNESS: "No." Yeah.

4 THE REPORTER: And then your question?

5 BY MR. KOLHATKAR:

6 Q Did you ever hear Elizabeth Holmes say
7 something like that?

8 A No.

9 Q Did you ever represent to investors that
10 Theranos manufactured all of its blood analyzers?

11 A In general, if I said that, it would be true.
12 Like I -- we discussed earlier the analyzers that we
13 designed, the TSPUs, we did manufacture them.

14 Q Did you ever represent to investors that
15 Theranos manufactured all of the blood analyzers it was
16 using in the CLIA lab?

17 A No.

18 Q Did you ever hear Elizabeth Holmes say
19 something similar?

20 A Nope.

21 Q Did you ever represent to investors that
22 Theranos was voluntarily seeking FDA approval of its

23 tests?

24 A Personally, I don't recall saying exact those
25 words.

0929

1 Q Did you ever hear Elizabeth Holmes say
2 something like that?

3 A I don't recall specifically that.

4 Q Did you ever represent to investors that
5 Theranos was seeking FDA approval of its tests
6 voluntarily because it was the gold standard or highest
7 standard?

8 A Again, I don't use -- I -- I don't know if I
9 used exact those words or not. But this concept that we
10 as a clinical lab were seeking FDA approval voluntarily
11 was true. So we would have -- that statement saying
12 that would not be inaccurate.

13 And yes, FD -- I do consider FDA as a gold
14 standard. So again, I don't remember saying that. But
15 if I said that, that would be a reasonable thing to say.
16 And -- and, in my mind, that is true.

17 Q I guess do -- do you remember saying something
18 along those lines to any investors or potential
19 investors?

20 A No. That's the first thing I said is no, I
21 didn't.

22 Q Did you ever hear Elizabeth Holmes say
23 something along those lines?

24 A No.

25 Q Did you ever represent to investors that FDA

0930

1 approval of Theranos devices was not required?

2 A Me personally? No.

3 Q Did you ever hear Elizabeth Holmes say that?

4 A I don't recall that statement.

5 Q Did you ever tell investors that technology
6 demonstrations of a Theranos fingerstick would be run on
7 devices manufactured by Theranos?

8 A If that were true, then -- I mean, I don't
9 recall saying that specifically again. Sorry. Let me
10 answer that question first. Specifically, no.

11 Q Do you recall Elizabeth Holmes ever specifying
12 that the TSPU would be the device used to run a tech
13 demonstration?

14 A I don't specifically remember her in any one
15 instance.

16 But like I was about to say, in general, if
17 that were true, then that would be a true statement.

18 Q Did you ever represent to investors that
19 Theranos had grown its business from contracts with the
20 military?

21 A No.

22 Q Did you ever hear Elizabeth Holmes say
23 something like that?

24 A No.

25 Q Following the Wall Street Journal coverage in
0931

1 sort -- sort of October 2015, did you receive any
2 complaints from any investors?

3 A Personally, I don't know of any. I don't
4 recall any.

5 I mean, sorry. Let me rephrase that.

6 In 2016 obviously PFM was one. But besides
7 that, I personally did not hear from anybody.

8 Q Setting the PFM aspect aside, did -- did any
9 other investors complain about the accuracy of the
10 information you provided to them?

11 A Not -- not to me, no.

12 Q When did you leave Theranos?

13 A I think my last day at the company was May 19th
14 or 20th of 2016. But I had six weeks of PTO. And I
15 used that to be available for the company in case if
16 there's anything they needed from me. But I did not go
17 to the office during those six weeks.

18 So that would -- that took me to first week in
19 July was my last official day.

20 Q Why did you leave Theranos in 2016?

21 A I was thinking about leaving Theranos because I
22 was burnt out. I was working very long hours,
23 unfortunately. And my passion is product, spending more
24 time building products and technology and software. And
25 more and more, as the company grew, I got less and less

0932

1 chance to do that.

2 So I started discussing that with Elizabeth
3 that at some point either I was going to take a very
4 long sabbatical and come back or just leave the company.

5 Q Did Elizabeth Holmes ask you to leave Theranos?

6 A No, she did not.

7 Q Did the board ask you to leave Theranos?

8 A No.

9 MR. KOLHATKAR: I'm going to take a quick break. Go
10 off the record at 3:51.

11 THE WITNESS: Okay.

12 THE VIDEO OPERATOR: Going off the record. The time
13 on the video monitor is 3:49.

14 (Recess taken.)

15 THE VIDEO OPERATOR: Back on the record. The time
16 on the video monitor is 3:56.

17 BY MR. KOLHATKAR:

18 Q So, Mr. -- Mr. Balwani, just to confirm --
19 we're back on the record at 3:56.

20 We didn't have any substantive conversations
21 during the break; is that correct?

22 A That's correct.

23 Q Changing topics for a minute. The -- to a
24 couple of Theranos policies.

25 Did -- did Theranos have reimbursement policies

0933

1 for personal expenses?

2 A Reimbursement policy for personal expenses.

3 Q Like -- like if you -- if you -- did Theranos

4 maintain corporate cards?

5 A Yes.

6 Q And did it have policies on how the company
7 should be reimbursed for personal use of those cards?

8 A Yeah. Should be only used for business
9 purposes strictly.

10 Q Who -- who had to approve items expensed to the
11 corporate card?

12 A It depending -- depended on the -- not too many
13 people had a corporate card. But it would -- it would
14 go to the manager of the person who would approve those
15 expenses. Otherwise, the person will have to pay out of
16 pocket.

17 So if somebody reported to me, charged
18 something on the card, it'll come to me for approval.

19 And if it is somebody reported to that person, it will
20 go to them for approval. And --

21 Q And we -- we spent some time talking about the
22 people who reported to you -- to you last time. And I
23 want to kind of revisit that.

24 But do you have a general recollection of what
25 level of seniority a person would have a corporate card?

0934

1 A We had -- like I said, very few people or
2 people who had to do a lot of traveling for business.
3 So product managers did. But they used to report to me,
4 so I got to see their expenses.

5 And I think there was one or two people in
6 supply chain who had made -- had that card. There was
7 one -- we had general managers in Arizona who had those
8 cards. They were using for, again, ordering food or
9 other supplies for the office.

10 Q And what were the mechanics of reimbursement
11 there?

12 Would the company pay the -- the -- the card;
13 and then, if there were personal expenses on it, the
14 employee would pay the company back? Or --

15 A Yes. That's -- that's how it worked. That's
16 my understanding, yes.

17 Q Did the same policy apply to you and
18 Ms. Holmes?

19 A Yes.

20 Q Who reviewed Ms. Holmes's corporate card
21 statements?

22 A I don't know.

23 Q Not you.

24 A Not me.

25 BY MS. CHEN:

0935

1 Q If there was a lower-level employee who would
2 have the corporate card and they had a -- a manager,

3 after that manager approved those expenses, would it
4 then be reviewed again by somebody else?

5 A You know, I think, if there was a certain
6 expense that was flagged by the controllers, the -- the
7 people in the finance team, then it will come to me.
8 And that did used to happen. But it wasn't often.

9 I mean, primarily that's because we only give
10 cards to very few people. And the people who had it,
11 you know, I consider them very honest and responsible
12 people. So it was not a big worry.

13 Q So the managers would approve the expenses; it
14 would then go to the controllers; the bill would be paid
15 unless the controllers flagged an issue for you.

16 Is that how it worked?

17 A I don't know how the process worked. But I'm
18 just describing from a business perspective that a
19 person, A, used the card for something. Then their
20 manager would approve it. And if it is a reasonable
21 expense, then it would go through, theoretically
22 speaking. But if it was something that the finance team
23 flagged, then it'll come to me.

24 But --

25 Q Okay.

0936

1 A -- that's a theoretical scenario. Because most
2 people who had cards, like I said, were either reporting
3 to me -- I think -- or -- or Elizabeth. I don't think
4 their direct reports are too -- there are too many
5 people who -- whose direct reports had cards. At least
6 I don't remember.

7 Q And did the employee who was -- who had the
8 expenses, did they have to submit something on a form
9 showing, you know, here are the charges on the card that
10 were for business purposes, and here's the justification
11 for it?

12 Was there any paperwork that employees needed
13 to submit to the company?

14 A I believe so. There was expense form that
15 employees had to submit. But I don't know how it worked
16 in relationship to the corporate card. So those are
17 maybe two different things.

18 BY MS. WINKLER:

19 Q When you say someone in finance might have
20 flagged something, who would have -- who were those
21 people in finance who would look at these things?

22 A (b)(6); (b)(7)(C) who used to
23 look at it. She may have subordinates who probably
24 looked at her -- for her. I don't know the process.

25 But when it came to me, it would usually be

0937

1 finance will give it to my assistant saying, "Sunny
2 needs to look at these and approve these." It will come
3 to me then in that case.

4 But like I said, in most cases the cards were

5 really with people who were either directly reporting to
6 me or Elizabeth. So this additional tier was -- it
7 didn't really exist. Because, by definition, then
8 everything came to me or Elizabeth first. And I
9 actually would say probably to me. Because Elizabeth
10 was busy. They would hand off the documents to (b)(6);
11 (b)(7)(C) and in the evening I would look at those.

12 BY MR. KOLHATKAR:

13 Q Were you ever asked to review any of
14 Ms. Holmes's expenses on -- by the controller or by
15 anyone in the finance department?

16 A No.

17 Q Do you know if Theranos's corporate card was
18 used for Ms. Holmes's personal expenses?

19 A I would -- I -- I don't know how to describe
20 "personal expense."

21 But you mean nothing to do with business, just
22 for like our shoes?

23 Q Clothing, shoes, other things like that.

24 A I doubt it, no. I would not think that
25 clothing and shoes was included. But -- but we did used

0938

1 to work long hours. So food would be included,
2 groceries. They would -- because I never went home most
3 times. My -- my refrigerator in the office. So food
4 was delivered there. So that would be on the company
5 card because I was in the office.

6 So those things would be there. But I doubt
7 shoes and clothes would be on the card.

8 Q I guess do you know if -- if Theranos's
9 corporate card was used for Ms. Holmes's personal
10 expenses?

11 A Well, again, I'm making sure -- if you're
12 describing personal expenses as nonbusiness-related
13 shoes and clothes type of stuff, then to the best of my
14 knowledge, the answer is no.

15 But obviously I didn't look at every expense
16 report, so I don't know.

17 BY MS. WINKLER:

18 Q Do you recall any specific instances where you
19 actually did tell one of your subordinates that, "The
20 company is not covering this expense. You have to pay
21 it back"?

22 A Yes, I did.

23 Q Okay.

24 A Yeah. That happened. Yeah.

25 Q Is there a particular employee that comes to

0939

1 mind?

2 A No particular employee. But sometimes people
3 will go to a conference, and they'll buy a ticket a
4 certain way. And I will reject it saying, "No. You
5 should have bought a cheaper ticket." Or I'll give them
6 a warning shot saying, "Next time, if you do this,

7 you're on your own."

8 But yeah. I -- I used to look at that
9 information, unfortunately, yeah.

10 BY MS. CHEN:

11 Q Did you ever use your corporate card for
12 personal expenses?

13 A No.

14 Q Did you have a personal assistant at Theranos?

15 A Yes. We both did personal -- had personal
16 assistants.

17 Q Who -- who was your personal assistant?

18 A Initially we used to share one. Her name was

19 (b)(6); (b)(7)(C) I forgot her last name. Then for last two
20 or three years (b)(6); (b)(7)(C)

21 Q And who were Ms. Holmes's assistants?

22 A This lady, her name was (b)(6); (b)(7)(C) I forgot her
23 last name -- and (b)(6); (b)(7)(C) were her assistants.

24 Q Is this (b)(6); (b)(7)(C)?

25 A Yes. Those are the right names.

0940

1 Q And then (b)(6); (b)(7)(C) is that (b)(6); (b)(7)(C)?

2 A Yes. But there were other assistants also.
3 Because obviously over last seven, eight years we had
4 other assistants. But this is what I -- the last two or
5 three that I remember.

6 Q Did you ever ask either (b)(6); (b)(7)(C) to
7 help you with your personal errands?

8 A All the time. That was their job.

9 Q What did -- what did they do for you?

10 A Anything that would save me time that I can put
11 into the company.

12 So there were times where (b)(6); (b)(7)(C) actually
13 brought measurement tapes to measure my collar and my
14 sleeves and my waist because I was running out of
15 shirts. And she went and bought shirts for me.
16 Obviously I paid for the shirts. But I didn't have to
17 go to the mall. So it saved me an hour and a half.

18 Every day I went from home to office. And I
19 had this addiction to Peet's coffee. And I would go and
20 pick up Peet's coffee. But that would be 20-minute
21 detour. So over 30 days, that's 600 minutes. Plus
22 during the lunch I needed another cup of coffee. So
23 it's about thousand minutes a month. That's, you know,
24 full day or two of productive time. So I would ask my
25 assistants to do that for me.

0941

1 Sometimes my shower would leak at home, and
2 plumber will give me a four-hour window. I didn't want
3 to be there. I want to be in this office. So I would
4 send my assistant saying, "You go sit there. And if I'm
5 needed, then call me when the plumber is there. Or you
6 just deal with it so I don't have to deal with it."

7 So pretty much anything that would save me time
8 for work I would delegate to my assistants.

9 BY MR. KOLHATKAR:

10 Q It was always your practice then to reimburse
11 the company for whatever actual services, right, the --
12 the plumber in that instance or the coffee?

13 A Oh, yeah. Yeah. No. Those were -- that was
14 my money. I would actually give her my card. And
15 they -- those charges would show up on my card. I used
16 to review my own cards too. So yeah. Absolutely.

17 Now, I would -- I would not charge company
18 anything. I mean, I was -- when I joined the company, I
19 had asked the company to pay me a dollar a year until
20 the company went -- was breaking even. So I had no
21 desire to take anything from the company.

22 BY MS. CHEN:

23 Q Were your assistants authorized to use your
24 personal credit cards?

25 A Yes. My personal assistants knew my passwords
0942

1 to my credit cards, my airlines. They knew my plumbers.
2 They knew pretty much everything.

3 Q And did you use your personal assistants to
4 perform work -- you know, home improvement work
5 around -- around your home --

6 A Yeah.

7 Q -- as well?

8 A Any project that I had that would, like I said,
9 save me time, I would delegate to them. So I would
10 initiate the project saying, you know, my gate is
11 broken, for example. There was one time my door broke.
12 It was going to be two days' worth of work.

13 When I was at Theranos, of the 20-plus weeks
14 PTO that I got at Theranos, I think I took 20 days maybe
15 at tops. So any time that I could save and put in the
16 company, I would.

17 So yeah. I mean, two days sitting at home
18 fixing a door was something I was not going to do. I
19 would rather be at work. And then I would have my
20 assistant go there.

21 Now, I'm giving you examples that kind of
22 piling up. But, in general, I would say my personal
23 assistant or my secretary was spending maybe 20 percent
24 of her time on nonoffice, quote/unquote, work. But
25 everything else was being done in the office.

0943

1 It's just that I had one point of contact who
2 was managing my personal life so I could just delegate
3 everything to her.

4 Q And that 20 percent/80 percent split between
5 personal versus professional tasks, was that sort of
6 similar to how Ms. Holmes was using her personal
7 assistants as well?

8 A I wouldn't know, but I would guess that's about
9 right. I mean, quite honestly, I didn't have much of a
10 personal life. So there was not much work to be done.

11 The only time it was needed was when something
12 broke. I didn't really do home improvements for the
13 sake of doing home improvements. I didn't have the time
14 for that. Because I would have -- home improvements
15 require your time. But I didn't do that.

16 But either things broke or something is
17 radically, you know, off, then I would delegate to my
18 assistant, say, "You deal with it. Here's my plan.
19 This is what I want you to do. But now go and do it."

20 Yeah.

21 Q Were you aware that one of the personal
22 assistants was doing -- was hiring contractors and
23 interior designers for your home?

24 A Yeah, yeah.

25 Q Okay. I was just trying to square that with

0944

1 what you just said, which is that you wouldn't be doing
2 home improvement work, but it's only if you were doing
3 home repairs that you would ask.

4 A Yeah. I had a need --

5 Q So I was just --

6 A Sorry. I didn't mean to interrupt.

7 THE REPORTER: Sorry. Sorry.

8 MS. CHEN: Yeah.

9 THE REPORTER: Start again.

10 THE WITNESS: There was -- there was --

11 MS. CHEN: Do you need me to --

12 THE REPORTER: Yeah. Go ahead. Because it got cut
13 off.

14 MS. CHEN: Okay.

15 BY MS. CHEN:

16 Q So I was trying to square what you just said
17 with -- with your prior statement, which is that you
18 would ask your assistants to help with home repairs but
19 not home improvement tasks.

20 So are you now saying that your assistants also
21 helped with home improvements --

22 A Yeah. I think --

23 Q -- work?

24 A -- we are using semantics here. The -- the net
25 effect was there were certain areas of my house where

0945

1 either the furniture was broken -- I don't remember
2 exactly what project this was. But this was certainly
3 something that needed some upgrade. And that would have
4 taken my time.

5 And the net net of this thing is my time. That
6 was the valuable commodity here, at least I thought.
7 And -- and so anything that would save me my time. For
8 example, if I had a space in my room where I had no
9 furniture, and when people came, you know, a couple of
10 times, they had to sit on the floor and -- which was
11 fine with me, but other people don't like it.

12 So I had -- you know, I had my assistant,

13 saying, "Go find me some furniture that I could put in
14 the room." So I would consider that as an upgrading, a
15 necessity that I would have spent my time.

16 Other examples would be, you know, my niece's
17 birthday. You know, she's four. I -- I didn't want to
18 spend time buying a birthday gift for her. I would give
19 it to my assistant saying, "You go and buy something."
20 She bought me a card. I just signed it and showed up
21 for the birthday. So that saved me, you know, two,
22 three hours.

23 So stuff like that was all delegated to her.
24 The important thing is, it saves me time, I would ask
25 somebody else to do it.

0946

1 BY MR. KOLHATKAR:

2 Q During your time at Theranos, were -- were you
3 in a relationship with Elizabeth Holmes?

4 A Yes, I was.

5 Q I mean, just approximate just kind of start and
6 end times for that relationship, if -- if you're able to
7 provide it.

8 A Yeah. I would say 2004 is when we started.
9 We were in a relationship. And then 2016 is when it
10 ended. And during that time, you know, we were kind of
11 in and out of relationship few times. But that's the
12 ballpark.

13 Q And is it -- would you say for the majority of
14 that time from 2004 to 2016 were you living with
15 Ms. Holmes?

16 A Yes.

17 Q When in 2016 did the relationship end?

18 A When I left the company. We were kind of
19 drifting apart, but we were -- we didn't have time to
20 move out. So -- but I was still working; she was still
21 working.

22 And then around May when I was leaving, you
23 know, that became the time that -- that we stopped
24 living together.

25 Q The -- did you ever disclose your relationship

0947

1 with Ms. Holmes to investors at -- at Theranos?

2 A I believe that some investors who had -- who
3 were on the board, like (b)(6); (b)(7)(C) And the other --
4 the original board members had also included a couple of
5 investors, like (b)(6); (b)(7)(C) and I think others. So they
6 knew about our relationship.

7 Personally me talking to investors one-on-one
8 telling them my relationship, no, I didn't.

9 Q Anyone in the seed two round that you can
10 remember that you discussed it with?

11 A Except for (b)(6); (b)(7)(C) I don't think so.

12 Q Okay. Do you maintain any securities or
13 brokerage accounts?

14 A Yeah, I do.

15 Q Just -- do you recall at what institutions?
16 A Fidelity and Charles Schwab.
17 Q Any others that you can remember off the top of
18 your head?
19 A No. Those are those two.
20 Q What about bank accounts?
21 A Yes, I have at Citibank. I have one with Union
22 Bank of California that I haven't used in 25 years. I
23 have couple of accounts outside the U.S. with Citibank
24 in India. (b)(6); (b)(7)(C)
25 (b)(6); (b)(7)(C) And I have an account in Singapore in -- at DBS
0948

1 Singapore. It's a bank -- checking account.
2 Q Do you maintain any foreign securities or
3 brokerage accounts?
4 A Foreign securities.
5 Outside the U.S., you mean?
6 Q Correct.
7 A No.
8 Yeah, that would be foreign. Sorry.
9 Q Mr. Balwani, are there any answers to questions
10 that you'd like to clarify at this time, either from
11 today or from -- from our prior days of testimony?
12 A No. I think I've tried to answer the best I
13 could.
14 MR. KOLHATKAR: Mr. Coopersmith or Mr. McKay?
15 MR. COOPERSMITH: If we could have a couple minutes
16 off the record, then we'll -- I'll let you know the
17 answer to that question.
18 MR. KOLHATKAR: Okay. Great.
19 MR. COOPERSMITH: Okay.
20 MR. KOLHATKAR: We'll go off the record at 4:13 p.m.
21 THE VIDEO OPERATOR: Going off the record. The time
22 on the video monitor is 4:12.
23 (Recess taken.)
24 THE VIDEO OPERATOR: We're back on the record. The
25 time on the video monitor is 4:16.

0949
1 MR. KOLHATKAR: So back on the record at 4:16.
2 BY MR. KOLHATKAR:
3 Q Mr. Balwani, we didn't have any conversations
4 with the staff during the break; is that correct?
5 A That's correct.
6 MR. KOLHATKAR: Mr. Coopersmith?
7 MR. COOPERSMITH: Yeah. Thank you. We just have a
8 few questions. So thanks for that opportunity.
9 EXAMINATION
10 BY MR. COOPERSMITH:
11 Q Mr. Balwani, first question is: Did you own
12 stock in Theranos?
13 A Yes, I did.
14 Q And did you ever sell any of that stock?
15 A Never did.
16 Q Did you ever try to sell any of that stock?

17 A Never.

18 Q Did you earn a salary in your position at
19 Theranos?

20 A Yes, I did. When I joined the company, I had
21 requested the board to pay me a dollar a year until the
22 company was profitable.

23 For some strange reason, board forced me to
24 take some salary. So I agreed on \$99,000 a year, which
25 is what I got paid for I believe first five and a half

0950

1 years.

2 And in the last year, board increased that from
3 99,000 to 200,000 year.

4 Q When you say "the last year," you mean in 2015
5 and 2016?

6 A Correct. Yeah.

7 Q Okay. Did you ever ask for a salary increase
8 during your time at Theranos?

9 A No, never did.

10 Q Did you -- and I think you testified about this
11 during the first day of your testimony, Mr. Balwani, but
12 did you provide a -- a loan guarantee to Theranos?

13 A I did.

14 Q Did you earn any interest or other compensation
15 provided in that loan guarantee?

16 A I didn't earn any interest. I think company
17 gave me some stock options or warrants at some later
18 point, but it was minuscule.

19 Q Okay. Did you ask for interest?

20 A No, I never did.

21 Q During your time with Theranos, generally what
22 was your workday like?

23 A From the moment I woke up --

24 MR. KOLHATKAR: I guess -- sorry.

25 Is there any -- any particular time frame for

0951

1 that question or --

2 MR. COOPERSMITH: During the time he was working at
3 Theranos.

4 MR. KOLHATKAR: Okay.

5 THE WITNESS: In general, I --

6 MR. COOPERSMITH: In general.

7 THE WITNESS: -- can answer, from the moment I woke
8 up, I was focused on Theranos and till the time I went
9 to sleep. So it would be, you know, whenever I went to
10 sleep basically. I didn't take much time off and didn't
11 really take too many vacations.

12 BY MR. COOPERSMITH:

13 Q Generally when did you wake up, and when did
14 you go to sleep?

15 A Depending on -- depended on when I went to
16 sleep the night before. But I would say, you know,
17 7:00, 8:00-ish, 8:30 I would wake up. Within half an
18 hour I'd be at work depending on if I'm stopping at

19 Peet's or not.

20 But -- and then I would be at the office till,
21 you know, 9:30, 10:00, 11:00, sometimes midnight. I'd
22 be eating all my meals, breakfast, lunch, dinner, snacks
23 at office.

24 Q Okay.

25 A And also same on weekends. Most of my weekends
0952

1 also went into working.

2 Q Okay. Thank you.

3 When you were at Theranos, did you at times
4 spend your own funds for Theranos business-related
5 purposes?

6 A I did.

7 Q Did you always seek reimbursement from the
8 company for that?

9 A No, I did not.

10 Q Did you try to even keep track of how much you
11 were spending?

12 A No, I did not.

13 Q Do you have any -- as you sit here today, any
14 ballpark estimate of about what magnitude of money you
15 would have spent on the -- behalf of Theranos that you
16 never asked for reimbursement for?

17 A I mean, conservatively speaking, I would say
18 tens of thousands.

19 My -- my -- in my -- mentally, I had
20 accepted the amount that -- the salary that the board
21 had forced me to take, the 50-, 60,000 net of the
22 \$99,000 I was going to try to spend it on my business
23 expenses. But I never, you know, tracked it.

24 MR. COOPERSMITH: Okay. That's all I have.

25 MR. KOLHATKAR: Okay. Just to clarify a couple of
0953

1 things.

2 BY MR. KOLHATKAR:

3 Q I guess what kind of business expenses did you
4 have in mind there that you were spending but not
5 getting reimbursed for? Just --

6 A Sure.

7 Q -- to the extent you can --

8 A Yeah. I mean, for example, I would buy books
9 for my training; go to conferences, seminars. Even when
10 I was traveling, lot of times I was using my personal
11 card for my airline, for my food, for my hotel.
12 Especially early on, you know, I would just use my
13 personal card.

14 I would also use my miles to, you know, upgrade
15 things. Me, when -- and I never expensed any of that to
16 the company. And I'm sure there are other things too.
17 Like -- I don't remember, but those would be some
18 examples.

19 Q And -- and you sort of described a long period
20 of time for your -- your average day at Theranos.

21 Would you say that was consistent from the time
22 you started at Theranos to the time you left?

23 A Yeah. I think that's fairly consistent. Now,
24 I'm sure there were days in between when I was sick or
25 something. But in general, that was my day. That was
0954

1 my life, yeah.

2 Q Sure.

3 The -- your ownership in Theranos's stock, I
4 apologize if you answered this last time, but do you
5 recall how many shares you owned in Theranos --

6 A I don't --

7 Q -- at the time you left?

8 A -- don't remember the exact number.

9 Q Do you remember it as a percentage of the -- of
10 the ownership of the company?

11 A I think, first of all, it was all stock
12 options. And I think it's maybe 5 percent or 6 --
13 around 5 percent of the company.

14 Q By the time you left?

15 A Yes.

16 MR. KOLHATKAR: Mr. Balwani, I think we don't have
17 any further questions for you at this time.

18 However, we may call you again to testify in
19 this investigation. Should it be necessary, we'll
20 contact your counsel.

21 We really do appreciate you taking the time
22 to -- to answer our questions over the past -- over the
23 several days that you've been with us. So -- so thank
24 you for that.

25 And we're off the record at 4:22 p.m.

0955

1 THE WITNESS: Thank you.

2 THE VIDEO OPERATOR: This marks the end of DVD No. 3
3 and today's testimony.

4 The time on the video monitor is 4:21.

5 (Whereupon, at 4:21 p.m., the examination
6 was concluded.)

7 * * * * *

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23
24
25
0956

1 PROOFREADER'S CERTIFICATE

2
3 In the Matter of: THERANOS, INC.
4 Witness: Ramesh "Sunny" Bulwani
5 File Number: SF-04030-A
6 Date: Thursday, September 7, 2017
7 Location: San Francisco, California

8
9 This is to certify that I, (b)(6); (b)(7)(C)
10 (the undersigned) do hereby swear and affirm that
11 the attached proceedings before the U.S. Securities
12 and Exchange Commission were held according to the
13 record, and that this is the original, complete, true
14 and accurate transcript, which has been compared
15 with the reporting or recording accomplished at the
16 hearing.

17
18
19
20 _____
(Proofreader's Name) (Date)

21
22
23
24
25